

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

IN THE UNITED STATES DISTRICT COURT
DISTRICT OF MASSACHUSETTS

IN RE: CELEXA AND LEXAPRO :MDL NO. 2067
 MARKETING AND SALES PRACTICES :Master Docket No.
 LITIGATION :09-MD-2067-(NMG)

PAINTERS AND ALLIED TRADES :Case No. 13-CV-13113
 DISTRICT COUNCIL 82 HEALTH :(NMG)
 CARE FUND, A THIRD-PARTY :
 HEALTHCARE PAYOR FUND, on :Hon. Nathaniel M. Gorton
 behalf of itself and all :
 others similarly situated, :Hon. Marianne B. Bowler
 Plaintiffs, :
 v. :
 :
 FOREST PHARMACEUTICALS, INC. :
 and FOREST LABORATORIES, INC., :
 Defendants. :

IN RE: CELEXA AND LEXAPRO :MDL NO. 2067
 MARKETING AND SALES PRACTICES :Master Docket No.
 LITIGATION :09-MD-2067-(NMG)
 DELANA S. KIOSSOVSKI and :Judge Nathaniel M Gorton
 RENEE RAMIREZ, on behalf of :
 themselves and all others :Case No.
 similarly situated, :14-CV-13848 (NMG)
 Plaintiffs, :
 v. :Hon. Nathaniel M. Gorton
 :
 FOREST PHARMACEUTICALS, INC. :Hon. Marianne B. Bowler
 and FOREST LABORATORIES, INC., :
 :
 Defendants. :

OCTOBER 14, 2016

WILLIAM E. HEYDORN, Ph.D.

GOLKOW TECHNOLOGIES, INC.
877.370.3377 ph/917.591.5672 fax
deps@golkow.com

1 Q. So do you recall that MD-18 was a
2 multisite clinical trial?

3 A. Yes.

4 Q. And each site was expected to follow the
5 study protocol; is that correct?

6 A. Correct.

7 Q. Did Dr. Karen Wagner run any of those
8 sites?

9 A. I believe she ran one of the sites, yes.

10 Q. Take a look at Page 309, which is the
11 next -- the second page here. You see this is signed
12 by a Paul Tiseo, September 1, 1999?

13 A. Yes.

14 Q. Do you know what Dr. Tiseo's role was in
15 the CIT-MD-18?

16 A. I believe he was the overall study
17 monitor.

18 Q. What does that mean?

19 A. He's the -- he would be the one person
20 at Forest ultimately responsible for the conduct of the
21 study.

22 Q. Did you interact with him with respect
23 to CIT-MD-18?

24 A. Not on a regular basis. During the

1 conduct of the study, I was not actively involved in,
2 you know, any of the day-to-day details of the study.

3 Q. But when it came around to getting the
4 poster, study reports, CME type stuff, did you work
5 with him?

6 MR. ABRAHAM: Objection.

7 THE WITNESS: I believe at that point he
8 had left the company.

9 BY MR. BAUM:

10 Q. Okay. Do you know when he left?

11 A. Maybe sometime in 2000. I don't recall
12 exactly. I know we overlapped for just a few months.

13 Q. Do you know who took his place?

14 A. I don't know.

15 Q. Was there someone you answered to that
16 was served in a similar role as the oversight --
17 overseer of MD-18?

18 MR. ABRAHAM: Objection.

19 THE WITNESS: I'm not sure I understand
20 the question.

21 BY MR. BAUM:

22 Q. Well, what did you say his role was with
23 respect to MD-18?

24 A. He was the -- my recollection is he was

1 the study monitor.

2 Q. Okay. So did someone else step into the
3 shoes of being study monitor for MD-18?

4 MR. ABRAHAM: Objection.

5 THE WITNESS: I assume so.

6 BY MR. BAUM:

7 Q. You don't recall?

8 A. I don't recall. I could speculate.

9 Q. What would you speculate?

10 A. I would think --

11 MR. ABRAHAM: Objection.

12 You can answer.

13 THE WITNESS: Okay. I would think it
14 was probably Dr. Flicker.

15 BY MR. BAUM:

16 Q. Okay. So you see in the next person
17 down here on that page is Charles Flicker; is that
18 right?

19 A. Yes.

20 Q. Then you see Lawrence Olanoff?

21 A. Yes.

22 Q. What were their roles in MD-18?

23 A. As I said, I believe Dr. Flicker took
24 the role of study monitor after Paul Tiseo left the

1 organization. Larry Olanoff was overall head of
2 research and development at Forest.

3 Q. Did you interact with either of them?

4 A. Yes.

5 Q. And then Ivan Gergel?

6 A. Yes.

7 Q. Who is he?

8 A. Well, he's the executive director of
9 clinical research. When I first joined Forest my
10 recollection is that, you know, I answered to Charlie
11 Flicker. Charlie reported in to Ivan Gergel. And then
12 after a reorganization in, I believe, 2000 I reported
13 directly to Ivan.

14 Q. What happened to Charlie?

15 A. I know he left the organization, and I
16 have lost touch with him.

17 Q. Okay. Have you talked to him since he
18 left Forest?

19 A. No.

20 Q. And who is Ed Lakatos?

21 A. Senior director of biostatistics and
22 data management.

23 Q. Did you interact with him?

24 A. Very little, if at all.

1 MR. ABRAHAM: Objection.

2 THE WITNESS: Yes, I see that.

3 BY MR. BAUM:

4 Q. And that P-value is not statistically
5 significant, correct?

6 MR. ABRAHAM: Objection.

7 THE WITNESS: That's my understanding.

8 BY MR. BAUM:

9 Q. Because it's greater than .05?

10 A. Yes, that's my understanding.

11 Q. So it was negative, not in favor of
12 Celexa's efficacy, correct?

13 MR. ABRAHAM: Objection.

14 THE WITNESS: Again, I'm not a
15 statistician, but it shows there's not a
16 statistical difference between the two groups.

17 BY MR. BAUM:

18 Q. For the primary endpoint?

19 A. For the primary endpoint.

20 MR. ABRAHAM: Object.

21 BY MR. BAUM:

22 Q. By excluding these nine patients, the
23 P-value went from a statistically significant .038 to a
24 statistically insignificant .052 on the CDRS-R rating

1 scale after 8 weeks, correct?

2 MR. ABRAHAM: Objection.

3 THE WITNESS: Yes.

4 BY MR. BAUM:

5 Q. So, in other words, this P-value shows
6 citalopram versus placebo was negative for the primary
7 outcome measure for MD-18, right?

8 MR. ABRAHAM: Objection.

9 THE WITNESS: Yes.

10 BY MR. BAUM:

11 Q. And that's the difference between MD-18
12 being positive or negative, right?

13 MR. ABRAHAM: Objection.

14 THE WITNESS: Yes.

15 BY MR. BAUM:

16 Q. So with the dispensing error, patients
17 excluded from MD-18 -- excuse me. Let me read that
18 again.

19 So with the dispensing error patients
20 excluded from the MD-18 primary efficacy outcome
21 measure, Celexa failed to significantly outperform
22 placebo in treating pediatric depression, right?

23 MR. ABRAHAM: Objection.

24 THE WITNESS: That appears to be the

1 case.

2 BY MR. BAUM:

3 Q. That would be an important substantial
4 difference, wouldn't it?

5 MR. ABRAHAM: Objection.

6 THE WITNESS: Yes.

7 BY MR. BAUM:

8 Q. That analysis was done on the
9 subpopulation of 166 patients, 81 in the placebo group
10 and 85 in the citalopram group, right?

11 MR. ABRAHAM: Objection.

12 THE WITNESS: Yes.

13 BY MR. BAUM:

14 Q. And the 166 patients were greater than
15 the 160 patients needed to power MD-18, right?

16 MR. ABRAHAM: Objection.

17 THE WITNESS: Yes.

18 BY MR. BAUM:

19 Q. So let's go back to Page 70 of the study
20 report. So it says that "Appendix Table 6 presents the
21 results from the LOCF analysis for the change from
22 baseline to Week 8 excluding data from the 9 patients
23 for whom the study blind was potentially compromised."

24 Do you see that?

1 MR. ABRAHAM: Objection.

2 THE WITNESS: From what I've seen, we
3 don't know if those patients were unblinded.

4 BY MR. BAUM:

5 Q. So -- okay. We'll come back to that.

6 MR. BAUM: You want to take a break.

7 THE VIDEOGRAPHER: The time is now
8 11:42 a.m. We're off the record.

9 (Brief recess.)

10 THE VIDEOGRAPHER: The time is now
11 11:54 a.m. We're on the record.

12 BY MR. BAUM:

13 Q. So if these eight patients or nine
14 patients were unblinded or if the investigators working
15 with them were unblinded, the efficacy scores for those
16 individuals should not have been included in the
17 primary outcome measure, correct?

18 MR. ABRAHAM: Objection.

19 THE WITNESS: Yeah, apparently from the
20 wording in the protocol, if they were indeed
21 unblinded.

22 BY MR. BAUM:

23 Q. Okay. So let's go to Page 83.

24 MR. ABRAHAM: Of which document?

1 MR. ABRAHAM: Objection.

2 THE WITNESS: No, not mine.

3 BY MR. BAUM:

4 Q. What was your responsibility with
5 respect to something like that?

6 MR. ABRAHAM: Objection.

7 THE WITNESS: My role was to generate
8 the study report based upon the data that was
9 generated in the study.

10 BY MR. BAUM:

11 Q. Was it part of your job to make sure the
12 statements in here were true?

13 A. Yes.

14 Q. Appendix Table 6's results undermine the
15 assertions that Study 18's outcome was positive for
16 showing Celexa significantly improved major depression
17 disorder in children and adolescents, right?

18 MR. ABRAHAM: Objection.

19 THE WITNESS: Assuming those patients
20 were unblinded, yes.

21 BY MR. BAUM:

22 Q. But Table 6's results undermined the
23 assertion that citalopram outperformed placebo with
24 respect to major depression disorder among children and

1 citalopram differences (pn0.05) observed at Weeks 1, 4
2 and 6, (Table 4.1B).

3 Do you see that?

4 MR. ABRAHAM: Objection.

5 THE WITNESS: Yes.

6 BY MR. BAUM:

7 Q. Did you write that section?

8 A. I don't recall.

9 Q. You don't recall whether the OC data was
10 negative or positive?

11 A. To be honest, no, I don't. I did not
12 recall that.

13 Q. Okay. So let's take a look at Page 110,
14 Table 4.1B. It's actually Page 111, the next page down
15 for the Week 8. You see the P-value there for Week 8?

16 A. Yes.

17 Q. And it's .167?

18 A. Yes.

19 Q. And so that's not statistically
20 significant, correct?

21 MR. ABRAHAM: Objection.

22 THE WITNESS: I would say not.

23 BY MR. BAUM:

24 Q. And so the difference at Week 8 between

1 Celexa and placebo for the primary endpoint using
2 observed cases is not statistically significant,
3 correct?

4 MR. ABRAHAM: Objection.

5 THE WITNESS: It would appear not to be,
6 yes.

7 BY MR. BAUM:

8 Q. So referring back to Page 69 of the
9 study report, if you'd like, you want to take the
10 stapler out of those.

11 A. No, no, I'll get them all mixed up then.
12 I don't like the double-sided, I know, trying to save
13 the environment. Okay.

14 Q. So let's go back to Page 69 on the
15 efficacy evaluation. So that says, analysis using the
16 OC approach likewise demonstrated significantly greater
17 improvement in the citalopram group compared to the
18 placebo group, and it leaves -- with significant
19 citalopram differences .05 observed at 1, 4 and 6,
20 weeks 1, 4 and 6, leaves out Week 8, right?

21 MR. ABRAHAM: Objection.

22 THE WITNESS: Yes.

23 BY MR. BAUM:

24 Q. At Week 8 it was negative, correct?

1 were negative, correct?

2 MR. ABRAHAM: Objection.

3 THE WITNESS: At Week 8, yes.

4 BY MR. BAUM:

5 Q. At Week 8, right.

6 And observed cases was negative at Week

7 8, correct?

8 MR. ABRAHAM: Objection.

9 THE WITNESS: Yes.

10 BY MR. BAUM:

11 Q. So five, six of the results were
12 negative, and one was positive, correct?

13 MR. ABRAHAM: Objection.

14 THE WITNESS: At Week 8, yes.

15 BY MR. BAUM:

16 Q. And here it says the results of this
17 study support the conclusion -- there's only one result
18 that was positive, and it was the Table 3.1 that
19 included the eight unblinded patients, correct?

20 MR. ABRAHAM: Objection.

21 THE WITNESS: Well, at Week 8, yes.

22 BY MR. BAUM:

23 Q. So I guess, in other words, whether one
24 used Table 3.1 with the unblinded patients in or Table

1 BY MR. BAUM:

2 Q. So with respect to the nine patients who
3 received the pink tablets, the study was unblinded with
4 respect to them automatically, correct?

5 MR. ABRAHAM: Objection.

6 THE WITNESS: Can we talk?

7 BY MR. BAUM:

8 Q. No, you can't.

9 A. Okay. Can you repeat the question.

10 MR. BAUM: Can you read it back.

11 (The court reporter read back the record
12 as requested.)

13 THE WITNESS: This is inconsistent with
14 what is in the data tables.

15 BY MR. BAUM:

16 Q. Okay. So that's -- I like your saying
17 that, I think that's true, that's not exactly an answer
18 to my question.

19 Can you answer my question?

20 THE WITNESS: Can you repeat the
21 question one more time.

22 (The court reporter read back the record
23 as requested.)

24 THE WITNESS: I guess yes.

1 Q. Well, if they received the pink tablets
2 and they're being told just now that they were active
3 medication, those patients were being given active
4 medication, correct?

5 MR. ABRAHAM: Objection.

6 THE WITNESS: Yes, I would assume so,
7 yeah.

8 BY MR. BAUM:

9 Q. And the investigators would know that?

10 MR. ABRAHAM: Objection.

11 BY MR. BAUM:

12 Q. They would know which patients received
13 them, right?

14 MR. ABRAHAM: Objection.

15 THE WITNESS: I would have no direct
16 knowledge, but I would assume so.

17 BY MR. BAUM:

18 Q. So they were unblinded as well, correct?

19 MR. ABRAHAM: Objection.

20 THE WITNESS: With respect to those
21 patients, I would assume so.

22 BY MR. BAUM:

23 Q. So those patients should have been
24 counted in the efficacy measures, should they?

1 Q. Section 5.3.4.

2 A. Okay.

3 Q. It says, when this error was identified
4 at the beginning of the study period, all medication
5 shipments were replaced in full with tablets of
6 identical color to remove any potential for unblinding,
7 correct?

8 A. Yes, I see that.

9 Q. And that earlier statement that I read
10 to you said that it was in first week, correct?

11 MS. KIEHN: Objection.

12 MR. ABRAHAM: Objection.

13 BY MR. BAUM:

14 Q. It's Section 7.0, Page 63.

15 A. It does say one week of medication, yes.

16 Q. So that's not actually true, right, with
17 respect to patients 113 and 513, correct?

18 MR. ABRAHAM: Objection.

19 THE WITNESS: It would appear not to be
20 true, yes.

21 MR. BAUM: We can take a break now.

22 THE VIDEOGRAPHER: The time is now

23 approximately 1:05 p.m. This is the end of

24 Disk 2. We're off the record.

1 Q. So it's another letter -- it's addressed
2 to Dr. Katz, correct?

3 A. Correct.

4 Q. At the FDA, and it's regarding this same
5 problem of the eight randomized patients at two
6 investigational sites who had a dispensing error,
7 correct?

8 MR. ABRAHAM: Objection.

9 THE WITNESS: Yes.

10 BY MR. BAUM:

11 Q. So we haven't seen any other earlier
12 drafts of this e-mail?

13 A. No.

14 Q. I'm going to mark this as 7B.

15 (Document marked for identification as
16 Heydorn Deposition Exhibit No. 7B.)

17 BY MR. BAUM:

18 Q. I'm handing you what has been marked as
19 Exhibit 7B, and this is a letter to the FDA draft dated
20 March 8, 2000, Re: clinical supplies for the Pediatric
21 Depression Study CIT-MD-18.

22 You see that?

23 A. Yes.

24 Q. Have you seen that before?

1 A. This particular exhibit?

2 Q. Yeah.

3 A. No.

4 Q. Do you see that handwriting on the upper
5 part of it?

6 A. Yes.

7 Q. Do you recognize that handwriting? Is
8 that Charlie Flicker's handwriting?

9 MR. ABRAHAM: Objection.

10 THE WITNESS: Yes, I recognize the
11 handwriting.

12 BY MR. BAUM:

13 Q. Is it Charlie Flicker's?

14 A. Yes.

15 Q. Okay. So in the typed portion of the
16 letter it says, "Dear Dr. Katz, the purpose of this
17 letter is to inform the agency that an error was made
18 during the packaging of the clinical supplies for the
19 above-noted study."

20 Do you see that?

21 A. Yes.

22 Q. "Two of our investigational sites called
23 in to report that some of their patients were receiving
24 white tablets and others were receiving pink tablets."

1 colored tablets and that they wouldn't know
2 which were the active and which were the
3 placebo.

4 BY MR. BAUM:

5 Q. Well, by the time they got the March 2nd
6 letter, they probably knew, didn't they?

7 MR. ABRAHAM: Objection.

8 THE WITNESS: Well, obviously, I don't
9 know what any of the investigators were
10 thinking, but that would not be an unreasonable
11 conclusion.

12 BY MR. BAUM:

13 Q. Okay. If an investigator knows which
14 patients are taking branded Celexa and which ones are
15 taking white pills, doesn't that mean the integrity of
16 the blind was mistakenly -- unmistakably compromised?

17 MR. ABRAHAM: Objection.

18 THE WITNESS: It does raise questions
19 about the integrity of the blind, yes.

20 BY MR. BAUM:

21 Q. Okay. So the letter continues, "On
22 March 2nd, all sites were notified of this error by
23 telephone and by fax."

24 Do you see that?

1 Q. You've got the Varner letter there in
2 front of you, right?

3 A. Yes.

4 Q. That's Exhibit 7?

5 A. Seven, yes.

6 Q. Now, having seen this e-mail from
7 Dr. Flicker and the fax from Dr. Tiseo, would you agree
8 that the patients who were subject to the dispensing
9 error were actually unblinded?

10 MR. ABRAHAM: Objection.

11 THE WITNESS: I don't know for a fact,
12 but that's the implication from these letters,
13 yes.

14 BY MR. BAUM:

15 Q. Does it concern you that the clinical
16 medical director at the time, Dr. Flicker, believes
17 that the letter being sent to the FDA contains a
18 masterful stroke of euphemism?

19 MR. ABRAHAM: Objection.

20 THE WITNESS: I don't know what his
21 frame of mind was when he wrote that.

22 BY MR. BAUM:

23 Q. But they had the obligation to be
24 upfront, truthful and honest with the FDA, correct?

1 BY MR. BAUM:

2 Q. Now, she doesn't say potentially
3 unblinded, does she?

4 A. Unblinded, she said unblinded.

5 Q. And per the protocol, it would have been
6 the correct procedure at that point to not include
7 those patients for the efficacy measures, correct?

8 MR. ABRAHAM: Objection.

9 THE WITNESS: Yes, if they were
10 unblinded.

11 BY MR. BAUM:

12 Q. Well, this says unblinded, correct?

13 A. Yes.

14 Q. Charlie Flicker said they were
15 unblinded, correct?

16 MR. ABRAHAM: Objection.

17 THE WITNESS: What did he say? He said
18 potentially unblinded.

19 BY MR. BAUM:

20 Q. No, go back to the other -- this 7D.

21 A. 7D. Yeah.

22 Q. He says, the blind was unmistakably
23 violated, correct?

24 A. Yes.

1 Q. And you have Dr. Tiseo saying they were
2 automatically unblinded, correct?

3 MR. ABRAHAM: Objection.

4 THE WITNESS: That's what he put in his
5 fax, yes.

6 BY MR. BAUM:

7 Q. So these three people were closer to
8 this than you were, correct?

9 MR. ABRAHAM: Objection.

10 THE WITNESS: Yes.

11 BY MR. BAUM:

12 Q. And they said it was unblinded, correct?

13 MR. ABRAHAM: Objection.

14 BY MR. BAUM:

15 Q. Those patients were unblinded, correct?

16 MR. ABRAHAM: Objection.

17 THE WITNESS: That's what they're saying
18 here, yes.

19 BY MR. BAUM:

20 Q. And per the protocol, those patients
21 should have been excluded because they were unblinded,
22 correct?

23 MR. ABRAHAM: Objection.

24 THE WITNESS: Yes.

1 MS. KIEHN: Two pages.

2 MR. BAUM: I've got three. Can I see
3 what you've got there?

4 THE WITNESS: Sure.

5 MR. BAUM: It's missing this page. All
6 right. Sorry, I'm going to have to -- we're
7 going to take a break. We're going to have to
8 go get a copy of this.

9 THE VIDEOGRAPHER: The time is 3:44 p.m.
10 We're off the record.

11 (Brief recess.)

12 THE VIDEOGRAPHER: The time is 3:48 p.m.
13 We're on the record.

14 BY MR. BAUM:

15 Q. Okay. So we're going to go back again
16 to what we've marked as Exhibit 9. And now that you've
17 had a chance to look this over, do you recognize it --
18 is your recollection refreshed as to your having
19 drafted that?

20 A. Yes.

21 Q. Can you describe to me what this
22 document summarizes?

23 A. This was a discussion among the
24 attendees at the call on points that we were going to

1 make in the CIT-MD-18 study report.

2 Q. And the conversation was occurring
3 between you and Charlie Flicker and James Jin, Jane Wu
4 and then at PharmaNet Evelyn Kopke and Gundula LaBadie,
5 right?

6 A. Yes.

7 Q. Does this refresh your recollection that
8 maybe a first draft of the report was being written by
9 PharmaNet?

10 MR. ABRAHAM: Objection.

11 THE WITNESS: Yes.

12 BY MR. BAUM:

13 Q. That's actually what you said in your
14 prior deposition.

15 A. Okay.

16 Q. All right. So at this time, Natasha
17 Mitchner was working for BSMG Communications, right?

18 A. Yes.

19 Q. Do you know why you were sending this
20 e-mail to her?

21 A. I can't recall specifically, but I could
22 venture a guess that it was probably in preparation for
23 drafting the CIT-MD-18 manuscript.

24 Q. She did the first draft, right?

1 A. Right, that should be tablets.

2 Q. Some citalopram tablets were not
3 blinded, right?

4 A. Correct.

5 Q. And that doesn't say potentially
6 unblinded, right?

7 MR. ABRAHAM: Objection.

8 BY MR. BAUM:

9 Q. It says they were not blinded?

10 A. It says they were not blinded, yes.

11 Q. So per the protocol, they should not
12 have been included in the efficacy measure, correct?

13 MR. ABRAHAM: Objection, asked and
14 answered.

15 THE WITNESS: According to the protocol,
16 patients who were unblinded should not have
17 been included.

18 BY MR. BAUM:

19 Q. The 9 patients who received unblinded
20 medication were included in the main analyses; a
21 secondary post-hoc analysis of the ITT subpopulation
22 was done. Refer to these analyses briefly in methods
23 and results and reference the reader to the appendix
24 table.

1 MR. ABRAHAM: Objection.

2 THE WITNESS: My opinion is the compound
3 works in children and adolescents, in spite of
4 the insignificant P-value.

5 BY MR. BAUM:

6 Q. It outperforms placebo?

7 A. Numerically outperforms placebo, we've
8 been over this.

9 Q. But not statistically significantly?

10 A. It doesn't reach the .05 level.

11 Q. So it wouldn't have gotten an
12 indication, correct?

13 MR. ABRAHAM: Objection.

14 THE WITNESS: It didn't.

15 BY MR. BAUM:

16 Q. Right, and it would not have gotten one
17 by itself with a .052 P-value, correct?

18 MR. ABRAHAM: Objection.

19 THE WITNESS: No.

20 BY MR. BAUM:

21 Q. Do you have any regrets about your
22 involvement with the CIT-MD-18 based on what I've shown
23 you today?

24 A. I wish we had done things a little

1 differently.

2 Q. Like what?

3 A. I wish I had known for certain whether
4 the patients, those nine patients were unblinded, but
5 obviously I don't know. You showed me a lot of
6 documents today suggesting that people knew the
7 patients were unblinded. I don't know for a fact that
8 they knew that. All I know is what they wrote on the
9 paper. I wish I was aware of the correspondence with
10 the FDA.

11 Q. Do you think, based on what I've shown
12 you today, that Forest misled anyone about the results
13 of MD-18?

14 A. It probably should have been more
15 forthcoming.

16 Q. If you had known what I've shown you
17 today, would you have changed anything in your first
18 draft of the study report?

19 MR. ABRAHAM: Objection.

20 THE WITNESS: I don't believe I've seen
21 my first draft of the study report. I saw the
22 final draft of the study report.

23 BY MR. BAUM:

24 Q. Would you have changed anything in the

1 final study report?

2 MR. ABRAHAM: Objection, calls for
3 speculation.

4 THE WITNESS: If I were the only one
5 involved in writing it, I probably would have
6 written it somewhat differently.

7 BY MR. BAUM:

8 Q. In what way?

9 MR. ABRAHAM: Objection.

10 THE WITNESS: Probably emphasizing more
11 of the results at Week 8, clarifying some
12 things, and I'm not sure how I would have
13 handled the potential unblinding situation.
14 I'd have to give that some thought.

15 BY MR. BAUM:

16 Q. Wouldn't you have had to have stated
17 that they weren't potentially unblinded, they were
18 actually unblinded?

19 MR. ABRAHAM: Objection.

20 THE WITNESS: I don't know that for a
21 fact.

22 BY MR. BAUM:

23 Q. I just want to now --

24 A. But I would like to say that all of the

1 A. It was six years after the publication.
2 I don't believe I responded. I had moved on in my
3 career at that point, and I'd also like to object to
4 the wording "ongoing suit to have been written and
5 submitted to the Journal by a commercial medical writer
6 on behalf of Forest Laboratories, Incorporated." It
7 was not submitted on behalf of Forest by a commercial
8 medical writer. It was submitted by the authors.

9 Q. Did Mary Prescott write the letter and
10 have you guys sign it?

11 MR. ABRAHAM: Objection.

12 THE WITNESS: The cover letter?

13 BY MR. BAUM:

14 Q. Yeah.

15 A. I don't recall.

16 Q. If you go over to the second page of
17 this, it continues, "The paper was submitted as a Brief
18 Report, which the Journal's editors requested be
19 resubmitted as a full-length article. Drs. Wagner,
20 Robb and Findling report that they contributed with
21 Dr. Heydorn to the resubmission and that they were not
22 aware that Dr. Heydorn was working with a commercial
23 writer. Dr. Heydorn did not respond to our request."

24 Is it true that neither Wagner, Robb or

1) Findling knew that you were communicating with a
2) commercial writer?

3) MR. ABRAHAM: Objection.

4) THE WITNESS: I don't believe that to be
5) a true statement.

6) BY MR. BAUM:

7) Q. Did you know that they were
8) corresponding -- that they had information and e-mail
9) correspondence with Mitchner and Prescott, right?

10) MR. ABRAHAM: Objection.

11) THE WITNESS: At the very least, by my
12) recollection, Dr. Wagner didn't.

13) BY MR. BAUM:

14) Q. So this is a false statement?

15) MR. ABRAHAM: Objection.

16) THE WITNESS: I believe it's false, yes.

17) MR. BAUM: Take a break.

18) THE WITNESS: Yeah.

19) THE VIDEOGRAPHER: The time is now
20) 5:25 p.m. We're off the record.

21) (Brief recess.)

22) THE VIDEOGRAPHER: The time is now
23) 5:37 p.m. We're on the record.

24) MR. BAUM: We have no further questions.