

UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF CALIFORNIA

Before The Honorable Vince Chhabria, Judge

EDWARD HARDEMAN,)	
)	
Plaintiff,)	
)	
VS.)	NO. C 16-00525 VC
)	
MONSANTO COMPANY,)	
)	
Defendant.)	
_____)	

San Francisco, California
Tuesday, March 12, 2019

TRANSCRIPT OF PROCEEDINGS

APPEARANCES:

For Plaintiff:

ANDRUS WAGSTAFF PC
7171 W. Alaska Drive
Lakewood, Colorado 80226
BY: AIMEE H. WAGSTAFF, ATTORNEY AT LAW
DAVID J. WOOL, ATTORNEY AT LAW

MOORE LAW GROUP
1473 South 4th Street
Louisville, Kentucky 40208
BY: JENNIFER MOORE, ATTORNEY AT LAW

(APPEARANCES CONTINUED ON FOLLOWING PAGE)

REPORTED BY: Marla F. Knox, RPR, CRR
Jo Ann Bryce, CSR No. 3321, RMR, CRR, FCRR
Official Reporters

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES: (CONTINUED)

For Defendant:

WILKINSON WALSH ESKOVITZ LLP
2001 M Street, NW - 10th Floor
Washington, D.C. 20036

**BY: BRIAN L. STEKLOFF, ATTORNEY AT LAW
RAKESH N. KILARU, ATTORNEY AT LAW
TAMARRA MATTHEWS JOHNSON, ATTORNEY AT LAW
JULIE RUBENSTEIN, ATTORNEY AT LAW
CALI COPE-KASTEN, ATTORNEY AT LAW**

I N D E X

Tuesday, March 12, 2019 - Volume 12

	<u>PAGE</u>	<u>VOL.</u>
Jury Instructions	1964	12
Closing Argument by Ms. Wagstaff	1977	12
Closing Argument by Mr. Stekloff	2038	12
Rebuttal Argument by Ms. Wagstaff	2085	12

DEFENDANT'S WITNESSESARBER, DANIEL (RECALLED)

(PREVIOUSLY SWORN)

	<u>PAGE</u>	<u>VOL.</u>
Direct Examination resumed by Mr. Kilaru	1928	12
Cross-Examination by Ms. Moore	1935	12

PROCEEDINGS

1 Tuesday - March 12, 2019

8:10 a.m.

2 P R O C E E D I N G S

3 ---000---

4 (Proceedings were heard out of presence of the jury:)

5 **THE COURT:** Okay. It is ten after 8:00. I'm not sure
6 what is going on with the Plaintiffs, but I was here at
7 7:00 a.m. this morning to review the closing slides. I
8 received an e-mail from the Plaintiffs with their slides, but
9 it was encrypted so I couldn't open it. I finally got the
10 slides about 15 minutes ago. It appears to be an incomplete
11 draft. There are a number of notations in the slides that say
12 things like "AW to fill in text."

13 **MS. WAGSTAFF:** Well --

14 **THE COURT:** So I don't -- I'm not really sure what to
15 do with that. I'm trying to review the slides. I have
16 reviewed Monsanto's slides. I'm trying to review the
17 Plaintiff's slides, but it seems to be an incomplete version or
18 an incomplete draft that was sent to me 15 minutes ago. So I
19 don't really know what to do.

20 Do you have a complete version with you?

21 **MS. WAGSTAFF:** Your Honor, I do have a complete
22 version. And it was actually sent to you at 7:00. And the "AW
23 to fill in text," that's me. Amy Wagstaff.

24 **THE COURT:** It was sent to me at 7:00, but it was
25 encrypted so I couldn't open it.

PROCEEDINGS

1 **MS. WAGSTAFF:** Okay. I didn't know that because that
2 is the sort of format that I have had, and you are outside the
3 people I e-mail with. So I was filling it in. It says -- if
4 you look at the slide, it says Jury Instruction, and then AW
5 fill in text because we don't have a jury instruction yet. So
6 that's what the notations were for.

7 **THE COURT:** Okay.

8 **MS. WAGSTAFF:** Do you want this hard copy? These have
9 Jennifer's notes on it.

10 Right now this is my only hard copy, so can I have it back
11 when you are done reviewing it, please?

12 **THE COURT:** Well, if it is your only hard copy -- I
13 mean, I'm not sure we are going to have time to go through this
14 now.

15 The other problem is that you were ten minutes late to
16 court. So to the extent that this wastes the jury's time today
17 because of all the snafus that occurred this morning, it is
18 coming out of your time for this trial. It is coming out of
19 your time limits.

20 So we can do as much as we can -- we will do as much as we
21 can until 8:30, and then we will bring in the jury; and we will
22 resume with Dr. Arber.

23 So let me start with Monsanto's slides because I have a
24 couple of concerns about Monsanto's slides.

25 **MR. STEKLOFF:** Yes, Your Honor.

PROCEEDINGS

1 **THE COURT:** In particular Slide Number 8 and Slide
2 Number 71.

3 **MR. STEKLOFF:** Okay. Number 8 -- is this with respect
4 to Dr. Ye?

5 **THE COURT:** Yeah. And my concern about that slide and
6 about the -- the argument that you seem prepared to present
7 with that slide is that you are discussing Dr. Ye as if he is
8 an expert witness in the case, and he is not. And you -- I
9 don't think it is appropriate -- I think it is relevant that
10 Dr. Ye doesn't ask about Roundup; didn't know about Roundup. I
11 think that's relevant, and so I didn't -- I didn't have
12 concerns with a prior slide that you had about the doctors, but
13 this is just too much presenting Dr. Ye as if he is one of the
14 experts in this case.

15 So I don't think that slide is appropriate. I'm not going
16 to allow you to use that slide. And you can't -- you have to
17 really limit what you argue about Dr. Ye, you know.

18 And that sort of brings me to Slide Number 71.

19 **MR. STEKLOFF:** Okay.

20 **THE COURT:** Where you say -- I actually don't have it
21 in front of me; but as I recall, you say something like, You
22 have to -- to find for the Plaintiff, you have to believe that
23 the doctors were wrong.

24 **MR. STEKLOFF:** I'm happy to delete that bullet if
25 that's the issue, Your Honor.

PROCEEDINGS

1 **THE COURT:** Yeah. And so any argument that you make
2 that sort of attempts to put Dr. Ye in the group of -- in the
3 same group as the experts, I think, is not appropriate.

4 **MR. STEKLOFF:** Understood, Your Honor. And I will
5 delete that bullet and delete Slide Number 8.

6 **THE COURT:** Okay.

7 **MR. STEKLOFF:** I think it was 8.

8 **THE COURT:** I think it was 8. The one with all his
9 qualifications and all that stuff.

10 **MR. STEKLOFF:** Yes. I showed that in opening as well,
11 which is not an argument that I should be able to show it now.
12 Just so the Plaintiffs know, it is the same slide. And I will
13 delete it from the closing dec.

14 **THE COURT:** Now, from the Plaintiffs I issued an order
15 early this morning about the jury instructions, and I just --
16 an e-mail response was sent to Kristen about that, but I just
17 want to get it for the record.

18 So I issued that order. I gave you the choice of the two
19 instructions. You object to either instruction, but you prefer
20 the one that I drafted for you rather than the -- the standard
21 model CACI 430?

22 **MS. MOORE:** Yes, Your Honor. I apologize for being
23 late this morning. We were trying to respond to that. There
24 is a lot of moving parts. That is the first time we have been
25 late in three weeks, so.

PROCEEDINGS

1 **THE COURT:** Okay.

2 **MS. MOORE:** Yes, and I apologize. I tried to get it
3 quickly, so that's why it was emailed.

4 **THE COURT:** That's fine.

5 **MS. MOORE:** If you would like me to file something on
6 the record, I can do that.

7 **THE COURT:** Now, it's fine. It's on the record now so
8 that's fine.

9 **MS. MOORE:** We would prefer that, with the
10 understanding that you are adding in that last sentence from
11 430 into the instruction. I guess that will go at the bottom
12 of the first paragraph?

13 **THE COURT:** No. I think it will go before the but-for
14 sentence of that paragraph.

15 **MS. MOORE:** Yes, thank you, Your Honor.

16 **THE COURT:** Okay. So that's the instruction -- that's
17 the causation instruction.

18 Anything further from Monsanto on that?

19 **MR. KILARU:** No, your Honor. Just one question for
20 clarification. I understand the verdict form to be the last
21 version from -- I think it was around the 13th, we talked
22 about. That is the version we are working with?

23 **THE COURT:** I think that's right. Should we take a
24 second to look at it just to make sure?

25 **MR. KILARU:** I think we sort of had agreed that's what

PROCEEDINGS

1 the verdict form would be on the 13th since there wasn't a
2 two-question issue anymore at that point, but we just want to
3 make sure we have the right --

4 **THE COURT:** So the one we have -- I think it is from
5 February 12th. Did Mr. Hardeman --

6 **MR. KILARU:** Yes, that's right.

7 **THE COURT:** Did Mr. Hardeman prove by a preponderance
8 of the evidence that his exposure to Roundup was a substantial
9 factor in causing his non-Hodgkin's lymphoma?

10 **MR. KILARU:** That's how I remember that as well.

11 **MS. MOORE:** Yes, Your Honor.

12 **THE COURT:** Okay. So that's the verdict form.

13 **MS. MOORE:** Thank you.

14 **THE COURT:** We will file that -- we will file the
15 final verdict form along with the final jury instructions later
16 this morning.

17 So now, I started to go through these slides, and I can at
18 least offer some guidance -- some thoughts on the slides that I
19 did review.

20 The first one is the picture of Mr. Hardeman and his wife.
21 That has to come out of the presentation. I don't need to hear
22 further argument about that.

23 Reviewing these --

24 **MS. WAGSTAFF:** Can I just understand the rationale for
25 that?

PROCEEDINGS

1 **THE COURT:** It is not relevant to Phase One.

2 **MS. WAGSTAFF:** The picture of Mr. Hardeman is not
3 relevant --

4 **THE COURT:** I said I don't need to hear further
5 argument on that.

6 **MS. WAGSTAFF:** Okay.

7 **THE COURT:** Okay. Number 14.

8 **MS. WAGSTAFF:** Is that the one with the pictures?

9 **THE COURT:** Oh, yes. Let's see. Yeah, I think --
10 okay. The one that says, No employee came live to defend
11 Monsanto. And then there is a later slide, Number 18.

12 **MS. WAGSTAFF:** Yeah, the way that it works is the one
13 you are looking at first is a summary page, and then I walk
14 through each one if you look at it.

15 **THE COURT:** Okay. Then it says -- Slide 18 says, Not
16 one person from Monsanto came live to defend Roundup, not one
17 underlined. And what this -- what these two slides have done
18 is cause me to reconsider my conclusion from the other day that
19 it is appropriate for you to reference that in closing
20 argument. I think these slides are going overboard on that
21 question. That -- I think that kind of slide is not
22 appropriate, given that we are in Phase One and the people who
23 needed to come and defend Monsanto were the expert witnesses,
24 not necessarily the Monsanto employees. So I have -- I'm -- I
25 have reconsidered my ruling, and I'm not permitting you to

PROCEEDINGS

1 argue or reference the fact that Monsanto's employees did not
2 show up live, pursuant to Rule 401 and 403.

3 Let's see. Then -- the only other one that I got to that
4 sort of caught my eye was Slide 29, and then -- this phrase
5 appears on a number of subsequent slides. The dose makes the
6 poison.

7 There is this quote from Dr. Ritz, The dose makes the
8 poison. And I remember you used that in opening, and I
9 couldn't remember for sure whether she actually said that in
10 trial.

11 **MS. WAGSTAFF:** She did.

12 **THE COURT:** I think she did, but it was in the context
13 of discussing cigarettes. And so I just -- I wasn't sure
14 how -- you know, I haven't formulated any concrete thoughts on
15 this, but it just caught my eye; and I wasn't sure how relevant
16 her comment was, The dose makes the poison, to Roundup. So I
17 wanted to hear from you a little more on that.

18 **MS. WAGSTAFF:** Sure. So I think that one of our
19 themes throughout this litigation -- you have heard it at
20 trial -- is that dose matters, right. One of our themes is
21 dose response. That is no surprise.

22 And when she was describing sort of dose, one of her catch
23 phrases was Dose makes the poison. And I think that that
24 phrase is in there with respect to whether it was cigarettes or
25 whether it was Roundup.

PROCEEDINGS

1 **THE COURT:** I think it was only with respect to
2 cigarettes that she made the statement but, you know --

3 **MS. WAGSTAFF:** It was an analogy to say that the more
4 you get, it is poison. Dose makes the poison. And that is
5 our -- one of our overarching themes of our case is that the
6 dose matters.

7 **THE COURT:** Yeah, I get the point that you are -- you
8 know, obviously you can argue that dose matters, of course.
9 But I was just struggling with, you know, how that sentence
10 came in and whether it is applicable to Roundup. I think it is
11 probably okay.

12 Does Monsanto have any comments on that?

13 **MR. STEKLOFF:** Yes, Your Honor.

14 My recollection is the same as yours with respect to
15 Dr. Ritz, and I don't think that she said specific to the
16 epidemiology, for example, about Roundup; that the dose makes
17 the poison. I'm certain Dr. Weisenburger -- who is the main
18 person who talked about Mr. Hardeman and his dose and how that
19 increased his risk -- he did not say the dose makes the poison.

20 So I have no problem with Ms. Wagstaff arguing dose
21 response and what Dr. Weisenburger said about higher doses, but
22 I think that that is -- under 403, that is inflammatory and
23 unnecessary. I'm not saying the phrase wasn't used at one
24 point by Dr. Ritz, but I don't know --

25 **THE COURT:** But Dr. Ritz did testify that there is a

PROCEEDINGS

1 dose response.

2 **MR. STEKLOFF:** Yes.

3 **THE COURT:** And she testified that in explaining the
4 concept of dose response, I think what it would be fair to say
5 probably is that in explaining the concept of dose response,
6 she said the dose makes the poison.

7 **MR. STEKLOFF:** I think that's fair. I mean, she used
8 a lot of analogies. I think smoking came out of her mouth 15
9 times.

10 **MS. WAGSTAFF:** As is it did with your experts as well.

11 **MR. STEKLOFF:** So I think that --

12 **THE COURT:** Okay. I think that's allowed -- that is
13 permissible.

14 So that's as far as I got. I'm happy to try to flip
15 through it for a few more minutes, but why don't I -- why don't
16 I give you this back since it is your only copy.

17 **MS. WAGSTAFF:** I'm having another copy brought to me,
18 but that is the only one in the actual room. So if you want to
19 keep it, and I can have the jury instructions while you are
20 doing something else.

21 **THE COURT:** Why don't you go ahead and do that, and I
22 will continue to review this. And I guess at a break --
23 probably after Dr. Arber is done testifying -- we can continue
24 the discussion. And as I said, to the extent the discussion
25 eats into the jury's time, it is going to eat into the

PROCEEDINGS

1 Plaintiff's time for the trial.

2 I will be back in five minutes, and we will call the jury
3 in. Did you have something else?

4 **MR. KILARU:** Very quickly, Your Honor. Is it okay if
5 we file just a short written set of reasons on the DV motions
6 as opposed to doing them now? We can get that on file today.

7 **THE COURT:** Sure. It's fine with me, as long as it's
8 fine for your --

9 **MR. KILARU:** I think it should be fine as long as we
10 file it and get a ruling on it. We will do that as soon as we
11 can. I just want to make sure we have those on the record.

12 **THE COURT:** Great. I appreciate that.

13 **MS. MOORE:** And then, Your Honor, would we -- we would
14 need to file a response today then?

15 **THE COURT:** My guess is that that's not going to be
16 necessary.

17 **MS. MOORE:** Okay. I know. Thank you, Your Honor.

18 **THE CLERK:** Ms. Moore, you had an objection to Exhibit
19 1023. Do you want to take that up with me or is that something
20 you wanted to put on the record?

21 **MS. MOORE:** Thank you, Ms. Melen.

22 Your Honor, our objection to 1023 -- Defendant's labeled
23 all of Mr. Hardeman's medical records, and then they put page
24 numbers on each one of them. They have moved to admit the
25 exhibits to Dr. Ye and Dr. Turk's deposition that correspond

PROCEEDINGS

1 with those deposition exhibits.

2 I went back and looked at those. I didn't have any
3 objection because that was part of the designations, but what
4 they have done instead of making an exhibit for each one of
5 those deposition exhibits, they have then taken it out of the
6 compilation of the medical records, so it just looks piecemeal.
7 And I asked them -- we had this conversation after court
8 yesterday -- if they can just mark those as separate exhibits.
9 I think that's what they are. That's how they came into
10 evidence was individual exhibits to the depositions.

11 **THE COURT:** Does it really matter?

12 **MS. MOORE:** It --

13 **THE COURT:** I mean, I'm sort of interested in doing
14 things efficiently at this point.

15 **MS. MOORE:** Well -- and I understand. I appreciate
16 that, Your Honor.

17 My concern is that the jury is going to go back there, and
18 they will have 1023 at such and such page, at such and such
19 page. It goes 940. It goes 1240. And I just always get
20 paranoid the jury is going to think Why don't I have the rest
21 of it? What are they keeping it from me? And that is why I
22 would rather have it, just like they came into evidence, as
23 individual exhibits.

24 **MS. RUBENSTEIN:** So we have been calling the full set
25 of medical records this exhibit number the entire trial. It

PROCEEDINGS

1 was not until yesterday that the Plaintiff's objected to this.
2 So the jury has heard us refer to the medical exhibits -- the
3 medical records as Trial Exhibit 1023. We put them in our
4 opening slides that way. We have them in our closing slides
5 that way.

6 What I think Ms. Melen proposed yesterday -- and what I
7 went ahead and did last night -- is I tabbed them out
8 separately so it will not appear to the jury if they look at
9 these binders, that it is one whole exhibit. They are tabbed
10 separately, but it says Trial Exhibit 1023-1, -2, -3. I think
11 this probably ameliorates Ms. Moore's concern, and then we
12 don't have to change our Trial Exhibit number.

13 **THE COURT:** That's fine.

14 **MS. MOORE:** Thank you, Your Honor.

15 **THE COURT:** Okay. We will be back in a couple of
16 minutes.

17 **THE CLERK:** Court is in recess.

18 (Recess taken at 8:28 a.m.)

19 (Proceedings resumed at 8:33 a.m.)

20 (Proceedings were heard out of presence of the jury:)

21 **THE COURT:** Bring in the jury.

22 (Proceedings were heard in the presence of the jury:)

23 **THE COURT:** Good morning, everyone.

24 You can resume.

25 **MR. KILARU:** Thank you, Your Honor.

ARBER - DIRECT / KILARU

1 DANIEL ARBER,

2 called as a witness for the Defendant, having been previously
3 duly sworn, testified further as follows:

4 DIRECT EXAMINATION (resumed)

5 BY MR. KILARU

6 Q. Good morning, Doctor.

7 A. Good morning.

8 MR. KILARU: Ms. Melen, may I have the ELMO, please?

9 BY MR. KILARU

10 Q. All right, Dr. Arber. Just to pick up with where we left
11 off yesterday, I believe, where we left off, we were talking
12 about this slide and Dr. Weisenburger's methodology. And I
13 think you had said that you don't believe that this methodology
14 is valid in the field of pathology. Is that about where we
15 were?

16 A. Yes, I agree.

17 Q. Was there anything about Mr. Hardeman's case that would
18 make you think differently about using the methodology that is
19 up here on the slide?

20 A. No. Mr. Hardeman's case is a typical case of
21 non-Hodgkin's lymphoma.

22 Q. Now, as you can see on the -- on the image on the
23 screen -- and as I think you know from his testimony --
24 Dr. Weisenburger concluded that Roundup was a substantial
25 contributing cause in Mr. Hardeman's NHL; is that your

ARBER - DIRECT / KILARU

1 understanding?

2 **A.** Yes.

3 **Q.** Do you agree with that conclusion?

4 **A.** No, I don't.

5 **Q.** And why is that, Doctor?

6 **A.** Well, there are a number of contributing risk factors
7 involved; but, first of all, I don't believe that Roundup
8 causes lymphoma, and Mr. Hardeman had a number of other risk
9 factors that put him at risk for developing lymphoma. And --
10 but again, the vast majority of non-Hodgkin's lymphoma are
11 idiopathic.

12 **Q.** Doctor, what, if any, role does Roundup play in your
13 clinical practice?

14 **A.** None. When I receive specimens, I always get a list of
15 details of the clinical information that the treating physician
16 feels are important for me to make a diagnosis, including risk
17 factors; and I have never in my career received a specimen
18 where Roundup was listed as a risk factor for a patient.

19 **Q.** Let's talk about the specimens themselves. Is there any
20 genetic marker you can see on a slide that would tell you that
21 Roundup was involved in a patient's NHL?

22 **A.** No.

23 **Q.** Is there any biological feature?

24 **A.** No.

25 **Q.** Anything at all that you can see on the slides as a

ARBER - DIRECT / KILARU

1 pathologist?

2 **A.** No.

3 **Q.** Doctor, over the course of your career, have you reviewed
4 slides from patients with NHL where you ultimately weren't able
5 to determine the cause?

6 **A.** Yes, there were many causes. I can't determine the cause
7 from looking at the slides.

8 **Q.** And how about cases where some of the risk factors here
9 were present, like age or gender or something like that?

10 **A.** Yes. I mean, the majority of patients have some risk
11 factor; but from looking at the slide, I still can't determine
12 the cause.

13 **Q.** Well, how did Mr. Hardeman's slides compare to the slides
14 you were just talking about of those other patients?

15 **A.** So every patient's tumor is a little bit different, but
16 his tumor is within what I would say -- what is expected for
17 diffuse large B-cell lymphoma. In fact, you can put it in a
18 textbook as an example of that diagnosis.

19 **Q.** So based on your review of the pathology, do you agree
20 with Dr. Weisenburger's conclusion about Roundup?

21 **A.** I'm sorry. Could you say that again?

22 **Q.** Sorry. Sure.

23 Based on your review of the pathology, do you agree with
24 Dr. Weisenburger's conclusion about Roundup and its role in
25 Mr. Hardeman's NHL?

ARBER - DIRECT / KILARU

1 **A.** No, I do not.

2 **Q.** Let's briefly talk about Mr. Hardeman's risk factors for
3 NHL. Dr. Weisenburger said that he could rule out age, sex,
4 and race for Mr. Hardeman as risk factors. Based on your
5 experience in the field of pathology, do you agree with ruling
6 out those risk factors?

7 **A.** No. All of those put him at higher risk for getting
8 non-Hodgkin's lymphoma: Male -- sex, being Caucasian, and
9 being older.

10 **Q.** And, again, based on your experience in pathology, do you
11 believe that Mr. Hardeman's hepatitis B can be ruled out as a
12 risk factor?

13 **A.** No.

14 **Q.** And why is that, Doctor?

15 **A.** Well, it is known that hepatitis B infection is associated
16 with an increased risk of non-Hodgkin's lymphoma.

17 **Q.** On the topic of hepatitis C, Doctor, what, if any, role
18 does that play in your clinical practice?

19 **A.** Well, it is considered a risk factor for non-Hodgkin's
20 lymphoma, and that is frequently given as a piece of pertinent
21 history when I look at a patient being evaluated for lymphoma.

22 **Q.** Well, Dr. Weisenburger said that he could rule out
23 hepatitis C as a cause for Mr. Hardeman. Based on your
24 experience and training, do you agree with that conclusion?

25 **A.** No, I do not.

ARBER - DIRECT / KILARU

1 Q. Do you know how long Mr. Hardeman had hepatitis C?

2 A. Well, the test wasn't available probably at the time that
3 he contracted it, but it appears that he had hepatitis C
4 probably for somewhere around 39 or 40 years.

5 Q. And did that hepatitis C show up in any way in his body
6 over those years?

7 A. Yes. He had cirrhosis, which was a direct effect of the
8 virus.

9 Q. Was there any other indication in the records of any kind
10 of manifestation of hepatitis C before then?

11 A. Well, he had elevated liver function tests indicating that
12 he had hepatitis of some cause.

13 Q. Well, Dr. Weisenburger, I believe, said he could rule out
14 hepatitis C because Mr. Hardeman was cured of his hepatitis C
15 in 2005. Do you agree with that conclusion?

16 A. No, I don't believe -- just the nature of these viruses,
17 you can knock them down to a very low level where they are not
18 detectable in the blood, but viruses remain in your body. They
19 can remain dormant in a very small number of cells and come
20 back at any time.

21 Q. Dr. Weisenburger also testified that Mr. Hardeman had a
22 sustained virological response to hepatitis C. Do you think
23 that that -- how does that affect your conclusion on whether
24 hepatitis C can be ruled out in this case?

25 A. Well, I agree. He had a sustained virologic response, and

ARBER - DIRECT / KILARU

1 that significantly reduces your risk for getting non-Hodgkin's
2 lymphoma; but it doesn't eliminate your risk of getting
3 lymphoma. In patients with a sustained virologic response,
4 there are many documented cases of patients getting
5 non-Hodgkin's lymphoma.

6 **Q.** Well, Doctor, I want you to assume for a second that the
7 virus was completely gone from Mr. Hardeman's system in 2005,
8 okay. If that were the case, would you -- would you be able to
9 rule out hepatitis C as a cause of his NHL diagnosed in 2015?

10 **A.** No.

11 **Q.** And why is that?

12 **A.** Well, there is a very long latency period or the time from
13 the damage caused by the virus to the time that you get
14 lymphoma. And I think I mentioned yesterday, it can take years
15 to get lymphoma once that damage occurs. So the damage from
16 the virus could have occurred. He could have then been
17 treated, but the cells that were damaged still remain in the
18 body. They don't have to be infected by the virus to cause
19 lymphoma in hepatitis C.

20 **Q.** And, Doctor, have you seen what you just described to the
21 jury referred to as the hit-and-run theory?

22 **A.** Yes.

23 **Q.** And do you believe that that's documented in the medical
24 literature?

25 **A.** Yes, it is well documented that the virus can enter a

ARBER - DIRECT / KILARU

1 cell; cause genetic damage, and then leave the cell; and that
2 genetic damage persists and can ultimately lead to lymphoma.

3 **Q.** Doctor, let's just take a step back then and talk about
4 your overall conclusions in this case. Based on your
5 experience in the field of pathology, what conclusion were you
6 able to reach about the role that Roundup played in
7 Mr. Hardeman's NHL?

8 **A.** Well, I think as a practicing amount of pathologists, I
9 don't think it is accepted in the medical community that
10 Roundup is a cause of lymphoma; and I don't believe it caused
11 Mr. Hardeman's lymphoma.

12 **Q.** And do you believe that Dr. Weisenburger used valid
13 methods within the field of pathology in concluding that
14 Roundup caused Mr. Hardeman's NHL?

15 **A.** No. From reading his testimony, he really didn't discuss
16 how he actually practiced pathology. It was really not in the
17 standard of what is the normal practice of pathology.

18 **Q.** Doctor, in reaching the conclusions that you have offered
19 to the jury, did you reach all of them to a reasonable degree
20 of medical certainty?

21 **A.** Yes.

22 **Q.** And what did that standard mean to you as you were going
23 through your analysis of the case?

24 **A.** Well, I looked at the case -- just like I would look at
25 any case that came from my office for a patient being treated

ARBER - CROSS / MOORE

1 in my institution or as a consultation -- and used the same
2 methodology.

3 Q. So did the methods that you have described for the jury
4 differ in any way from what you do with a patient in Chicago or
5 one of the patients that is referred to you?

6 A. They only differed in that I had more access to the
7 medical record than I usually do, so I saw more of the history,
8 but otherwise it is identical.

9 MR. KILARU: Your Honor, we have no further questions
10 at this time.

11 THE COURT: Okay. Any cross?

12 MS. MOORE: Yes, Your Honor. Thank you.

13 CROSS-EXAMINATION

14 BY MS. MOORE

15 Q. Good morning, Dr. Arber.

16 A. Good morning.

17 Q. I want to pick up right where you left off. And I
18 understand you are a pathologist, right?

19 A. Yes.

20 Q. Okay. And so patients don't actually come into your
21 office; is that true?

22 A. That's true.

23 Q. Okay. So what you are looking at is the tissue that the
24 doctor took and sent to your lab to review, right?

25 A. Yes, that's correct.

SIDEBAR

1 Q. You don't have direct interaction with patients?

2 A. Not usually, no.

3 Q. Okay. All right. You were just asked by counsel some
4 questions about risk factors. And I wanted to make sure I
5 understood your testimony. You were saying that
6 Dr. Weisenburger shouldn't have eliminated age, sex and race;
7 is that right?

8 A. Yes.

9 Q. Did you also review Dr. Levine's testimony from yesterday,
10 or were you just reviewing Dr. Weisenburger's?

11 A. I have not seen Dr. Levine's testimony.

12 Q. Would it surprise you to learn that Dr. Levine also
13 eliminated age and sex as a causative risk factor --

14 MR. KILARU: Objection, Your Honor. Relevance and
15 misstates testimony.

16 THE COURT: Why don't we have a sidebar?

17 (The following proceedings were heard at the sidebar:)

18 [REDACTED]
19 [REDACTED]
20 [REDACTED]
21 [REDACTED]
22 [REDACTED]
23 [REDACTED]
24 [REDACTED]
25 [REDACTED]

1 [REDACTED] [REDACTED]
2 [REDACTED] [REDACTED]
3 [REDACTED] [REDACTED]
4 [REDACTED]
5 [REDACTED] [REDACTED]
6 [REDACTED] [REDACTED]
7 [REDACTED]
8 [REDACTED] [REDACTED] [REDACTED]
9 [REDACTED]
10 [REDACTED] [REDACTED]

11 (Proceedings were heard in the presence of the jury:)

12 **THE COURT:** The objection is sustained.

13 **BY MS. MOORE**

14 **Q.** Dr. Arber, do you know the difference between a risk
15 factor and a causative risk factor?

16 **A.** No, actually I don't. I don't think there is a clear line
17 between the two.

18 **Q.** Okay. The jury heard from Dr. Levine yesterday describe a
19 causative risk factor, and I asked her if the causative -- if
20 age and weight are causative risk factors and she said they are
21 not causative risk factors.

22 Do you disagree with Dr. Levine?

23 **A.** No. Age alone doesn't cause someone to get lymphoma. It
24 puts them at a higher risk.

25 **Q.** Okay. And you are not telling this jury that the fact

1 that Mr. Hardeman was 66 caused him to get non-Hodgkin's
2 lymphoma, are you?

3 **A.** Well, there are some patients that get lymphoma because
4 they become more immunosuppressed as they get older. In his
5 case I don't think there is evidence of that.

6 **Q.** Okay. And you are not telling this jury that because he
7 was slightly overweight that Mr. Hardeman got non-Hodgkin's
8 lymphoma, are you?

9 **A.** Well, it increased his risk, but I don't think that that
10 alone caused him to get lymphoma.

11 **Q.** Okay. You agree with Dr. Weisenburger the diagnosis is
12 diffuse large B-cell lymphoma? That's what you -- that's what
13 you saw from the slides, correct?

14 **A.** Yes.

15 **Q.** Okay. And, in fact, that is not an issue in dispute in
16 this case, is it?

17 **A.** No, it's not.

18 **Q.** Is it your testimony that you simply don't know what the
19 cause of Mr. Hardeman's diffuse large B-cell lymphoma is?

20 **A.** Well, my testimony is I have looked at the slides; and
21 based on looking at the slides, there is not a clear cause and
22 it is most likely idiopathic.

23 **Q.** Okay. And that's from looking at the actual tissue slides
24 themselves, correct?

25 **A.** A combination of looking at the clinical information and

1 the tissue slides, yes.

2 Q. Okay. And I understood your testimony -- and that's
3 because there is no marker or feature on the slides to tell you
4 what the cause of the non-Hodgkin's lymphoma; is that fair?

5 A. Yes, there are no features looking under the microscope
6 that tell me a cause, and there are certain ones that you can
7 identify by looking in the microscope. In this case there were
8 none.

9 Q. Okay. And the same is true if you had a patient's tissue
10 sent to you to diagnose; that if they had lung cancer, you
11 couldn't tell that it was caused by cigarette smoking, correct?

12 A. Well, I don't do lung cancer in my specialty, so I really
13 don't feel comfortable answering that.

14 Q. You don't know that there is not a marker on pathology?

15 A. I know that there are certain types of lung cancer that
16 correlate with smoking, yes.

17 Q. Right. But you can't tell -- let me ask you this: There
18 are other types of cancer that just by looking at the slides
19 you can't tell the cause; is that fair?

20 A. Yes. That's why we -- to make a diagnosis we get clinical
21 information as well as looking at the slide.

22 Q. Okay. And I want to make sure because I think we are
23 talking about two different things then. So diagnosis, that,
24 in this case, the diffuse large B-cell lymphoma, correct?

25 A. Yes.

ARBER - CROSS / MOORE

1 Q. Okay. And you can tell that from the slide?

2 A. I can, but to make a complete diagnosis, I have to have
3 the clinical information because there can be cases that look
4 like diffuse large B-cell lymphoma and in the right clinical
5 setting would be a different diagnosis.

6 Q. Okay. And then there is the separate question as to what
7 causes the diffuse large B-cell lymphoma, and from that
8 question you can't tell that from the slide, just like you
9 can't tell whether someone's lung cancer is caused by smoking,
10 correct?

11 A. Well, not always. There are sometimes I can tell by
12 looking at the slide what is the cause of it.

13 Q. Right. But there are cases that you cannot tell the cause
14 from looking at the slide?

15 A. That's correct.

16 Q. And, Dr. Arber, did you consider at all Roundup being a
17 risk factor for Mr. Hardeman getting non-Hodgkin's lymphoma?

18 A. No. There was no mention of it in his medical record, and
19 it is not accepted in the practice of pathology, that Roundup
20 is a risk factor for lymphoma.

21 Q. Okay. And I heard you say that. And I just want to be
22 clear because your testimony is that you had the opinion that
23 Roundup is not a cause of lymphoma. Is that your opinion that
24 you are giving to this jury?

25 A. Yes, based on my clinical practice, my attendance at

ARBER - CROSS / MOORE

1 medical pathology conferences, hematology conferences, I never
2 even heard it mentioned in one of those conferences as a cause
3 of lymphoma.

4 **Q.** Okay. Well, Dr. Arber, isn't it true that you actually do
5 not consider yourself an expert as to whether glyphosate can
6 cause non-Hodgkin's lymphoma?

7 **A.** That's correct because it's not something that is just
8 accepted in my specialty. It's been studied outside of that,
9 but --

10 **Q.** And that wasn't my question, Dr. Arber. My question to
11 you was: It is true that you do not consider yourself an
12 expert as to whether glyphosate can cause non-Hodgkin's
13 lymphoma. That's true, correct?

14 **A.** That's correct.

15 **Q.** Okay. And it's true that you are not offering any
16 opinions to this jury regarding epidemiology, correct?

17 **A.** I'm not an epidemiologist. I can, you know, have a
18 superficial knowledge of it; but I'm not considered myself an
19 expert in epidemiology, no.

20 **Q.** Okay. And you are not offering any opinions to this jury
21 about the animal studies, correct?

22 **A.** That's correct. I only look at human tissues.

23 **Q.** Okay. You don't consider yourself an expert in animal
24 studies, correct?

25 **A.** That's correct.

ARBER - CROSS / MOORE

1 Q. Okay. And then you are not offering any opinions
2 regarding the mechanistic data or the cell studies, correct?

3 A. That's correct.

4 Q. Okay. And you have no opinion as to whether Roundup is
5 genotoxic, correct?

6 A. I have -- I have seen no studies about it causing genetic
7 defects that result in lymphoma.

8 Q. Okay. You are not offering an opinion to this jury
9 whether Roundup is genotoxic, correct?

10 A. That's correct.

11 Q. And the jury has heard about a Bradford-Hill analysis.
12 You didn't do any kind of Bradford-Hill analysis in this case,
13 correct?

14 A. No, that's not in the realm of pathology practice.

15 Q. Okay. And that brings up a good point. You said a couple
16 times that -- you said that Dr. Weisenburger, what he was
17 talking about was outside the practice of pathology. Do you
18 know that Dr. Weisenburger is a hematopathologist?

19 A. Yes, I do.

20 Q. Okay. And do you know that he has been studying the
21 causes of non-Hodgkin's lymphoma for over 40 years?

22 A. I believe that is an interest of his, yes.

23 Q. And you know Dr. Weisenburger?

24 A. Yes, I do.

25 Q. Okay. And you respect Dr. Weisenburger?

ARBER - CROSS / MOORE

1 **A.** Yes, I do.

2 **Q.** Okay. You think he is a good hematopathologist?

3 **A.** Yes.

4 **MS. MOORE:** Okay. Those are all the questions I have.
5 Thank you, Dr. Arber.

6 **THE COURT:** Anything on redirect?

7 **MR. KILARU:** No, Your Honor.

8 **THE COURT:** Okay. Dr. Arber, you can step down.

9 **THE WITNESS:** Thank you.

10 **THE COURT:** Okay. Anything further from Monsanto?

11 **MR. STEKLOFF:** No, Your Honor.

12 We rest.

13 **THE COURT:** Okay. And anything further from the
14 Plaintiffs on rebuttal?

15 **MS. MOORE:** Yes, Your Honor. We would like to call
16 Dr. Portier.

17 **THE COURT:** Well, wait a minute.

18 **MS. MOORE:** I'm sorry, Your Honor. We can do a
19 sidebar? I'm sorry.

20 **THE COURT:** I told you that if you wanted to call
21 rebuttal witnesses, you had to let them know by 8:00 p.m. last
22 night.

23 **MS. MOORE:** We did, Your Honor.

24 **THE COURT:** Okay. Then we will -- why don't we take a
25 quick break and we will discuss that issue. Why don't -- let's

PROCEEDINGS

1 take a quick morning break. I know it is an early morning
2 break -- sorry about that -- but we will get back to you as
3 soon as possible. Thank you.

4 (Proceedings were heard out of presence of the jury:)

5 **THE COURT:** I was under the impression that I was also
6 going to be informed last night at 8:00 o'clock if the
7 Plaintiffs wish to call a rebuttal witness and if there was a
8 dispute about that. So I'm just hearing about this dispute
9 now?

10 **MS. MOORE:** I'm so sorry, Your Honor. We did send
11 them our rebuttal testimony. They sent back their counters and
12 objections last night. So that's where that is. Our tech has
13 that information. And so we can -- and I apologize,
14 Your Honor. I thought we were only to send it to the defense,
15 so that's what we did. We had an exchange last night.

16 **THE COURT:** Okay. So what is the issue? Is Monsanto
17 objecting to any of the testimony --

18 **MR. KILARU:** All of it, Your Honor.

19 **THE COURT:** Okay. So tell me what the testimony is.

20 **MR. KILARU:** Okay. Well, I think there is two sets of
21 proposed testimony. One from Dr. Portier. And we received the
22 materials from Dr. Reeves -- Reeves -- I don't know if there is
23 an intent to play that as well.

24 **MS. MOORE:** Your Honor, I have a copy of the
25 highlighted transcript.

PROCEEDINGS

1 **THE COURT:** Okay. Do you want to play -- is it just
2 Portier that you want to play, or do you want to play Reeves'
3 testimony as well?

4 **MR. WOOL:** I think we want to play both, Your Honor.

5 **THE COURT:** Okay. You think --

6 **MR. WOOL:** We do.

7 **THE COURT:** Okay.

8 **MS. MOORE:** Here is the highlighted transcript for
9 Dr. Portier.

10 **THE COURT:** Okay.

11 **MR. KILARU:** I guess we can start with Dr. Portier.

12 First of all, Your Honor, we -- I think as a background
13 principle, we think that under Ninth Circuit law, rebuttal
14 evidence has to be based on new facts that we brought out in
15 our case in chief, and it can't just be an effort to introduce
16 evidence that could have been introduced in the Plaintiff's
17 case in chief. And on that we cite the *Goldfinger* case -- and
18 I can provide citations if Your Honor would like -- that is 869
19 F2d, 1497.

20 There is also other cases like a case called *Brutsche*,
21 B-R-U-T-S-C-H-E, which says rebuttal evidence can't be offered
22 to simply bolster the Plaintiff's case in chief.

23 So within Portier, there are four pieces or proposed areas
24 of testimony that he would cover. I think it might be easiest
25 to talk about them in categories.

PROCEEDINGS

1 The first is there was testimony you excluded from
2 Dr. Reeves' deposition and, I believe, similar testimony is
3 being proffered now through Dr. Reeves.

4 But to start with Dr. Portier, it is testimony essentially
5 about Monsanto's position on whether there is evidence or not
6 evidence of carcinogenicity. And I think Your Honor has
7 already excluded evidence of that sort in Plaintiff's case in
8 chief, and so I don't think Dr. Portier should now be played to
9 rebut that evidence as part of the rebuttal case, especially
10 when I don't think anything that we have done really goes to
11 that issue.

12 Second, there is testimony that rehashes what IARC
13 concluded -- sort of what their conclusions were about sort of
14 the various areas of science, and there is actually questions
15 of Dr. Portier about whether he agrees with IARC, which we
16 think should be excluded as well, consistent with the way the
17 case has been litigated so far. I don't think anything we have
18 done opened the door to that.

19 Third, there is testimony about IDT and its involvement
20 with the *Knezevich & Hogan* situation. I think that was clearly
21 excluded by motions in limine before the trial, and so I don't
22 think that has any place in Phase One.

23 And then last, there is additional testimony from
24 Dr. Portier on the *Knezevich & Hogan* study, which we didn't
25 even discuss in our case in chief at all.

PROCEEDINGS

1 **THE COURT:** Sorry, could you say -- could you start
2 over on the fourth one?

3 **MR. KILARU:** Yes. Sorry, Your Honor.

4 There is more testimony from Dr. Portier sort of providing
5 his analysis of the mouse -- the magic tumor issue, the
6 *Knezevich & Hogan* issue. So there are questions asked of him
7 of sort of what his opinion is on that issue. And I think that
8 that if it was ever going to come in, it should have come in
9 earlier; but I don't think that it will come in, consistent
10 with Your Honor's prior rulings and sort of the limited role
11 for that that we had discussed.

12 **THE COURT:** Well, I made takeaways. I'm very pleased
13 that you seem to have adopted our use of the term "magic
14 tumor."

15 **MR. KILARU:** The second I said it, I knew you would
16 notice.

17 **THE COURT:** Okay.

18 **MR. WOOL:** With respect to the first issue, we think
19 that Dr. Mucci opened the door when she said there is no
20 evidence across the board as to whether or not Roundup is
21 associated with non-Hodgkin's lymphoma. And so we think that
22 this testimony is sort of directly relevant to rebut that
23 testimony.

24 **THE COURT:** I mean, was there any -- did you
25 experience any ounce of surprise when Dr. Mucci said that?

PROCEEDINGS

1 **MR. WOOL:** Well, I think we were somewhat surprised as
2 to the breadth of the opinion. I think it wasn't limited only
3 to the epidemiological studies. I think that she went beyond
4 the epidemiological studies, and that's why we think this
5 testimony is appropriate here.

6 **MR. KILARU:** Your Honor, I believe you told the jury
7 to disregard the other testimony. We actually didn't go back
8 into it after that. I think you said we could but we didn't.

9 **THE COURT:** Okay. So let me ask you this before you
10 continue on the -- on the categories, did Mr. Kilaru accurately
11 describe the categories of testimony that you are trying to get
12 in or is there something else?

13 **MR. WOOL:** I think that accurately describes the
14 categories with respect to Dr. Portier.

15 **THE COURT:** Okay. So number one is more testimony on
16 Monsanto's position on carcinogenicity?

17 **MR. WOOL:** Yes.

18 **THE COURT:** The second one is the stuff -- more
19 testimony about what IARC concluded?

20 **MR. WOOL:** Yes, sort of re -- sort of rehabilitating
21 the IARC opinions, if you will.

22 **THE COURT:** Sorry?

23 **MR. WOOL:** Rehabilitating or kind of re-visiting the
24 IARC opinions, I guess, is sort of the second category.

25 **THE COURT:** Is there anything that -- like, what is

PROCEEDINGS

1 your -- do you have any argument for why it should come in on
2 rebuttal as opposed to having already come in in your case in
3 chief?

4 **MR. WOOL:** Well, I think with respect to -- to that
5 one, I think it has come in in the case in chief.

6 **THE COURT:** Okay. Okay. So what else?

7 **MR. WOOL:** Then with respect to the IBT studies, there
8 was some testimony that came in from Dr. Reeves on -- for
9 Monsanto's designations with respect to -- not binding studies
10 per say, but you can't tell an expert what to do. I think the
11 IBT stuff, the *Knezevich & Hogan* stuff, goes directly to that;
12 that you can't just hire an expert and tell them we want this
13 result, which was sort of the testimony that was elicited from
14 Dr. Reeves. And so this testimony that we have designated
15 would rebut that testimony that we heard from Monsanto in that
16 form.

17 **THE COURT:** Okay. And then more testimony from
18 Portier on the magic tumor?

19 **MR. WOOL:** Yes. So that would, again, just go to
20 rebut Dr. Reeves' testimony on the magic tumor stuff and the
21 EPA kind of came to the correct conclusion.

22 **THE COURT:** I mean, I guess I'm not understanding -- I
23 mean, you put in Dr. Reeves' testimony in your -- in your case
24 in chief, so you are -- you are saying you want -- you want
25 to -- on rebuttal you want to include more testimony rebutting

PROCEEDINGS

1 the testimony that you put in in your case in chief?

2 **MR. WOOL:** Well, giving Dr. Portier the opportunity to
3 kind of explain --

4 **THE COURT:** But you knew what was -- you presumably
5 knew what was coming in from Dr. Reeves in the case in chief
6 and could have -- if you really thought there was something
7 that -- extra that needed to rebut that, you could have put it
8 in during your case in chief, right?

9 **MR. WOOL:** Well, we couldn't have called Dr. Portier
10 to do that after the Reeves' testimony was designated. So
11 I guess that that is sort of the issue; that Dr. Portier was in
12 Australia. We didn't know what --

13 **THE COURT:** Didn't we come back and play a little
14 extra snippet of Dr. Portier? Like, you did actually ask --
15 Portier was done and then you asked for -- to play another
16 snippet of Portier's testimony, and that was the last, right?

17 **MR. WOOL:** Right. And in response to some of the
18 genotoxicity evidence that came in through the questioning of
19 Dr. Portier -- and so that evidence was played in response to
20 some of the questions Monsanto elicited on cross of
21 Dr. Portier -- and that was played, I believe, before
22 Dr. Reeves testified.

23 **THE COURT:** Okay. Anything else you want to argue on
24 these -- on Dr. Portier's testimony?

25 **MR. WOOL:** I believe that's it, with respect to

PROCEEDINGS

1 Dr. Portier.

2 **THE COURT:** All right. Now what about Reeves?

3 **MR. KILARU:** So on Dr. Reeves, Your Honor, can I make
4 one additional point on Portier?

5 **THE COURT:** Go ahead.

6 **MR. KILARU:** The point on the -- just on the IBT and
7 Portier issue, because I think you are recalling this already,
8 but they wanted to designate IBT testimony from Dr. Reeves and
9 you excluded it. And so I think they actually did have an
10 opportunity to respond in the sense of we designated it. They
11 designated it. You just didn't think it should come in, so I
12 don't think it could be rebutted now.

13 **THE COURT:** Right. I understand.

14 **MR. KILARU:** So with Reeves, I think there are a few
15 things. Much of the testimony I think is -- I think, similar
16 to testimony -- not identical but similar, to testimony
17 Your Honor said couldn't come in in Phase One in the first
18 ruling when you basically said -- I think something to the
19 effect of -- the first 70 to 80 pages I have looked at, none of
20 that should come in. So a lot of the testimony is about
21 whether or not Monsanto has done certain studies on rats and
22 mice, whether Monsanto has refused to do certain studies on
23 rats and mice, and what Monsanto's position is on this whole no
24 evidence of carcinogenicity issue.

25 I just note that Dr. Mucci did actually say across the

PROCEEDINGS

1 board that there is no evidence or even that there is no
2 epidemiological evidence. She pointed out there is not a
3 causal association. That was her testimony.

4 So as we go forward into the proposed designations, there
5 are questions about communications, text messages that Monsanto
6 had with the EPA, which I think is stuff that you have already
7 excluded from the trial; and it actually relates to more recent
8 communications between Monsanto and the EPA.

9 **THE COURT:** Wait. Sorry. I'm confused. Are you
10 still talking about Phase One and stuff that they are trying to
11 get in in Phase One?

12 **MR. KILARU:** Yes. In the proposed Reeves'
13 designations in rebuttal, there are questions asked to
14 Dr. Reeves about whether Monsanto has sent text messages to the
15 EPA. There are questions about the paper responding to IARC
16 involving conversations between Mr. Reeves and Acquavella and
17 InterTech, which I think is something that is clearly not a
18 Phase One issue, maybe not even a Phase Two issue based on Your
19 Honor's pretrial rulings. So we think all of the Reeves'
20 testimony -- nothing -- we think nothing we did opened the door
21 to the Reeves' testimony.

22 We think all of it is materially identical to testimony
23 you have excluded already, either through motion in limine
24 rulings or through the actual rulings on Dr. Reeves'
25 deposition. So we don't think there is any basis for playing

PROCEEDINGS

1 it now, especially because I don't think we opened the door to
2 any of those.

3 **THE COURT:** Okay. And so I -- I have been handed this
4 Portier stack of papers that constitutes Portier's testimony.
5 And the proposed testimony is highlighted?

6 **MR. WOOL:** Correct.

7 **THE COURT:** The testimony that you want designated for
8 rebuttal is highlighted?

9 **MR. WOOL:** That's correct.

10 **THE COURT:** And then are there any counter
11 designations? It seems like it's very -- not that it matters,
12 but it seems like it is relatively little testimony. Yes?

13 **MR. KILARU:** I believe it is about half an hour when
14 we got it, I think.

15 **MR. WOOL:** Yeah, I think that's accurate.

16 **THE COURT:** I'm not seeing any highlights after
17 page -- I just want to make sure. I mean, virtually every
18 transcript I have gotten from the Plaintiffs has been messed up
19 throughout this trial, so I want to make sure that I have got
20 the right one here.

21 **MR. KILARU:** Your Honor, I know we sent them some
22 counters in a spreadsheet, but I don't believe those are
23 included in what you have.

24 **THE COURT:** I'm not seeing any highlights after
25 page --

PROCEEDINGS

1 **MS. MOORE:** 699.

2 **THE COURT:** -- 699; is that correct?

3 **MS. MOORE:** This is the version of the transcript that
4 we sent to them last night before 8:00. I think we got their
5 counters around midnight. It has not been added, but we have
6 the Excel sheet. Or do you have the --

7 **MR. KILARU:** I'm sure we have the copy of the Excel
8 sheet.

9 **MS. MOORE:** We can hand you a copy of the Excel sheet.
10 I apologize, Your Honor.

11 **THE COURT:** The Reeves' testimony, is somebody going
12 to hand me the Reeves' testimony?

13 **MR. WOOL:** I guess we don't have a copy.

14 **THE COURT:** You don't have a copy of the Reeves'
15 testimony that you want to play?

16 Okay. Well, that probably answers that then, doesn't it?

17 **MR. WOOL:** Okay.

18 **MS. MOORE:** Your Honor, we can print a copy of the run
19 report very quickly.

20 **THE COURT:** Okay. I will go take a few minutes to
21 look at this, and I will come back.

22 **MR. STEKLOFF:** Your Honor, if I'm going to make one
23 slide based on Dr. Arber's testimony, should I run it by you?
24 I don't think it will be controversial, but I don't want to
25 present a slide that has not been previewed for Your Honor.

PROCEEDINGS

1 **THE COURT:** Yeah, why don't you run it by me.

2 **MR. STEKLOFF:** Can I do that ex parte. Is that okay?

3 **THE COURT:** Sure.

4 **MS. WAGSTAFF:** Your Honor, may I hand you a color
5 glossy copy of my PowerPoint?

6 **THE COURT:** Okay.

7 **THE CLERK:** Court is in recess.

8 (Recess taken at 9:09 a.m.)

9 (Proceedings resumed at 9:20 a.m.)

10 **THE COURT:** So I have reviewed Dr. Portier's proposed
11 rebuttal testimony. I think there are a number of problems
12 with it. I think it is not proper rebuttal testimony. And
13 it's also precluded either under Rule 403 or -- and/or my --
14 the prior motion in limine rulings. So Dr. Portier's testimony
15 will not be permitted.

16 Based on the description of Dr. Reeves' proposed
17 testimony, which nobody has yet given to me, it appears that
18 that is also excludable for the same reasons.

19 So we -- we will proceed with -- shortly with closing
20 arguments.

21 Now, I have got -- I just received a request for a
22 curative instruction which says, The parties agree that
23 Mr. Hardeman used Roundup as set forth in the label. I'm not
24 inclined to give this instruction for the reasons that I gave
25 yesterday unless Monsanto thinks it is appropriate.

PROCEEDINGS

1 **MR. STEKLOFF:** We do not think it is appropriate.

2 **THE COURT:** Okay. We had a chance to discuss this
3 yesterday. I don't think further discussion is needed.

4 **MS. MOORE:** We did, Your Honor. I just wanted to
5 tender that to the Court.

6 And should we then file that electronically?

7 **THE COURT:** Yes, please.

8 **MS. MOORE:** Okay. Will do. Thank you.

9 **MR. STEKLOFF:** While we are preserving things, I'm
10 just going to renew our directed verdict motion at the end of
11 the case, and we will file it as Mr. Kilaru said earlier today.

12 **THE COURT:** Okay. That's fine.

13 **MS. WAGSTAFF:** I want to talk about that.

14 **MS. MOORE:** Your Honor, we oppose that.

15 **THE COURT:** Do you want to -- I mean, do you want to
16 take a couple minutes to just make the motion right now?

17 **MR. KILARU:** I can, but I also don't want to keep the
18 jury waiting. So whatever you prefer.

19 **THE COURT:** Okay. Well, I have to spend some time now
20 going through the Plaintiff's closing slides. So -- as long as
21 you are comfortable that it is preserved -- you are preserving
22 your record, I would prefer to wait.

23 **MR. KILARU:** That's fine.

24 **MR. STEKLOFF:** We are fine with that. We are
25 comfortable based on that. I did it at the end of the

PROCEEDINGS

1 Plaintiff's case. I have done it now.

2 **THE COURT:** You have done it like five times.

3 **MS. WAGSTAFF:** I can't wait to hear it.

4 **MR. STEKLOFF:** That's how confident we are.

5 **THE COURT:** It is sort of like my kids, if you ask
6 enough times, I'm eventually going to -- okay.

7 So I will now go back and review the slides. So I'm
8 expecting that is going to take about 15 minutes. So why don't
9 we go ahead and plan on resuming at 40 minutes after the hour.
10 Presumably we will have to have a little discussion about some
11 of the slides, and then we will go straight to closing
12 arguments.

13 **MS. WAGSTAFF:** Okay. And, Your Honor, if I need to
14 change my slides up in any way, I will just have to do that and
15 send that to my tech person, so that may take about five
16 minutes.

17 **THE COURT:** That's fine. But this time will be coming
18 out -- starting now will be coming out of the Plaintiff's case.

19 (Recess taken at 9:24 a.m.)

20 (Proceedings resumed at 9:49 a.m.)

21 **THE COURT:** All right. You can go ahead and stop the
22 clock, Kristen.

23 Okay. So I didn't have anything else major, other than
24 what I raised. There are a couple things, though.

25 Number one, there is reference in a number of the slides

PROCEEDINGS

1 to the opening statement roadmap where you gave them the
2 roadmap, that's fine. But I just want to take the opportunity
3 to say you cannot say anything else about what you said in your
4 opening statement, other than that roadmap given what happened
5 in the opening statement.

6 Slide Number --

7 **MS. WAGSTAFF:** I just intend to use those, as you
8 probably know, to say here is what I showed you; here is where
9 we went, sort of as the guide.

10 **THE COURT:** That's fine, but no more than that. No
11 additional references to anything that you said in opening
12 statement.

13 The ninth slide seems to portray Dr. Weisenburger as
14 testifying that Roundup is 100 times more toxic than
15 glyphosate. That's not what he testified to. That wasn't his
16 testimony, so you will have to take out that slide.

17 **MS. WAGSTAFF:** I actually -- can we look at the
18 testimony?

19 **THE COURT:** Yeah. He testified that one study -- he
20 was testifying about a couple different studies. He said this
21 study says one hundred times. He said that study says
22 ten times.

23 **MS. WAGSTAFF:** So can I put one study shows -- I mean,
24 I thought this was a quote from his testimony.

25 **THE COURT:** It is an incomplete quote from his

PROCEEDINGS

1 testimony. So given the time --

2 **MS. WAGSTAFF:** I can change it to a complete quote.

3 **THE COURT:** Okay.

4 **MS. WAGSTAFF:** Okay.

5 **THE COURT:** There are a number of slides, for example,
6 Number 33, Number 72. 33 says Monsanto did not dispute the
7 animal data. 72 says Monsanto did not dispute the mechanistic
8 data.

9 I don't think that's fair. You have a number of other
10 slides that say -- you know, with some very colorful
11 graphics -- that say that Monsanto didn't bring any witnesses
12 to testify about the mechanistic data and the animal data. I
13 think that's fine.

14 **MS. WAGSTAFF:** I can take out the ones about
15 disputing, if that makes you more comfortable.

16 **THE COURT:** Yeah, because they did dispute it through
17 cross-examination. So I don't think that's fair.

18 **MS. WAGSTAFF:** I will just delete those.

19 **THE COURT:** There are a few of them. One example is
20 at page 33. One example is at page 72.

21 **MS. WAGSTAFF:** I do it after each pillar, so it is
22 easier for me to delete those.

23 **THE COURT:** Okay.

24 **MS. WAGSTAFF:** There is only three.

25 **THE COURT:** Okay.

PROCEEDINGS

1 **MS. WAGSTAFF:** Although I will say they didn't really
2 dispute the mechanistic data.

3 **THE COURT:** They cross-examined them quite a bit on
4 that.

5 **MR. STEKLOFF:** I think we were accused of opening the
6 door through our cross-examination.

7 **THE COURT:** And then -- let's see. The only other one
8 I wanted to float -- I actually think it is probably fine --
9 but I wanted to float it just to make sure I'm not missing
10 anything, at page 44 -- at Slide 44.

11 **MS. WAGSTAFF:** I have deleted a few since you said, so
12 my number is off. If you can just describe a little bit to me.

13 **THE COURT:** Yeah, the slides about the magic --

14 **MS. WAGSTAFF:** Uh-huh.

15 **THE COURT:** -- mouse tumor.

16 **MS. WAGSTAFF:** Yeah.

17 **THE COURT:** Where you say a couple of -- on a few
18 different slides, EPA determines glyphosate as a Class C
19 oncogene. I think that is probably fine, but I just wanted to
20 raise it in case Monsanto has an objection because it was a
21 particular unit within EPA or anything like that.

22 **MS. WAGSTAFF:** And, Your Honor, all of those documents
23 I have gone back and cross-referenced that I cite in there are
24 in evidence.

25 **THE COURT:** Right.

PROCEEDINGS

1 **MS. WAGSTAFF:** So what I'm saying is sort of straight
2 from the documents.

3 **THE COURT:** Yeah, I saw that.

4 **MS. WAGSTAFF:** Okay.

5 **THE COURT:** So I was only referring to the
6 statement -- I was anticipating a possible objection from
7 Monsanto that it should have been the Office of Pesticide
8 Programs or something and not EPA. I think I would probably be
9 inclined to overrule that objection if it were made, but I
10 wanted to give Monsanto a chance to discuss it.

11 **MR. STEKLOFF:** Yeah. I mean, I was -- I'm not
12 surprised we are hearing about the magic mouse tumor. So based
13 on what you described, I don't have an objection.

14 **THE COURT:** Okay. All right. So that's it.

15 Why don't we have -- is 10:00 o'clock okay to begin?
16 Would you like a little bit more time?

17 **MS. WAGSTAFF:** Just maybe a little bit more so I can
18 find the slides and delete them.

19 **THE COURT:** Okay. So we will come back out at
20 10:05 to begin closing arguments.

21 Do you have an estimate, any more information about
22 roughly how long you plan to take?

23 **MS. WAGSTAFF:** I'm hoping --

24 **THE COURT:** Oh, by the way, I will instruct them
25 first.

PROCEEDINGS

1 **MS. WAGSTAFF:** Oh, okay. So your instruction will
2 probably take, what, 15, 20 minutes?

3 **THE COURT:** If that.

4 **MS. WAGSTAFF:** Okay, 15 minutes. I could be done by
5 oh, that's 11 -- that's 10:15 -- by lunch, 11:30, 11:45. I'm
6 hoping for about an hour and a half.

7 **THE COURT:** Total or on your initial?

8 **MS. WAGSTAFF:** On my initial, yeah. And then that
9 would -- then I guess Mr. Stekloff would go after lunch. And
10 then I would rebut after lunch is what I would guess.

11 **THE COURT:** Yeah, that sounds right.

12 **MR. STEKLOFF:** Around the 11:30 timeframe, I don't
13 want to be the person responsible for the jury --

14 **THE COURT:** Yes. That sounds like a plan. Okay. Be
15 back at 10:05.

16 **MS. MOORE:** Your Honor, one quick thing, housekeeping.
17 There was the blowup -- you will remember the differential
18 blowup that Dr. Weisenburger marked the 2 on, and it was
19 scratched out. I never referred to it as a number in the
20 record, and we have labeled it 937. I'm not moving to enter it
21 into evidence; but for identification purposes, I want to refer
22 to that blowup as 937.

23 **THE COURT:** Okay. Any objection?

24 **MR. STEKLOFF:** Absolutely not. My -- I believe
25 based -- they -- Ms. Moore and Ms. Wagstaff showed me a

PROCEEDINGS

1 different sort of version, a graphic version of that, they used
2 during opening. I have no objection to them using that during
3 closing.

4 **THE COURT:** That's what you are using?

5 **MR. STEKLOFF:** I don't think they should use the board
6 with the scratched --

7 **MS. MOORE:** We are not, Your Honor. That was just for
8 housekeeping.

9 **THE COURT:** Okay. Be back at 10:05.

10 **THE CLERK:** Court is in recess.

11 (Recess taken at 9:56 a.m.)

12 (Proceedings resumed at 10:05 a.m.)

13 **THE COURT:** Okay. Before we call the jury in,
14 somebody informed me that the courtroom was really full. I
15 want to make sure, has anybody see any indication that anyone
16 was turned away? Because I was thinking that if we need
17 overflow, we could, you know, potentially put some of
18 Monsanto's army of lawyers up there in the back to make more
19 room for the gallery.

20 **UNIDENTIFIED FEMALE:** Your Honor, there are at least
21 four more people I know of who are on their way to the
22 courthouse right now.

23 **THE COURT:** I'm trying to get a sense of how much
24 seating is available.

25 **MS. WAGSTAFF:** There are a couple over there. You may

JURY INSTRUCTIONS

1 not be able to see.

2 **THE CLERK:** There is some in the back row too.

3 **THE COURT:** Does it seem like we are okay?

4 **MR. KILARU:** I think so, yes.

5 **THE COURT:** I just want to make sure no member of the
6 public is denied the ability to see this if they want to.

7 Okay. So bring in the jury.

8 (Proceedings were heard in the presence of the jury:)

9 **JURY INSTRUCTIONS**

10 **THE COURT:** Okay. Welcome back. Thank you for your
11 patience.

12 As we previously discussed, we are conducting this trial
13 in phases. We have now reached the end of the first phase
14 which is about medical causation. So I'm going to read you now
15 your instructions as they relate to this phase. You will each
16 have a copy set of these instructions in the jury room with
17 you. You will each have your own set, so you don't need to
18 worry about writing everything down furiously, but the purpose
19 of my reading them to you now is just to give you a little bit
20 of a roadmap and help you understand the closing arguments that
21 the lawyers are going to make before you begin your
22 deliberations.

23 So as you know, Mr. Hardeman alleges that he developed
24 non-Hodgkin's lymphoma from his use of Roundup products
25 manufactured by Monsanto.

JURY INSTRUCTIONS

1 Monsanto denies that Roundup can cause non-Hodgkin's
2 lymphoma and specifically denies that Mr. Hardeman's
3 non-Hodgkin's lymphoma was caused by his use of Roundup.

4 For this phase you are asked -- you are being asked to
5 reach a verdict on this question. The lawyers will have an
6 opportunity to make closing arguments to you about this issue,
7 and then you will begin your deliberations and while you
8 deliberate, the lawyers will be busy preparing for the next
9 phase.

10 It is your duty to find the facts relating to medical
11 causation. It is your duty to find the facts. I will give you
12 the law to apply to those facts. You must follow the law as I
13 give it to you, whether you agree with it or not. And you must
14 not be influenced by any personal likes or dislikes, opinions,
15 prejudices, biases, stereo types or sympathies. This means
16 that you must decide the medical causation question solely on
17 the evidence before you. You will recall that you took an oath
18 to do so.

19 You must follow all of these instructions and not single
20 out some and ignore others. They are all important. Please do
21 not read into these instructions or into anything that I may
22 say or do or may have said or done as suggesting that I have an
23 opinion regarding the evidence or what your verdict should be.
24 That is entirely up to you.

25 What is evidence? The evidence you are to consider in

JURY INSTRUCTIONS

1 deciding what the facts are consists of sworn testimony of any
2 witness, the exhibits that are admitted into evidence, and any
3 facts to which the lawyers have agreed.

4 You heard some deposition testimony and you will remember
5 that I instructed you about that. A deposition is the sworn
6 testimony of a witness taken before trial. The witness is
7 placed under oath to tell the truth and the lawyers for each
8 party may ask questions. The questions and answers are
9 recorded. Insofar as possible, you should consider deposition
10 testimony presented to you in court in lieu of live testimony
11 in the same way as if the witness had been present to testify.

12 What is not evidence? In reaching your verdict you may
13 consider only the testimony and exhibits received into
14 evidence. Certain things are not evidence and you may not
15 consider them in deciding what the facts are. And I will list
16 those for you now.

17 As you know, arguments and statements made by lawyers are
18 not evidence. The lawyers are not witnesses. What they said
19 in their opening statements, closing arguments and at other
20 times is intended to help you interpret the evidence but it is
21 not evidence. If the facts as you remember them differ from
22 the way the lawyers have stated them, your memory of the facts
23 controls.

24 Questions and objections made by lawyers are not evidence.
25 Attorneys have a duty to their clients to object when they

JURY INSTRUCTIONS

1 believe a question is improper under the rules of evidence.
2 You should not be influenced by the objection or by my ruling
3 on any objection.

4 Testimony that is excluded or stricken or that you have
5 been instructed to disregard is not evidence and must not be
6 considered.

7 And finally, anything you may have seen or heard when the
8 Court was not in session is not evidence. You are to make your
9 decision solely on the evidence received in court.

10 Direct and circumstantial evidence, I talked to you about
11 this at the beginning of trial. Evidence may be direct or
12 circumstantial. Direct evidence is direct proof of a fact such
13 as testimony by a witness about what the witness personally saw
14 or heard or did. Circumstantial evidence is proof of one or
15 more facts from which you could find another fact.

16 You should consider both kinds of evidence. The law makes
17 no distinction between the weight to be given to either direct
18 or circumstantial evidence. It is for you to decide how much
19 weight to give any evidence and you may -- in considering the
20 distinction between direct and circumstantial evidence, you may
21 want to remember the rain example I gave you at the beginning
22 of trial.

23 All parties are equal before the law. And a corporation
24 is entitled to the same, fair and conscientious consideration
25 by you as a party.

JURY INSTRUCTIONS

1 In reaching your decision you may have to decide which
2 testimony to believe and which testimony not to believe. You
3 may believe everything a witness says or part of it or none of
4 it. In considering the testimony of any witness, you may take
5 into account the opportunity and ability of the witness to see,
6 hear, or know the things testified to; the witness' memory; the
7 witness' manner while testifying -- although keep in mind that
8 different people react differently to testifying in court --
9 the witness' interest in the outcome of the case, if any; the
10 witness' bias or prejudice, if any; whether other evidence
11 contradicted the witness' testimony; the reasonableness of the
12 witness' testimony in light of all the evidence; and any other
13 factors that bear on believability.

14 Sometimes a witness may say something that is not
15 consistent with something else he or she said. Sometimes
16 different witnesses will give different versions of what
17 happened. People often forget things or make mistakes in what
18 they remember. Also two people may see the same event but
19 remember it differently. You may consider these differences,
20 but do not decide that testimony is untrue just because it
21 differs from other testimony.

22 However, if you decide that a witness has testified -- has
23 deliberately testified untruthfully about something important,
24 you may choose not to believe anything that witness said.

25 On the other hand, if you think the witness testified

JURY INSTRUCTIONS

1 untruthfully about some things but told the truth about others,
2 you may accept the part you think is true and ignore the rest.
3 The weight of the evidence as to a fact does not necessarily
4 depend on the number of witnesses who testified to that fact.
5 What is important is how believable the witnesses were and how
6 much weight you think their testimony deserves.

7 You have heard testimony from a number of expert witnesses
8 who have testified to opinions and the reasons for their
9 opinions. This opinion testimony is allowed because of the
10 education or experience of those witnesses. Such opinion
11 testimony should be judged like any other testimony. You may
12 accept it or reject it, and give it as much weight as you think
13 it deserves considering the witness' education and experience,
14 the reasons given for the opinion and all the other evidence in
15 the case.

16 Now, the standard of proof in this case is called a,
17 preponderance of the evidence. When a party has the burden of
18 proving a claim by a preponderance of the evidence, it means
19 you must be persuaded by the evidence that the claim is more
20 probably true than not true. Mr. Hardeman has the burden of
21 proving his claims by a preponderance of the evidence. So you
22 should base your decision on all of the evidence presented
23 during Phase One regardless of which party presented it.

24 To prevail on the question of medical causation,
25 Mr. Hardeman must prove by a preponderance of the evidence that

JURY INSTRUCTIONS

1 Roundup was a substantial factor in causing his non-Hodgkin's
2 lymphoma. A substantial factor is a factor that a reasonable
3 person would have considered contributed to the harm. It must
4 be more than a remote or trivial factor. It doesn't have to be
5 the only cause of the harm.

6 Subject to the additional instructions that I'm about to
7 give you, conduct is not a substantial factor if in causing
8 harm -- conduct is not a substantial factor in causing harm if
9 the same harm would have occurred without that conduct.

10 So this is the additional instruction that applies if you
11 believe that two or more NHL-causing factors operated
12 independently on Mr. Hardeman.

13 If you conclude that Mr. Hardeman has proven that his
14 exposure to Roundup was sufficient on his own -- on its own to
15 cause his NHL, then you must find for Mr. Hardeman, even if you
16 believe that other factors were also sufficient on their own to
17 cause his NHL.

18 On the other hand, if you conclude that Mr. Hardeman has
19 not proven that his exposure to Roundup was sufficient on its
20 own to cause his NHL, then you must find for Monsanto.

21 You have heard testimony that the Environmental Protection
22 Agency, the EPA; the International Agency for Research of
23 Cancer, the IARC; the European Food Safety Authority, EFSA; and
24 the European Chemicals Agency, ECHA, have reached conclusions
25 about glyphosate. You should not defer to any such

JURY INSTRUCTIONS

1 conclusions. They are not a substitute for your own
2 independent assessment of the evidence presented in this case.

3 Before you begin your deliberations, elect one member of
4 the jury as your presiding juror. The presiding juror will
5 preside over deliberations and serve as the spokesperson for
6 the jury in court. You must diligently strive to reach
7 agreement with all of the other jurors if you can do so.

8 Your verdict must be unanimous. Each of you must decide
9 the case for yourself, but you should only do so after you have
10 considered all the evidence; discussed it fully with the other
11 jurors, and listened to their views. It is important that you
12 attempt to reach a unanimous verdict but, of course, only if
13 each of you can do so after having made your own conscientious
14 decision.

15 Do not be unwilling to change your opinion if the
16 discussion persuades you that you should, but do not come to a
17 decision simply because other jurors think it is right or
18 change an honest belief about the weight and effect of the
19 evidence simply to reach a verdict.

20 Because you must base your verdict only on the evidence
21 received in the case and on these instructions, I have to
22 remind you once again that you must not be exposed to any other
23 information about the case or to the issues it involves. Do
24 not communicate with anyone in any way, and do not let anyone
25 else communicate with you in any way about the merits of the

JURY INSTRUCTIONS

1 case or anything to do with it.

2 This includes discussing the case in person, in writing,
3 by phone, electronic means, e-mail, text messaging, chat room,
4 social media or any other form of communication. This applies
5 to communicating with your family members, your employer, the
6 media or press and the people involved in trial.

7 If you are asked or approached in any way about your jury
8 service or anything else about this case, you must respond that
9 you have been ordered not to discuss the matter and report that
10 contact to me or Kristen.

11 Do not read, watch or listen to any news media accounts or
12 commentary about the case or anything to do with it. Do not do
13 any research such as consulting dictionaries, searching the
14 internet or using other reference materials, and do not
15 investigate or in any other way try to learn about the case on
16 your own.

17 Do not visit or view any place discussed in the case, and
18 do not use internet programs or other devices to search for or
19 view any place discussed during the trial.

20 Also don't do any research about the case, the law, the
21 people involved, including the parties, the witnesses and the
22 lawyers until you have been excused as jurors.

23 If you happen to read or hear anything touching on this
24 case in the media, turn away and report it to me as soon as
25 possible.

JURY INSTRUCTIONS

1 These rules protect each party's right to have the case
2 decided based only on the evidence that has been presented here
3 in court. Witnesses here in court take an oath to tell the
4 truth and the accuracy of their testimony is tested through the
5 trial process.

6 If you do any research or investigation outside the
7 courtroom or gain any information through improper
8 communications, then your verdict may be influenced by
9 inaccurate, incomplete or misleading testimony that has not
10 been -- misleading information, excuse me, that has not been
11 tested by the trial process.

12 Each of the parties is entitled to a fair trial by an
13 impartial jury. If you decide the case based on information
14 presented -- not presented in court, you will have denied the
15 parties a fair trial. Remember that you have taken an oath to
16 follow the rules, and it is very important that you follow
17 these rules.

18 A juror who violates these restrictions jeopardizes the
19 fairness of these proceedings, and a mistrial could result that
20 would require the entire trial process to start over. So if
21 any juror is exposed to any outside information, please notify
22 us immediately.

23 You will not have a transcript of the trial in the jury
24 room. If your -- if, during your deliberations, you determine
25 that you want to review the testimony of a witness again, you

JURY INSTRUCTIONS

1 can request to have that witness' testimony or a portion of
2 that witness' testimony read back to you in the courtroom with
3 all of us present. It is up to me whether to permit a
4 read-back, and I may require that more of the witness'
5 testimony be read back into the record rather than just the
6 portion that you requested.

7 Also, a read-back could contain errors. The read-back
8 will not reflect the witness' demeanor, tone of voice or other
9 aspects of the live testimony. The way you remember and
10 understand the live testimony controls. Finally, in your
11 exercise of judgment, the testimony read back cannot be
12 considered in isolation but it must be considered in the
13 context of all the evidence presented.

14 If it becomes necessary during your deliberations to
15 communicate with me, you may send a note through the courtroom
16 deputy signed by any one of you or more than one of you. No
17 member of the jury should ever attempt to communicate with me
18 except by a signed note. I will not communicate with any
19 member of the jury on anything concerning the case except in
20 writing or here in open court.

21 If you send out a question, I will consult with the
22 lawyers before answering it, which may take some time. You may
23 continue your deliberations while waiting for the answer to my
24 question.

25 Remember that you are not to tell anyone, including me or

JURY INSTRUCTIONS

1 the courtroom deputy, how the jury stands, whether in terms of
2 vote count or otherwise until after you have reached a
3 unanimous verdict or been discharged.

4 A verdict form has been prepared for you. After you
5 reach -- have reached a unanimous agreement on the verdict,
6 your presiding juror should complete your verdict form
7 according to your deliberations, sign and date it and advise
8 the courtroom deputy that you are ready to return to the
9 courtroom.

10 Those are the written instructions that you will have with
11 you back in the jury room. You will each have a copy set. And
12 for now I will turn it over to Ms. Wagstaff.

13 By the way, in terms of scheduling, Ms. Wagstaff will give
14 her initial closing argument before lunch. Then we will take a
15 lunch break. Mr. Stekloff will give his closing argument, and
16 then Ms. Wagstaff will have a brief period for rebuttal, and
17 then you will be sent to the jury room to begin your
18 deliberations.

19 **THE CLERK:** Ms. Wagstaff, one moment.

20 (Whereupon, a brief pause was had.)

21 **THE COURT:** My understanding is there are a few people
22 who tried to get in the courtroom and couldn't find seats. So
23 what I would like is if any lawyers involved in the case --
24 don't care what side you are on -- I need, like, four or five
25 volunteers to come sit up over there so we have room for

CLOSING ARGUMENT / WAGSTAFF

1 members of the public.

2 So why don't you pick two or three lawyers from each side
3 to go sit back there.

4 **MS. WAGSTAFF:** We can have some people sit at our back
5 table as well.

6 **THE COURT:** Okay.

7 Mr. Hardeman, if you can move your chair so they can come
8 through.

9 Okay. Plaintiff's side, Ms. Moore, pick a couple lawyers.

10 **MS. MOORE:** I think they are moving down. I think
11 there is enough seats.

12 **THE COURT:** Okay. But I want them up there in case
13 they need extra room.

14 **MS. MOORE:** Okay.

15 **MS. WAGSTAFF:** Lawyers, go up there.

16 **THE COURT:** Marty, you can flag me down if people are
17 being denied access. Feel free to flag Kristen and I down, and
18 we will do something about it.

19 Okay. There are also a couple seats behind Ms. Moore at
20 that table, maybe a couple people associated with the
21 Plaintiffs can go up to that table, please.

22 You can sit up there or you can sit at that table, either
23 way.

24 Okay. Go ahead.

25 \\\

CLOSING ARGUMENT / WAGSTAFF

CLOSING ARGUMENT

1
2 **MS. WAGSTAFF:** Good morning.

3 So what a long couple of weeks this has been. It has been
4 difficult for the lawyers, so I can only imagine how hard it
5 has been for you guys; and we thank you very much for your
6 attention and your time. I have watched you throughout the
7 weeks, and I know you guys have been paying close attention.
8 And I thank you on behalf of Mr. Hardeman, who asked me this
9 morning to thank you; and I thank you on behalf of my
10 co-counsel, Ms. Moore, and actually all of the lawyers in this
11 courtroom, no matter what side you are on. Thank you very much
12 for your time and attention here.

13 So you are going to be asked one question in this
14 Phase One. And the judge just read to you your instructions,
15 and they are very important. Everything he said is very
16 important.

17 And the most important thing is the verdict form, which is
18 on the very back page that you will get. And the verdict form
19 has one question, okay. The verdict form says: Did
20 Mr. Hardeman prove by a preponderance of the evidence that his
21 exposure to Roundup was a substantial factor in causing his
22 non-Hodgkin's lymphoma?

23 This is the actual verdict form you are going to get;
24 although, it won't be highlighted.

25 We think the answer is yes.

CLOSING ARGUMENT / WAGSTAFF

1 Let me walk you through a little bit of the jury
2 instructions that the judge just read to you. You are going to
3 have a packet, like I just said.

4 Number 9 is the most important jury instruction for this
5 case. Number 9 sets forth this standard of some important
6 terms that you need to know that aren't common sense terms. So
7 it says -- and these are quoting from Number 9 -- to prevail on
8 the question of medical causation, Mr. Hardeman must prove by a
9 preponderance of the evidence that Roundup is a substantial
10 factor in causing his non-Hodgkin's lymphoma. It is really
11 important that you-all remember it is by a preponderance of the
12 evidence, and it is a substantial factor.

13 Preponderance of the evidence. This isn't like those
14 shows you see on TV where you have to find -- you have to be
15 convinced beyond a reasonable doubt. This is a preponderance
16 of the evidence. If you are sure 50.01 percent, that is a
17 preponderance of the evidence. We always say that if you have
18 a complete weighing of the scales; and you just believe that by
19 putting a feather on it, that is preponderance of the evidence.
20 That's the burden that Mr. Hardeman has to prove to you today;
21 preponderance of the evidence.

22 Next I want to explain to you substantial factor. This is
23 again -- this is directly coming from -- these are words taken
24 directly from Jury Instruction Number 9: A substantial factor
25 is a factor that a reasonable person would consider to have

CLOSING ARGUMENT / WAGSTAFF

1 contributed to the harm.

2 I tell you the evidence shows that Mr. Hardeman's exposure
3 to Roundup contributed to his non-Hodgkin's lymphoma. It must
4 be more than a remote or trivial factor. His exposure was a
5 real factor. It does not have to be the only cause of the
6 harm.

7 This is in the jury instruction, so you have heard a lot
8 of testimony from a lot of different witnesses about his hep C
9 or his hep B. Just remember, it does not have to be the only
10 cause of his harm.

11 Subject to the additional instructions below, conduct is
12 not a substantial factor in causing harm if the same harm would
13 have occurred without that conduct. It is straight from the
14 jury instruction. I want you to remember, subject to the
15 additional instructions below.

16 So what are the additional instructions below? The first
17 paragraph just says that it is an additional instruction. If
18 you conclude that Mr. Hardeman has proven that his exposure to
19 Roundup was sufficient on its own to cause his NHL -- this goes
20 back to the preponderance of the evidence I was just telling
21 you about -- then you must find for Mr. Hardeman, even if --
22 even if you believe that other factors were also sufficient on
23 their own to cause his NHL.

24 So what does that mean? That means that if we -- if you
25 believe that by a preponderance of the evidence that Roundup

SIDEBAR

1 caused his NHL, you can also believe that hep C played a role;
2 and you still have to find for Mr. Hardeman.

3 On the other hand, if you conclude that Mr. Hardeman has
4 not proven his exposure to Roundup was sufficient on its own to
5 cause his NHL, then you must find for Monsanto. So if we
6 didn't --

7 MR. STEKLOFF: Your Honor, I --

8 THE COURT: Yes, please. Yes, we can have a sidebar.

9 MS. WAGSTAFF: I was just reading the --

10 (The following proceedings were heard at the sidebar:)

11 [REDACTED]

12 [REDACTED]

13 [REDACTED]

14 [REDACTED]

15 [REDACTED]

16 [REDACTED]

17 [REDACTED]

18 [REDACTED]

19 [REDACTED]

20 [REDACTED]

21 [REDACTED]

22 [REDACTED]

23 [REDACTED]

24 [REDACTED]

25 [REDACTED]

CLOSING ARGUMENT / WAGSTAFF

1 [REDACTED]
2 [REDACTED]
3 [REDACTED]
4 [REDACTED] [REDACTED]
5 [REDACTED] [REDACTED]
6 [REDACTED]
7 [REDACTED] [REDACTED]

8 CLOSING ARGUMENT

9 (Proceedings were heard in the presence of the jury:)

10 **THE COURT:** Ladies and gentlemen of the jury, I will
11 just remind you, the argument from the lawyers is not evidence.
12 I'm going to instruct you, however, to disregard the last two
13 sentences.

14 And I will let you know that we have spent a lot of time
15 with both lawyers laying clear ground rules for the arguments
16 that they can make and -- the arguments that they can make in
17 the effort -- the point is to try not to interrupt the
18 arguments while they are going and to establish the ground
19 rules in advance. We have spent a lot of time doing that, and
20 both lawyers have been instructed very clearly about what they
21 can argue and what they can't argue. So I apologize in advance
22 if there needs to be further interruptions.

23 **MS. WAGSTAFF:** So going back to my slide, if you
24 conclude that Mr. Hardeman has proven that his causative
25 exposure to Roundup was sufficient on its own to cause his

CLOSING ARGUMENT / WAGSTAFF

1 non-Hodgkin's lymphoma -- that is the preponderance of the
2 evidence that I was telling you about -- then you must find for
3 Mr. Hardeman, even if you believe that other factors were also
4 sufficient on their own to cause his NHL.

5 On the other hand, if you conclude that Mr. Hardeman has
6 not proven that his exposure to Roundup was sufficient on its
7 own to cause his NHL, then you find for Monsanto.

8 Now, this is a slide I showed you-all in the opening. And
9 I wanted to give you pieces of the puzzle, and we wanted to
10 present the entire set of evidence to you guys.

11 And before I move on from the jury instruction, let me say
12 this: We need all six votes. Mr. Hardeman needs all of your
13 votes. So I'm going to spend the next hour giving you
14 information to help you come to the conclusion that
15 Mr. Hardeman has met his burden. And if there are people in
16 there that need reminders of this, please take good notes and
17 help fight for Mr. Hardeman back there.

18 The whole picture. The first one was: What is Roundup.
19 You remember during my opening statement I asked you these
20 three questions. This is actually from my opening statement.
21 What is Roundup? Roundup, if you will remember, is glyphosate,
22 surfactants and some other ingredients. Remember I told you
23 that glyphosate and Roundup are not the same thing.

24 You heard testimony from Dr. Weisenburger when he was
25 discussing his study where he said, So in this study, Roundup

CLOSING ARGUMENT / WAGSTAFF

1 is actually 100 times more toxic than glyphosate. That is an
2 important fact to remember.

3 Those are two important pieces to take with you back to
4 deliberation.

5 Different witnesses came in and you heard from different
6 witnesses. From Monsanto you heard from three witnesses. And
7 I actually wrote this down. You heard from Dr. Mucci. You
8 heard from Dr. Levine. And you heard from Dr. Arber.

9 Dr. Mucci testified that she had never viewed or studied
10 Roundup or glyphosate prior to Monsanto calling her.
11 Dr. Levine testified that this wasn't her specialty. She
12 didn't even know if Roundup was a pesticide. Dr. Arber
13 testified that he did not consider himself an expert as to
14 whether or not glyphosate can cause NHL. Those are the three
15 experts that Monsanto brought to you.

16 You will remember yesterday -- so we get transcripts at
17 the end of every day of everything that was said in court. So
18 when I have some of these on, these are actual quotes from
19 testimony yesterday -- so what Dr. Levine said yesterday when
20 Ms. Moore was asking her questions: If the jury finds that
21 Roundup is a risk factor for non-Hodgkin's lymphoma, your list
22 of risk factors would be incomplete. Because you remember she
23 didn't include Roundup on her risk factors.

24 Her answer: If the jury said the Roundup was the cause
25 and my list did not have it, then my list would be incomplete.

CLOSING ARGUMENT / WAGSTAFF

1 As it related to what the jury said, yes.

2 So let's see if her list is incomplete.

3 Who came to testify? You will remember, it seems like a
4 long time ago, but we brought in Dr. Ritz. We brought in
5 Dr. Portier from Australia and we brought in Dr. Weisenburger.
6 This is as to general causation, which is a legal term that
7 probably hopefully you don't ever have to worry about what that
8 means, but it is whether Roundup can cause cancer, which is a
9 different question than whether or not Roundup caused
10 Mr. Hardeman's cancer. All right?

11 So the only person they brought in to testify whether or
12 not Roundup can cause cancer was Dr. Mucci; whereas, Plaintiffs
13 brought in Dr. Ritz, Dr. Portier and Dr. Weisenburger.

14 So if you will remember Dr. Ritz, she was our first
15 witness. She is an expert in environmental epidemiology. In
16 fact, she is the president of the International Society for
17 Environmental Epidemiology. And she testified that experts in
18 environmental epidemiology are trying to figure out what
19 exposures are. She said they are trying to figure out how
20 large they are, how they can measure them, and how you can
21 measure them over a large period of time. Dr. Ritz has spent
22 her life's work considering exposures. She was appointed by
23 the California governor to serve on the California Air
24 Resources Board.

25 Next, we brought you Dr. Portier. He was the man who

CLOSING ARGUMENT / WAGSTAFF

1 testified from the big TV sitting right there. He was supposed
2 to come live, and then we had to fly someone to Australia at
3 the last minute for health reasons. He was the former director
4 of the National Toxicology Program. He was the former
5 associate director of the National Institute of Health. He is
6 very qualified.

7 Next we brought you Dr. Weisenburger. And
8 Dr. Weisenburger actually gave two opinions. Dr. Weisenburger
9 gave an opinion can exposure to Roundup cause non-Hodgkin's
10 lymphoma, and he gave the opinion did it cause Mr. Hardeman's
11 non-Hodgkin's lymphoma.

12 So he is sort of a crossover witness. And
13 Dr. Weisenburger, you heard testify, that he spent 40 years
14 looking at causes of cancer. And, in fact, you have probably
15 heard more about the epidemiology cases than you ever hoped to
16 hear in your life, but he was the author of two of them.

17 So we went out and found the authors of people who are
18 actually working in this field, publishing literature in this
19 case about whether or not Roundup and glyphosate causes NHL.

20 Monsanto brought you one expert. This is straight from
21 her testimony.

22 Until Monsanto calls you and asks you to serve as an
23 expert witness for the company, you had never researched
24 glyphosate right?

25 No, I had not.

CLOSING ARGUMENT / WAGSTAFF

1 Until Monsanto called you to serve as an expert witness,
2 you had never researched Roundup, right?

3 That's correct.

4 And, in fact, until that phone call, you had never
5 investigated any pesticide, correct?

6 I had not done my own research on pesticides.

7 So we have told you -- and you have heard a lot -- about
8 the three pillars of cancer science. There is mechanistic
9 data. There is animal data. And there is epidemiology. And
10 we think that you need all three of those pillars to see the
11 whole picture.

12 So you have the animal data. You have the mechanistic
13 data, and the epidemiology of looking at people who actually
14 use the chemicals in the real world. And you need all three of
15 those to understand the actual causation.

16 So what did each of the experts consider? Plaintiff's
17 experts considered all three. You heard testimony from
18 Dr. Ritz, Dr. Portier, and Dr. Weisenburger. All three of them
19 read the animal studies. All three of them read the
20 mechanistic studies. And all three of them read the
21 epidemiological studies. And their opinions -- their opinion
22 that exposure to Roundup can cause cancer at real-world
23 exposures is based on analysis of all three of those bodies of
24 science.

25

CLOSING ARGUMENT \ WAGSTAFF

1 Meanwhile, Dr. Mucci, who never studied pesticides
2 before, looked only at the epidemiological data. Monsanto is
3 asking you to ignore the animal data, to ignore the mechanistic
4 data.

5 And, in fact, Dr. Levine testified yesterday -- or maybe
6 Friday, I can't remember -- that's improper. Their own expert
7 said (reading):

8 "When you're practicing as an oncologist, do you
9 agree that when you're trying to determine the cause of
10 cancer, it would be important for you to consider all of
11 the data before reaching any conclusion?

12 "Without any question at all," she said.

13 "And would you agree that it would be improper to
14 ignore data when you're trying to determine the cause of
15 someone's cancer?

16 "I agree."

17 But Dr. Mucci ignored the animal data and the mechanistic
18 data.

19 Now, she had a book -- you recall we saw this book --
20 Dr. Mucci had written a book on cancer epidemiology, and in
21 this book -- I flagged it -- there was something called the
22 Bradford-Hill criteria. You can kind of see it on the screen,
23 maybe it's a little small, but it says "The Hill criteria for
24 inferring causation." This is a chart, you heard me ask
25 Dr. Mucci about it, that she put in her book to infer

CLOSING ARGUMENT \ WAGSTAFF

1 causation, and it lists a handful of factors. And it's been
2 referred to throughout the trial as the Bradford-Hill test.

3 Each one of our experts, each one of Mr. Hardeman's
4 experts did the Bradford-Hill. You saw Dr. Ritz actually did
5 it in front of you. She wrote her answers down on the chart.
6 So did Dr. Weisenburger, and Portier you saw it on video.

7 Despite putting in her book that this is a way to infer
8 causation and despite being hired to determine if something
9 caused cancer, Dr. Mucci didn't do one. She didn't bother to
10 do a Bradford-Hill test.

11 Now, what does the evidence say? When you look at all
12 three pillars, the evidence is overwhelming that there is a
13 dose-response. So what does that mean? It means the risk of
14 getting non-Hodgkin's lymphoma increases the more you use
15 Roundup.

16 I think you heard Dr. Ritz refer to, in a different
17 setting, that the dose makes the poison, and that's true here.
18 The dose makes the poison. The more you use, the higher the
19 risk, and that's true across all three pillars.

20 So let's run really quickly through the animal data. What
21 did the animal data show? I summarized the key points from the
22 animal data. Dose-response, biological plausibility, and
23 malignant lymphomas.

24 All right. We had these two charts, and I was showing
25 these to somebody recently, and I don't know if I did a very

CLOSING ARGUMENT \ WAGSTAFF

1 good job of explaining what they were to you in opening.

2 That's good enough.

3 So, Your Honor, do you want me to --

4 **THE COURT:** No. You're fine.

5 **MS. WAGSTAFF:** Okay.

6 So I wanted to just show them to you again. They're
7 really close to you right now. Sorry about that.

8 But what these are is there's two types of animals; right?
9 There's rats and there's mice. And so what these are --
10 they're studied in the relevant studies. So what these are,
11 these are different studies.

12 So you know in epidemiology you've heard about De Roos and
13 Eriksson and NAPP and all those studies. These are all
14 studies. So Lankas 1981 is actually a study. Stout and
15 Ruecker 1990 is actually a study. Atkinson is a study, and so
16 on and so forth.

17 And these are the tumors that were found in that study.
18 Okay? And the color coding means that like, for example, this
19 thyroid -- I'll pick a different one -- this pancreatic inlet
20 cell tumors was found in both of these studies. Okay? So it
21 shows replication; right?

22 And so what's important when you're looking at animal
23 studies are the following things that are on your screen.
24 Dose-response. When people look to tell whether or not the
25 animal studies show a finding, they look at these things.

CLOSING ARGUMENT \ WAGSTAFF

1 Dose-response.

2 They look at rare tumors. You're going to hear that these
3 are rare tumors. These kidney carcinomas are adenomas. You've
4 heard from Dr. Portier those are rare tumors. So they were
5 found three times in three different mice studies and in one
6 rat study.

7 So you now have replication across species and you have a
8 rare tumor. And actually you can't tell, but this (indicating)
9 is gray and these three (indicating) are gray. It doesn't
10 really show, but that means it's a different strain of mice.
11 So these (indicating) are all one strain of mice and then this
12 one (indicating) is different. So you actually have it across
13 strains of mice and you have it across species.

14 Replication. You see replication all over the board. You
15 see replication here (indicating). And you heard
16 Dr. Weisenburger testify that this was actually the tumor that
17 Mr. Hardeman had, the malignant lymphoma. So you see it.

18 And this is a study that Monsanto conducted itself, and
19 we'll talk a little bit about the Knezevich and Hogan study,
20 but these are all the tumors found.

21 So I talked about those very rare tumors that were found.
22 This is actually another lymphoma that was found. So there's
23 actually a lymphoma found in every single mouse study.

24 This is evidence you heard from all of our experts, from
25 all of Mr. Hardeman's experts, that glyphosate causes lymphoma

CLOSING ARGUMENT \ WAGSTAFF

1 in mammals.

2 Now, to be fair, this is just glyphosate, but the reason
3 you heard from Dr. Portier that you do these tests is for
4 biological plausibility. You heard that you do these tests to
5 see is it possible biologically that they can happen in animals
6 and then it replicates over to humans. That's the purpose of
7 doing animal studies.

8 So then there was this one right here (indicating), this
9 Knezevich and Hogan study. And I talked to you a little bit
10 about that -- or you heard a little bit about that from
11 Monsanto's employee Dr. Reeves. It came by video. And I stood
12 up there and I told you that this is -- we're calling Monsanto
13 via testimony of Dr. Reeves.

14 And the Knezevich and Hogan study is an interesting study
15 that I just want to spend a few minutes talking about. I won't
16 spend very much time.

17 So in Knezevich and Hogan, you can see this was the very
18 first mouse study that really dealt with whether or not
19 glyphosate could cause non-Hodgkin's lymphoma -- or, I'm
20 sorry -- could cause tumors. Not non-Hodgkin's lymphoma. Can
21 cause tumors. Okay?

22 And so what happens -- and I've got a timeline on your
23 screen that you can follow along if you like -- in 1985 the EPA
24 reviewed the Knezevich and Hogan studies -- okay? -- and they
25 found that the results from this study suggested that they

CLOSING ARGUMENT \ WAGSTAFF

1 should define it as a Category C oncogene, which is a possible
2 carcinogen. This was back in 1985.

3 And so they determined that, and so what happened was --
4 they decided that on February 11th, 1985.

5 And, actually, these -- I probably should have told you
6 this earlier. When you go back to the jury room to deliberate,
7 I don't know if it's going to be white, but you're going to get
8 a binder of exhibits. Okay? And in the exhibits -- in this
9 book is going to be certain exhibits -- this is actually my
10 copy of it -- that the parties have admitted it into evidence.
11 You probably remember us saying "Put this in evidence." And so
12 some of these documents are going to be in evidence. Okay?

13 And so the documents on the screen that we're talking
14 about, this is actually Trial Exhibit Number 505, and this
15 shows you that on February 11th, 1985, that the EPA toxicology
16 branch personnel met to discuss the data on glyphosate. Again,
17 this is Exhibit 505. You'll have this back there with you.

18 And what they found is what I just told you. They found
19 in accordance with EPA-proposed guidelines, the panel has
20 classified glyphosate as a Category C oncogene.

21 And what they found was, they found -- remember you heard
22 testimony there's a control group, a low-dose group, a medium
23 group, and a high group. And so what they originally found
24 that turned it into a Category 3 oncogene was 0013, which means
25 no tumors in the control group, no tumors in the low-dose

CLOSING ARGUMENT \ WAGSTAFF

1 group, one in the medium group, and three in the high-dose
2 group. Dose-response, significant dose-response made the EPA
3 categorize it as a possible oncogene.

4 So Monsanto met with the EPA to see what they could do,
5 and this is Exhibit 506 in your book right here that I'm
6 telling you about right now. You can see the meeting was
7 relaxed, informal, and open. And the EPA was telling them
8 about their concerns of the significant rare tumors.

9 Remember I told you this was a rare tumor? EPA was
10 concerned just about finding one. At this point these hadn't
11 come in yet. It hadn't come in yet because it's '97, '97,
12 2001. This is a document from '85 so they were concerned with
13 just finding one of them.

14 And so FJ, who you'll remember from the testimony is
15 actually a Monsanto employee, said (reading):

16 "Short of finding a new study" -- "Short of a new
17 study or finding tumors in the control groups, what can we
18 do to get this thing off Category C?"

19 And you heard testimony from Mr. Reeves that a Category C
20 was not a good thing for Monsanto. It was not a good thing for
21 glyphosate. So short of a new study or finding tumors in the
22 control group, what could we do?

23 And this is the slide I just told you. So they said they
24 could find a tumor in the control group. You saw what
25 happened. I'd like to do that again. If you find a tumor in

CLOSING ARGUMENT \ WAGSTAFF

1 the control group and you take it from zero to one, you see
2 what happens to the line, which makes it no longer highly
3 significant. Just that one tumor changes so much.

4 So Monsanto hired Dr. Marvin Kuschner. And this is
5 Exhibit 508. And on April 3rd, 1985, they're writing internal
6 e-mails saying that Dr. Marvin Kuschner will review the kidney
7 sections and present his evaluation of them to the EPA in an
8 effort to persuade the agency that the observed tumors are not
9 related to glyphosate.

10 So on April 3rd they hired Dr. Kuschner to persuade the
11 agency. The problem is they didn't send the slides to
12 Dr. Kuschner -- and this is Exhibit 509 -- until that same day,
13 and Dr. Kuschner didn't receive the slides until 11 days later.

14 Well, don't you know, those predetermined results, he
15 found a magic tumor. A tumor magically appeared in the control
16 group. And he submitted his report finding the tumor to the
17 EPA. And this is Exhibit 514 that you're going to have back
18 there with you.

19 And the EPA is unpersuaded -- and I'll let you read this
20 when you're deliberating -- the EPA is unpersuaded. And the
21 EPA, in fact, convenes a panel, and what they decide is that
22 after consideration of the expert opinion of the SAP, which is
23 the Scientific Advisory Panel, and we considered all relevant
24 data for this compound in particular, the statistical
25 assessment provided by the SAP, the agency agrees that

CLOSING ARGUMENT \ WAGSTAFF

1 available data are not sufficient to adequately address the
2 question of whether the apparent effects noted in the mouse
3 study are biologically relevant.

4 So they asked Monsanto to redo the study. That study has
5 never been redone. And since that time, every mouse study
6 that's happened, every mouse study has found a tumor that
7 Mr. Hardeman has.

8 Again, coming over to the rat study just talking about how
9 rare they are, replication across species. You can see on my
10 slide you've got this is across species (indicating). We've
11 got across -- I'm sorry -- that's across strains. Again,
12 evidence that glyphosate causes tumors in rats.

13 So what does the animal data provide? It proves there's
14 dose-response, it proves biological plausibility, and it proves
15 that glyphosate causes Mr. Hardeman's cancer in animals.

16 And who did Monsanto bring to dispute the animal data?
17 Who did they bring? Who did they bring to say we were wrong?
18 No one. No one.

19 Monsanto's response to the animal data, not important, not
20 relevant. They'll tell you they were fed too much glyphosate.
21 They'll tell you all that -- they'll tell you all these other
22 reasons why you shouldn't consider it, but their position is
23 clear. They want you to ignore the animal data. Don't do it.

24 Coming back to here, dose makes the poison. Again,
25 looking now at the mechanistic data. You remember Dr. Portier

CLOSING ARGUMENT \ WAGSTAFF

1 on TV and Dr. Weisenburger on the stand talked a lot about the
2 mechanistic data. This is related to genotoxic, oxidative
3 stress. You remember those words.

4 What does the mechanistic data prove? What does it prove
5 that we showed you? It proves again there's a dose-response.
6 That is a theme throughout all of the pillars. The dose makes
7 the poison. Dose-response.

8 Mechanistic data showed you that Roundup and glyphosate
9 damage the cell, which leads to cancer. Roundup and glyphosate
10 damage the cell.

11 The mechanistic data shows you that it induces oxidative
12 stress. It causes oxidative stress, which also leads to
13 cancer.

14 The evidence shows you it's genotoxic and it's oxidative
15 stress, and the more you get it, the more likely that will
16 happen.

17 We looked at data in vivo and in vitro. Dr. Portier
18 walked you through these slides, which I won't walk you
19 through, but he told you how a normal cell gets to cancer and
20 the mechanism by which that happens; and he explained the DNA
21 damage and how -- the cellular replication without DNA repair
22 and how it finally eventually leads to uncontrolled growth in
23 mutated cells. And then he showed you all the different times
24 when a chemical could damage during that process.

25 And Dr. Portier walked you through some of these problems

CLOSING ARGUMENT \ WAGSTAFF

1 that can happen with the mechanistic data. He told you about a
2 single-strand break, and he showed you this graphic, and talked
3 to you about a double-strand break and a mismatch. And I
4 remember that testimony very clearly, and he talked about a
5 hundred different studies that relate to the mechanistic data.
6 A hundred different studies he said, both with Roundup and with
7 glyphosate, both in humans and in petri dish, both in mammals
8 and in nonmammals.

9 Remember the study about Paz-y-Miño when they had the
10 plane and they were, like, flying over and they were spraying
11 Roundup to kill coca plants, to kill cocaine plants? I think
12 it was in a South American country. And they looked at the
13 blood of the villagers and they compared it to the blood of the
14 nearby villagers, and they realized there's significant damage
15 to the people exposed to Roundup. Do you remember that study?
16 The villagers that were exposed to the formulation had
17 significant genetic damage in their blood.

18 Then you remember the Bolognesi study? This took blood of
19 people before and after they sprayed. So this was when they
20 actually knew, "Okay, we're going to spray on this particular
21 date, so let's get ahead of the game and let's take their blood
22 before spraying and then let's take their blood after." So
23 it's actually the same group of people; whereas, the previous
24 study, they looked at the people who got sprayed versus a
25 community down the way. This actually looked at the same group

CLOSING ARGUMENT \ WAGSTAFF

1 of people. The results? Exposure to glyphosate formulations
2 cause genetic damage. Cause genetic damage.

3 And you remember that Dr. Portier walked you through these
4 charts. This is a clean chart. It's a very pretty chart. And
5 then here's the one that he wrote on. But you see all of the
6 pluses are the studies that show genetic damage in vitro. And
7 he put an "L," if you'll remember, for lymphocytes. The little
8 "L" on the left shows that it causes it in human lymphocytes.
9 Positive. Positive. Positive. Positive. Positive. There's
10 not one study in there that's totally negative.

11 And we said, Okay. That's fair. Let's look at recent
12 genotox data. Let's look what's happened in the last three
13 years -- two years. All right? So then he looked at what's
14 happened recently. Again, positive. Positive. Positive.
15 Positive. Positive. Almost all positive.

16 It happens in the blood. It happens in the blood. It
17 happens in the lymphocytes. This is what happens when people
18 are exposed to glyphosate.

19 And the conclusion Dr. Portier told you is that glyphosate
20 and Roundup cause genetic damage in human lymphocytes. The
21 data is right there.

22 And if you weren't convinced, then you looked at oxidative
23 stress, and this is what he told you about oxidative stress.
24 Positive. Positive. Positive. Positive. And, again, these
25 were testing glyphosate and the formulation; right? The "ND"

CLOSING ARGUMENT \ WAGSTAFF

1 means that they only tested one or the other, but a lot of
2 these tests were looking at both.

3 And you can look at Chaufan as an example. Negative in
4 glyphosate but positive in the formulation. Everything else is
5 positive. Genetic damage from exposure to Roundup -- or to
6 glyphosate in the formulation.

7 So, again, the mechanistic data shows us the more you get,
8 the more dangerous it is, and it is genotoxic and that it
9 causes oxidative stress. And who did Monsanto bring to dispute
10 the mechanistic data? Nobody. Nobody. They didn't bring
11 anyone to tell you that that's not true.

12 Now, why? Why do you think that is? And I'll leave that
13 for you to decide. But, remember, that they hired someone,
14 Monsanto hired someone in 1999 to study this exact thing, to
15 analyze the data on genetic toxicology related to glyphosate
16 and glyphosate formulations. They hired someone. They knew
17 this was an important thing to consider so they hired someone
18 to look at it, and he published a report and he gave it to
19 Monsanto.

20 And you heard Dr. Portier testify about that because
21 unfortunately Dr. Parry is no longer with us, so you heard
22 Dr. Portier testify about that. And what Dr. Parry told
23 Monsanto in 1999 is that there is a strong evidence that
24 glyphosate may be genotoxic. 1999.

25 And then you heard Dr. Portier say, we asked him, we said

CLOSING ARGUMENT \ WAGSTAFF

1 (reading):

2 "Since 1999 and after Dr. Parry made those
3 recommendations, what has the data shown about the
4 genotoxic effects or potentials of glyphosate?"

5 Dr. Portier said it has strengthened since the time he
6 looked at this. And you saw that. You just saw the recent
7 genotox data that has come out since Dr. Parry told him that.

8 So I ask: Why didn't Monsanto bring someone to refute the
9 genotox data?

10 Monsanto's response? Ignore. Not important. Don't
11 consider it. You've got to wonder why did they hire someone in
12 1999 to study this if it's now not important.

13 The mechanistic data, the next piece. Again, in
14 epidemiology it's a theme here. We're also seeing that the
15 more you use it, there's a dose-response. We see a statistical
16 significance. You guys heard a lot about the phrase of
17 statistical significance and what is statistically significant,
18 and what's not statistically significant, you know, and what is
19 doubling the risk and what's not doubling, and what was
20 adjusted and what's not adjusted.

21 But the point is that almost all of the epidemiology shows
22 an elevated risk. Some is statistically significant, some is
23 not. We can argue why that is. We'd probably have differing
24 opinions with Monsanto that we'll never reconcile, but the
25 point is almost all of them show an elevated risk except for

CLOSING ARGUMENT \ WAGSTAFF

1 one, the Agricultural Health Study. And guess which one they
2 have hinged their defense on? The AHS.

3 And the AHS actually shows a protective effect. So if you
4 believe the results of the AHS, if you believe that's a good
5 study, then you have to believe that Roundup protects us, that
6 being exposed to Roundup is a protective effect. Dr. Ritz
7 testified about that. And also the cancer is always
8 non-Hodgkin's lymphoma. The epidemiology shows you that.

9 So I'd like to take just one moment if we could talk about
10 Dr. Ritz's chart. So I'd like to bring you back. This was the
11 first day. So this was about two weeks ago, a little over two
12 weeks ago.

13 Dr. Ritz and I walked through this chart. I hope the
14 memories aren't too painful, but we walked through each one and
15 we talked about every single case. We gave a little
16 description about every single case, and we talked about
17 whether or not something was adjusted, whether or not something
18 was statistically significant, whether or not something showed
19 a dose-response or not.

20 So the important thing is that we have these dose-response
21 cases. We have all of this data. Okay? And as you see, every
22 single one, every single number is over a 1. This is the one
23 that shows no effect. Remember? This is the one the people
24 were in the hospital, and I think both sides sort of agree that
25 this is not a great study.

CLOSING ARGUMENT \ WAGSTAFF

1 1.22, .8, 1.98. These right here (indicating) show
2 protective. And then you get down here, .7, .9, .6, .8, .83,
3 .83, .88, .87, protective effect.

4 You have the North American Pooled Project. We brought
5 you the author of the North American Pooled Project. He
6 testified dose-response. He testified that it was adjusted.
7 He testified that it was statistically significant.

8 We brought you the author, the same guy, Dr. Weisenburger,
9 we brought you the author of this study (indicating).
10 Dr. Weisenburger pooled analysis 2.1, 1.6, statistically
11 significant, adjusted for 47 pesticides. That's who we brought
12 you.

13 We showed you these charts that you'll show on your
14 screen. I'm not going to pull them up for you, but we analyzed
15 the data for you in a different way. We analyzed the data for
16 you so that you could see all of the dots on that blue -- the
17 vertical blue line is 1, and so you could see all of the dots.
18 If it's to the right of the line, it shows an elevated risk.
19 All of the dots show an elevated risk, almost. Those are the
20 dose-response ones (indicating).

21 And then we showed you McDuffie with dose-response right
22 here (indicating). I think you heard all of our experts
23 testify that they studied a dose-response in this case, which
24 was a Canadian case, and the authors split people up. Because,
25 remember, you have the never/ever cases. A lot of these case

CLOSING ARGUMENT \ WAGSTAFF

1 controls say "Have you ever used glyphosate?" You say, "Yeah."
2 So they say, "Okay. Go over here." And they don't ask you how
3 often you've done it; right? So that's called never/ever.

4 But a couple of these, a handful of these actually looked
5 at dose-response. They made an attempt to say, "Okay. Let's
6 split it up. The people who actually use it more go this way
7 and the people who actually use it less go here," and they made
8 categories based on your dose.

9 And so in McDuffie what they did is they also did a
10 dose-response, and they put the higher category people in one
11 group and the lower user -- the lower -- the people that used
12 it less in a different group. And what they found when they
13 did that was a doubling of the risk that was statistically
14 significant. So you've got here a dose-response, doubling of
15 the risk, statistically significant. That's one time that
16 happens.

17 Another time it happens is when you come down here to
18 Eriksson. All right? And we walked through all this with you
19 as well. They also attempted to say, "Okay. Let's not --
20 let's go beyond the analysis of did you use it or did you not
21 use it. Let's actually say, okay, let's try to make some sort
22 of stratification and put the higher user people in one group
23 and the lower user people in one group."

24 And so what they did is they did the same thing and, lo
25 and behold, they also found a 2.36, which is a 236 percent

CLOSING ARGUMENT \ WAGSTAFF

1 increased risk, statistically significant dose-response. So
2 the two studies that looked at it, they found that the higher
3 group users had a statistically significant dose-response.

4 They also found -- this study had a unique aspect to it,
5 and Dr. Ritz talked about it. It was the latency aspect of it.
6 And so what Dr. Ritz said is that if it's been 10 years at
7 least since you used Roundup, so sort of had time to work on
8 your body, if it's been at least 10 years and you fit into the
9 exposure categories of what this study was analyzing, you have
10 a 2.26 odds ratio, a 226 percent chance of increased risk if
11 you use it 10-plus years. It's statistically significant. So
12 this Eriksson study actually gives you a lot of information.
13 So we've got two dose-responses.

14 And then the third one to look at dose-response was the
15 North American Pooled Project, and they also found that. They
16 also did the higher dose and the lower dose, and they found a
17 1.98 statistically significant adjusted. Up here (indicating)
18 are the low. This is sort of written differently because I was
19 running out of room at the time, but this is the higher dose
20 group down here (indicating).

21 So what the North American Pooled Project did, they found
22 for DLBCL, which you've heard is the type of cancer
23 Mr. Hardeman had, this study found a 2.49 risk, so almost a two
24 and a half risk increase, statistically significant if you're
25 in the higher dose group.

CLOSING ARGUMENT \ WAGSTAFF

1 For general non-Hodgkin's lymphoma, they found a 1.98,
2 which is almost a double -- almost a doubling of the risk,
3 statistically significant.

4 And these are adjusted. You're going to hear a lot about,
5 "Well, it's adjusted. It's not adjusted." These are adjusted.
6 That's the information we showed you.

7 And then last month the Zhang article came out, and the
8 Zhang article was a meta-analysis. So you heard a little bit
9 about that, and what a meta-analysis does is they take a
10 handful of these and they put them together and they analyze
11 the results from that.

12 So just last month, I think it was February 5th, I might
13 be wrong on the day, but I think it was February 5th, 2019, the
14 Zhang article came out. And I'll tell you -- and they found a
15 compelling link. The Zhang article, actually the authors there
16 looked at all three pillars, and I'll show you in a minute what
17 they actually looked at; but they put in their conclusion that
18 the Zhang scientists looked at the mechanistic data, they
19 looked at the animal data, and they compared it to this data,
20 and what they found was a compelling link. Again, talking not
21 about dose but another study, the doubling of the risk.

22 So here's actually the conclusion from the Zhang authors
23 because I want to make sure I say it right to you-all. This
24 was taken from their study (reading):

25 "Overall, in accordance with evidence from

CLOSING ARGUMENT \ WAGSTAFF

1 experimental animal and mechanistic studies" -- right?
2 Animals and mechanistic -- "our current meta-analysis of
3 human epidemiological studies suggests a compelling link
4 between exposure to glyphosate-based herbicides" -- which
5 Roundup is a glyphosate-based herbicide -- "and increased
6 risk for NHL."

7 That's just last month.

8 Monsanto's response to the epidemiological data (reading):

9 "Find a way to ignore all the data except for the
10 AHS."

11 So how did they do that? They brought in Dr. Mucci. And
12 I've already told you a little bit about her experience with
13 pesticides prior to coming here to testify to you guys.

14 Ms. Melen, could I have the Elmo, please?

15 And Dr. Mucci put up this chart. This is a chart she
16 chose to use in her direct examination, and this chart asks you
17 to ignore data unless it's properly adjusted for other
18 pesticides and statistically significant. Properly adjusted
19 for other pesticides and statistically significant.

20 So using her analysis, let's see, she wants you to ignore
21 all of this (indicating). She would like you to ignore that
22 (indicating). She's asking you to ignore that (indicating).
23 Ignore (indicating). Ignore (indicating). Ignore
24 (indicating). There's a lot here so I don't know if I have
25 enough "ignore" stickers.

CLOSING ARGUMENT \ WAGSTAFF

1 Well, she didn't really talk about the NAPP. She actually
2 didn't, to be fair, say that we should ignore that, but that's
3 what Dr. Mucci is asking you to do with this chart.

4 And then she talks a little bit about the De Roos 2003
5 paper, and she gets into a little bit about why you should
6 ignore this study. And if you remember, there was two sort
7 of -- her and I were going back and forth and battling a little
8 bit about, you know, one set of data -- if I pull these
9 stickers off for De Roos 2003, one set of data is 2.1, which if
10 you were to look at 2.1 with a statistically significant
11 adjusted, it should fit in her chart. If you were to look at
12 this number right here (indicating), it should fit in her
13 chart; but she's asking you to ignore that one because she says
14 that it's not fully adjusted, even though you heard from
15 Dr. Weisenburger, who was an expert witness in this case, that
16 that was a proper analysis.

17 And she's asking you to instead consider this number 1.6,
18 .9, 2.8. And the reason why is because this .9 means that this
19 much (indicating) makes it not statistically significant. So
20 these numbers fit her narrative (indicating). These numbers do
21 not (indicating). So she's asking you to ignore them.

22 Ms. Melen, I'm done with that.

23 And I ask you, do not ignore this data. This is important
24 data. And, in fact, Dr. Mucci testified to the importance of
25 looking across science and breaking down the silos was her word

CLOSING ARGUMENT \ WAGSTAFF

1 that she said. People are working across disciplines together.
2 But when she comes here and testifies in this court, she
3 doesn't consider all the data. She didn't consider the tumor
4 table. She didn't consider the mechanistic data, and she's
5 asking you to ignore all of this data despite saying over and
6 over again when I was asking her questions or when Monsanto's
7 lawyer was asking her questions that you can't ignore data, you
8 can't ignore data, you shouldn't ignore data, ignoring data is
9 bad.

10 And then comes the Agricultural Health Study. So the
11 Agricultural Health Study doesn't show an association between
12 non-Hodgkin's lymphoma and glyphosate. That is true. That is
13 what -- that is the results of the study, but it doesn't do
14 that because it can't. It is so flawed from its fiber, from
15 the fabric of the study it's so flawed that it is impossible
16 for the study to show any positive results. The exposure
17 misclassification is fatal on its face. It will never be able
18 to show an association between non-Hodgkin's lymphoma and
19 glyphosate.

20 You saw Dr. Ritz's AHS exposure problems. You saw -- I
21 typed them up there on the screen, I'm not really quite sure
22 why I did it because they're still written here, but she walked
23 you through all these problems.

24 You'll remember that people in North Carolina and Iowa
25 were coming in to get their applicator license, and they were

CLOSING ARGUMENT \ WAGSTAFF

1 taking a test and then they were asked to come over here and
2 fill out this questionnaire that will take about a half an
3 hour.

4 And you heard her talk about the initial baseline
5 problems, the exposure misclassification that's created by that
6 alone, the fact that people don't remember, the fact that
7 people on the spot cannot remember the exposure they had
8 decades past. They can't remember the exposure "Did I use
9 glyphosate? Or, wait, was that some other chemical? Or, wait,
10 did I use it two times a month or was it one time a month? Or,
11 wait, did I use it for six months? Or did I use it in 1975 or
12 was it 1978?" And they were asked on the spot right then and
13 there to fill it out. And she testified how that creates major
14 problems.

15 And, yes, a lot of studies are done by questionnaires, but
16 a lot of the questionnaires are sent to people at home and
17 they're given the option to fill them out or they're given the
18 opportunity to talk to other people or consult records. These
19 were people who were handed questionnaires and they had to fill
20 it out there right on the spot, and that creates an initial
21 baseline problem.

22 Next she talked about -- you remember the little graph we
23 had with the cell phone use and she talked about Farmer Ted?
24 She told you about the fact that the glyphosate -- so AHS
25 studied 50 pesticides. That's another problem with it that

CLOSING ARGUMENT \ WAGSTAFF

1 we'll talk about in a minute.

2 But with respect to glyphosate alone, because it's really
3 important that our experts all talked about the AHS and they
4 had general criticisms about the AHS, but then they also had
5 ones that were specific to glyphosate. Okay? So this one, in
6 the middle of the study glyphosate takes a spike; right? And
7 people who were classified before are always classified that
8 way throughout. There are other opportunities where they could
9 change their classification, but the reliability of those
10 methods Dr. Ritz told you just aren't really there; right?

11 And then when they finally did get ahold of other
12 people -- when they realized -- the investigators realized,
13 "Okay, we've got some problems with this exposure," when they
14 finally did get ahold of them, the only thing they said is,
15 "What have you been doing the last year? What have you been
16 doing the last year?" Even though they maybe didn't talk to
17 them for 10 years; right?

18 So what if they quit using glyphosate so they're -- in the
19 last year they said, "Oh, I haven't sprayed glyphosate -- I
20 haven't sprayed Roundup in the last year, but that's because I
21 sprayed it, you know, every single day for nine years, well,
22 they would be labeled as a nonuser because it's what did you do
23 the last year.

24 And Dr. Ritz testified how when you couple these on top of
25 each other, it just starts to be insurmountable. And then she

CLOSING ARGUMENT \ WAGSTAFF

1 talked about how 37 percent of the people just poof, they
2 couldn't find them. Lost them in the follow-up.

3 And so they made up this information, granted it was an
4 educated guess, but they made up this information and there
5 were problems with it. And it's this problem on top of this
6 problem on top of this problem. It just gets to be too much.
7 Maybe this study could have handled one of those problems, but
8 all of these problems they cannot handle.

9 And there were articles Dr. Ritz told you about where, you
10 know, they hired some people from Harvard to look at it in 2000
11 and those doctors found nondifferential exposure
12 misclassification will produce bias towards the null. The null
13 is that blue line.

14 So exposure misclassification will produce bias towards
15 the null. It doesn't say may. It doesn't say it might. It
16 says it will produce bias towards the null.

17 And then it talks about in that study (reading):

18 "Misclassification will reduce the power of the study
19 to detect any genuine cause-and-effect relationships and
20 will reduce the validity of the findings."

21 That was in 2000.

22 Now, remember, only two studies have come out from the AHS
23 related to glyphosate and Roundup. Remember, only two. You've
24 heard from lots of experts that this study has produced
25 hundreds, I think the number is 250, but only two relate to

CLOSING ARGUMENT \ WAGSTAFF

1 glyphosate and Roundup. That came out in 2005 and last year.
2 So this 2000 study was before any results were known about
3 glyphosate or Roundup.

4 We'll get to the Acquavella one in a minute, but then
5 another one came out in 2010 (reading):

6 "Exposure misclassification undoubtedly had an impact
7 on the AHS findings reported to date."

8 Undoubtedly had an impact, and that makes sense; right?
9 All those problems I talked to you about.

10 And then Blair in 2011, it says (reading):

11 "Pesticide misclassification may diminish risk
12 estimates to such an extent that no association is
13 obvious, which indicates false negative findings might be
14 common."

15 False negative findings might be common. That was in 2011
16 after these results came out.

17 And then we have the Sheppard group this year saying
18 something very similar.

19 And Monsanto knew this. Monsanto knew that the AHS had
20 serious problems.

21 You heard -- and this is Trial Exhibit 100. Where's the
22 black one? Trial Exhibit 100. I want you to read that one.
23 This is a letter -- or an e-mail from John Acquavella, and you
24 heard deposition testimony about this. John Acquavella wrote a
25 memo in 1997. He is Monsanto's epidemiologist. In fact, he's

CLOSING ARGUMENT \ WAGSTAFF

1 Monsanto's only epidemiologist they've ever hired. And he
2 said, and this is a quote (reading):

3 "The exposure assessment in the AHS will be," he
4 said, "inaccurate... Inaccurate exposure classifications
5 can produce spurious results... In a study of this
6 size" -- because remember, it's a big study -- "there will
7 some, perhaps many, spurious exposure-disease findings due
8 to exposure misclassification."

9 So, once again, in 1997, that's what they're saying.

10 He continues on to say (reading):

11 "Most of the diseases to be studied in the AHS have
12 scant reasoning to link them to pesticide exposure. Thus,
13 much of the research can be termed 'exploratory.' That's
14 not unusual in epidemiology, but it's unusual here because
15 it's so big."

16 This is 1997. Then he goes on to say (reading):

17 "Exploratory research yields uncertain findings" --
18 uncertain findings -- "at the very least cast doubt on the
19 safety of products."

20 So he's saying exploratory research could cast doubt on
21 the safety of Roundup. (reading)

22 "This energizes pesticide opponents, may cause the
23 public to dictate a market change, and typically makes the
24 manufacturer adopt a defensive stance."

25 That's Exhibit 100. Please read it.

CLOSING ARGUMENT \ WAGSTAFF

1 Next you have two years later Donna Farmer. This is in
2 1999, again before any results came out. She's talking about
3 the AHS. And this is Exhibit 454. You won't forget what this
4 one looks like. It's all black almost, but this is related to
5 the AHS. It doesn't -- we blacked out the part that says the
6 AHS, but this is related to the AHS, and you heard testimony
7 about it.

8 And what Donna Farmer says, who was a toxicologist at
9 Monsanto and still works there today, what she said 20 years
10 ago (reading):

11 "Groups have been highly critical of the study
12 calling it a flawed study" -- flawed study -- "In fact,
13 some have gone so far as to call it junk science. It is
14 small in scope" -- that's what they're saying in 1999 --
15 "and the retrospective questionnaire on pesticide usage
16 and self-reported diagnoses also from the questionnaire is
17 thought to be unreliable."

18 Unreliable. And this is what I'm just talking about right
19 here. This is what they're saying in 1999. They're agreeing
20 with me in 1999. (reading)

21 "The bottom line is scary. There will be
22 associations identified" -- that was the first one I
23 forgot to be on -- "There will be associations identified
24 between glyphosate use and some health effects just
25 because of the way the study is designed."

CLOSING ARGUMENT \ WAGSTAFF

1 So this is in 1999. So what's changed between 1999 and
2 today? Well, today Monsanto now knows the results of the AHS
3 data, today Mr. Hardeman has filed a lawsuit against Monsanto,
4 and today Monsanto's position on the reliability and accuracy
5 of the AHS is different.

6 In fact, you heard testimony that their litigation
7 position is now (reading):

8 "What's your understanding of your role?

9 "I'm here as a representative to represent Monsanto
10 and speak on their behalf."

11 We asked him his position on the AHS. Today, despite all
12 these problems, their litigation position is that the AHS is
13 the most comprehensive study and the only thing you should
14 consider.

15 And you heard something on the International Agency
16 Research On Cancer, and they are an arm of the World Health
17 Organization, and in 2015 they got together and they assessed
18 the carcinogenicity of certain pesticides and chemicals, and
19 what they found was glyphosate was a Class 2A probable human
20 carcinogen in 2015.

21 So what Monsanto wants you to do is they want you to
22 ignore all the animal data in total. They want you to ignore
23 all the mechanistic data in total. They want you to ignore all
24 of this up here (indicating), the case controls, and they want
25 you to ignore everything they said about the AHS prior to

CLOSING ARGUMENT \ WAGSTAFF

1 today.

2 And don't be mistaken. All of our experts considered the
3 AHS. You've heard them all talk about it. I think all the
4 people who came and testified have considered the AHS.

5 And so what's the result of ignoring data if you do
6 everything that Monsanto is asking you to do? You come in and
7 you say, "There's no evidence. There's no evidence that
8 glyphosate or glyphosate-based formulations cause cancer under
9 the conditions that people are exposed to."

10 This is a Monsanto spokesman whose deposition we took on
11 January 23rd, which is about a month and a half ago. We played
12 it for you. This is Monsanto's position. No evidence.

13 Dr. Mucci came in. No evidence of a causal relationship
14 between Roundup and NHL. No evidence.

15 The result of considering all of the data? That can be
16 shown by the folks who just looked at something a month ago.
17 When you look at the mechanistic data, the animal data, and the
18 epidemiological data, it's a compelling link.

19 And so what does the Epi provide? It shows us
20 dose-response, statistical significance, elevated risk, and
21 specificity.

22 And so those are our pieces of the puzzle that I want you
23 to take back with you when you deliberate on whether or not
24 exposure to Roundup can cause cancer. Each one is so
25 important. Do not ignore any of them and do not ignore the

CLOSING ARGUMENT \ WAGSTAFF

1 data that Monsanto is asking you to ignore.

2 So now let's talk a few minutes about whether exposure --
3 whether Mr. Hardeman's exposure was a substantial factor in
4 causing his non-Hodgkin's lymphoma. And I think you've heard
5 from several people today the fact that he has non-Hodgkin's
6 lymphoma is undisputed. The fact that he has DLBCL,
7 undisputed.

8 And so when you look at all of this, I think I've told you
9 the dose-response, so you need to -- because the dose-response
10 was so important -- can you hand me the --

11 So you saw Mr. Hardeman come in and testify. I've
12 actually never -- and he told you that he would go out there
13 and for 26 years, 26 years he would go out there and he would
14 spray and he would spray up, and he would walk down the street
15 and he would spray down. He lived on two different properties.
16 He would spray -- he testified that he would start in May, go
17 all the way through the summer. Sometimes he said -- that's
18 his actual quote there -- he would stop in November, three to
19 four hours spraying at a time, 56-acre property.

20 And he showed you how he did it. He showed you he would
21 fill this up. He told you how he bought concentrate because he
22 thought he could get more bang for his buck because he thought
23 he could spread it out more, and he would pour concentrate in
24 here, put water on top. Sometimes it would foam out --
25 remember that testimony? -- and get on his hands.

CLOSING ARGUMENT \ WAGSTAFF

1 He testified that when he would walk down the embankment,
2 he testified he could somehow feel it spraying on his face. He
3 testified that when he was behind his garage trying to get
4 things out, sometimes he was in precarious places and he had a
5 little hand squirter that he would feel getting all over his
6 skin. He has heavy, heavy exposure, 26 years of heavy
7 exposure.

8 And our expert, Mr. Hardeman's expert, Dr. Weisenburger,
9 did an interview with Mr. Hardeman; right? I mean, if you're
10 going to determine if something caused cancer, if you're going
11 to look at whether or not Roundup caused cancer, don't you want
12 to know how much Roundup they were exposed to?

13 So Dr. Weisenburger interviewed Mr. Hardeman, and he
14 concluded -- this is a direct quote -- he concluded that
15 (reading):

16 "By his calculation, he sprayed over 300 times and
17 used about almost 6,000 gallons of Roundup."

18 That's a lot of Roundup. Almost 6,000 gallons in 26
19 years.

20 These are pictures of his property you remember. These
21 are going to be back in evidence with you too. I won't tell
22 you the exhibit number because they're probably going to be
23 obvious when you look at them, but this was the water -- I
24 think that was what he testified to -- the water valve that he
25 would have to get down and twist so he would have to spray to

CLOSING ARGUMENT \ WAGSTAFF

1 get rid of the weeds.

2 And guess what? Monsanto's expert didn't even consider
3 how much he sprayed because they only considered a portion of
4 the epidemiological data. They viewed, well, not a risk factor
5 so we're not even going to bother to see if he sprayed.

6 In fact, Ms. Moore asked Ms. Levine -- or Dr. Levine -- so
7 Dr. Levine and Dr. Arber were the two experts Monsanto brought
8 to talk about whether or not exposure actually caused
9 Mr. Hardeman's cancer, and Ms. Moore asked Dr. Levine
10 yesterday, quote (reading):

11 "It doesn't matter if it's 1 day or 10 days and that
12 would be" --

13 I'm sorry. Ms. Moore said (reading):

14 "And your opinion is, as to what caused
15 Mr. Hardeman's non-Hodgkin's lymphoma, would be the same
16 whether Mr. Hardeman used Roundup for 1 day or 26 years;
17 correct."

18 And Dr. Levine (reading):

19 "Absolutely. I don't believe it caused lymphoma. It
20 doesn't matter if it's 1 day or 10 days."

21 And Ms. Moore said (reading):

22 "And the same would be true whether he used 1 gallon
23 of Roundup or almost 6,000? It wouldn't matter to you?

24 "It doesn't matter to me."

25 They didn't even consider how much he used when making

CLOSING ARGUMENT \ WAGSTAFF

1 these opinions.

2 Now, let's talk about his medical condition. You've heard
3 a lot about his hep C.

4 Can you put up the differential diagnosis?

5 So you've heard a lot about hep C and some things that you
6 need to remember. And this is Trial Exhibit 40 -- okay? --
7 Trial Exhibit 40 that you're going to have back there. And at
8 Kaiser where Mr. Hardeman was treated they have this really
9 cool feature where they let you communicate back and forth
10 almost in like an e-mail style with the doctors. And so you'll
11 see some communication back and forth between Mr. Hardeman and
12 his actual doctor.

13 And he was treated for hep C by a doctor named Susan M.
14 Ruffner-Statzer. So I just want to explain to you who that was
15 in case that wasn't clear. But Dr. Ruffner treated
16 Mr. Hardeman in 2006, and it's Dr. Ruffner's opinion -- or, I'm
17 sorry -- it's what Dr. Ruffner tells Mr. Hardeman on March 10th
18 of 2006, March 10th of 2006 (reading):

19 "If the virus stays undetectable after six months of
20 treatment" -- which would mean -- what's six months after
21 three? September of '06 -- "you are likely cured."

22 "Cured" in all caps. "We will continue to test your blood
23 for the return of virus, but 95 percent of the time it
24 stays gone. If it is still gone after five years, we will
25 call you cured."

CLOSING ARGUMENT \ WAGSTAFF

1 So if it's still gone in 2011, we will call you cured.
2 Well, it's still gone today. It's still gone today. It is
3 undisputed that he has not had one piece -- one test, one
4 anything to suggest that the blood -- the NHL has come back in
5 his blood. Nothing. Zero. Nothing. Sorry. That hep C has
6 come back in his blood, not the NHL.

7 And then you heard from his treating oncologists, and you
8 heard that he was diagnosed with Stage 3 aggressive cancer.
9 Aggressive cancer meaning that it doesn't just -- the cancer
10 doesn't just linger around for a while, it presents itself
11 pretty quickly. And he went through three rounds of chemo, and
12 that's important, and I'll tell you why in a minute.

13 When he showed up in 2005 or 2015 -- can I have the
14 differential chart, please?

15 So hep C affects the liver; right? That's one of the
16 things that it affects. And what's important to remember is
17 the timeline. All right? He gets exposed -- or he gets -- he
18 finds out in 2005 he has hepatitis C. All right? He
19 immediately starts treatment. He starts poking himself with a
20 shot and it's gone.

21 And I just showed you the letter from Dr. Ruffner where
22 she says it's likely cured, 95 percent cured; after five years,
23 it is cured. Okay?

24 Now, if you look down at your screen, this is Trial
25 Exhibit 45, and this is really important because this speaks

CLOSING ARGUMENT \ WAGSTAFF

1 volumes with these three little words. Trial Exhibit 45 says
2 "Liver reserve excellent."

3 Why is that important? That's important because when he
4 shows up to get non-Hodgkin's lymphoma treatment in 2015, his
5 liver reserve was excellent, which means that the hepatitis C
6 was not hiding down there causing damage to his liver, rolling
7 around, doing this hit-and-run thing that Dr. Levine was
8 talking about.

9 And so we brought in Dr. Weisenburger, who authored some
10 of those papers, who has been studying the effect of pesticide
11 exposure to non-Hodgkin's lymphoma since at least 2003. And I
12 know it takes a couple years to get a paper published, so
13 probably since, you know, 1999. And he's been discussing --
14 he's been researching causes of cancer for 40 years. (reading)

15 "Do you have any doubt as to your opinion that
16 Roundup was a substantial factor in causing Mr. Hardeman's
17 non-Hodgkin's lymphoma?"

18 "No," he said. "No," he said, "no doubt."

19 And so Monsanto brings in Dr. Levine, and remember
20 Dr. Levine did not consider Mr. Hardeman's exposure to Roundup.
21 It made no difference if it was 1 day or 26 years, no
22 difference if it was 1 gallon or 6,000 gallons.

23 Dr. Levine didn't look at the animal data. Dr. Levine
24 didn't look at the mechanistic data. She's not here to give an
25 opinion on any of that. She's not here to give an opinion on

CLOSING ARGUMENT \ WAGSTAFF

1 whether or not it was genotoxic.

2 She reviewed the epidemiology data, and because of her
3 review of the epidemiology data, she just didn't include it as
4 a risk factor without considering the animal data, without
5 considering the mechanistic data, without considering his
6 actual exposure, all of that.

7 So let me talk to you about what Dr. Weisenburger did. So
8 what Dr. Weisenburger did -- and there's a lot of discussion
9 about nomenclature. You know, "differential diagnosis" isn't
10 really a word we use, or is this a proper phrase, or whatever
11 it is. I just want to explain to you what he did, and
12 Dr. Levine testified that she did a very similar thing.

13 He put all the known risk factors over here (indicating)
14 that are applicable to Mr. Hardeman. Okay? And then as he
15 went through his analysis, he put the ones that actually
16 applied to Mr. Hardeman right here (indicating), and we came
17 down with four.

18 And I think that these were ruled out -- these down at the
19 bottom were ruled out by all the parties, and these pretty much
20 were too. Dr. Levine testified that age, sex, and race, while
21 they may be risk factors, they aren't causal factors.

22 And remember that a risk factor is not the same thing as a
23 causal factor. Now, I think that is really important when you
24 guys go back there and talk about this.

25 So Dr. Weisenburger came up with four. And, remember,

CLOSING ARGUMENT \ WAGSTAFF

1 Dr. Levine testified -- put those three up there. The only
2 thing that's different is that Dr. Weisenburger had Roundup.

3 Dr. Levine has been paid by Monsanto. She only looked at
4 half of the data, and she did not include Roundup.

5 Now, when we talk about obesity, both experts cross out
6 that that was a causal factor. Maybe it's a risk factor.

7 Maybe. Probably not, but maybe. Maybe it increased it, but
8 nobody in this courtroom came in and told you that his
9 non-Hodgkin's lymphoma was caused because he was obese.

10 Nobody.

11 Hepatitis B and hepatitis C, I think -- I'm talking
12 about -- so Dr. Levine had a hit-and-run theory. You guys
13 remember that catchy little phrase, hit-and-run. I think what
14 she was trying to say was that once the damage is done, it
15 doesn't -- it can't be fixed; right?

16 And so when Ms. Moore was asking her (reading):

17 "Do you know" -- and this is a quote -- "Do you know
18 if the hepatitis B, in fact, did any type of damage to his
19 B-cell?

20 "I don't know that.

21 "So what -- you are about to tell me what evidence
22 you have to support your theory that there is hit-and-run
23 cells for hepatitis B.

24 "The data really -- the hit-and-run mechanism has not
25 been published for hepatitis B.

CLOSING ARGUMENT \ WAGSTAFF

1 "So you don't have any evidence to support your
2 theory of this hit-and-run of hepatitis B?

3 "I can't say that."

4 I left out a sentence but it wasn't really relevant to
5 what I was...

6 So I think the hepatitis B, Levine's -- oh, I'm being told
7 to use a bigger one. Sorry.

8 Further you heard testimony that he was immune from
9 hepatitis B. Additionally, he never had the active virus
10 diagnosis. He had antibodies but never the active virus
11 diagnosis. And Levine's testimony was maybe and then likely.
12 That's what she said, don't be confused with the hepatitis B.

13 Then hepatitis C seems to be the one that Monsanto wants
14 you to believe, but let's look at the facts of the case instead
15 of these hypotheticals. They came in and they brought someone
16 who is an expert on hepatitis C and non-Hodgkin's lymphoma.
17 She's never done any work on pesticides, but she's an expert on
18 non-Hodgkin's lymphoma and hepatitis C.

19 So remember the facts. The facts were that he was cured
20 in '06 and he never had any -- he was a rapid responder. This
21 is important. This means that his body reacted to the
22 treatments. So while Dr. Ruffner said "In six months you'll be
23 cured," he -- actually within 12 weeks of receiving it, his
24 viral load plummeted to zero. To zero.

25 2006 to present, no hepatitis C. Abnormal cells gone.

CLOSING ARGUMENT \ WAGSTAFF

1 They will die off. That's what Dr. Weisenburger testified to.
2 He was immune for hep B in 2005.

3 And you'll remember, this was actually the chart that was
4 used yesterday, and Ms. Moore when she was asking Dr. Levine
5 questions, she said, "Do you agree? Can I check? Can I
6 check?" So these are the checks from Dr. Levine.

7 Now, let's talk about the chemo that I talked about. I
8 said three rounds earlier, but he actually went through six,
9 six rounds. And what happens during chemotherapy is that your
10 body, your immune system gets pulled way down. Okay? And so
11 you heard a lot of testimony about what happened during
12 Mr. Hardeman's chemotherapy, and this is really, really
13 important.

14 And so this part right here (indicating) is when he was in
15 chemotherapy. Okay? So the theory Monsanto wants you to
16 believe is, "Oh, sure, he may have been cured -- he may have
17 been cured in '06, but cured, she didn't mean cured. She meant
18 like cured like there's still hep C there." So they want you
19 to believe there's still hepatitis C swimming deep below in an
20 undetectable level, some undetectable level that even
21 Dr. Levine admitted she doesn't use in her practice and she's
22 supposed to be one of the leading hep C experts but yet she
23 doesn't use it.

24 So there's this undetectable level of hep C floating
25 around in Mr. Hardeman's body somehow that nobody knows how to

CLOSING ARGUMENT \ WAGSTAFF

1 detect it, and in fact it has never been detected in his body.
2 Okay? So they want you to believe that.

3 The problem is, here's the problem with their story, and
4 this makes sense. The problem is, is that during chemotherapy
5 because your immune system gets so weakened, if there are any
6 viruses in there, they will rear their head. And you've heard
7 every expert who talked about that will tell you that. Even
8 Dr. Levine told you that.

9 So what they did was they put Mr. Hardeman, when he went
10 through chemotherapy, 11 years after, 9 years after being
11 cured, they gave him pills for hep B, for hep B only, not for
12 hep C. For hep B. They gave him pills so that the weakened
13 immune system would not expose any hep B that was around.
14 Nothing ever happened. It was sort of a nonevent. They did
15 not give him any pills for hep C. None. Nothing. He received
16 no treatment for hep C during the chemotherapy except for
17 testing the blood, except for continued blood testing.

18 So he goes through a weakened immune system where when you
19 go through chemotherapy, your body is shot. The whole point is
20 to kill the cancer. I mean, your body, your immune system is
21 very low, and he had six rounds of it. So he had six different
22 times for this to happen, and not one time, not one time did
23 the hep C ever show up.

24 So where are the facts of these hep C swimming around in
25 undetectable levels? They're just not there. They're just not

CLOSING ARGUMENT \ WAGSTAFF

1 there.

2 And so what happens is because they didn't include
3 Roundup -- and we know why they didn't include Roundup. They
4 didn't read the animal data. They didn't read the mechanistic
5 data. They want you to ignore most of the epidemiological
6 data.

7 They brought in Dr. Arber to tell you it's idiopathic.
8 You can't tell what it is. Sorry. Don't know what it is.
9 Happens to all these people. That's what they want you to
10 believe, is that you just don't know what it is because they
11 refuse to look at all the data and understand that pesticide
12 use is a risk factor.

13 And if you remember, Dr. Levine actually had pesticide use
14 on a document that she relied. She just didn't realize Roundup
15 was a pesticide. She said, "Was it a pesticide? I didn't know
16 that."

17 So you move through this, and one of the important things
18 about the exposure which makes it so important that they
19 actually considered it, and Dr. Weisenburger testified to this
20 fact, is that the Roundup, when it got on your body, would
21 penetrate the skin and go into the lymph and blood tissues.
22 And he testified to that. It would sort of go in there and
23 find its way right into your blood. And non-Hodgkin's lymphoma
24 is a blood cancer. There's no doubt about that. Non-Hodgkin's
25 lymphoma is a blood cancer.

CLOSING ARGUMENT \ WAGSTAFF

1 I've gotten sort of off track here. So as you go back to
2 your deliberation room and you can finally talk to each other
3 about this, we need all six votes, like I said, all six votes.
4 And I hope that you remind each other of the arguments that I
5 said, and I hope that you review the documents that you guys
6 have back there with you and find that Roundup was a
7 substantial factor in causing Mr. Hardeman's harm.

8 And I just want to remind you one last time that to
9 prevail on the question of medical causation, which is the
10 question that you are finding today, Mr. Hardeman must prove by
11 a preponderance of the evidence -- and the evidence I just
12 showed you is compelling, it blows away the preponderance
13 standard -- he must prove by a preponderance of the evidence
14 that Roundup was a substantial factor. This is Jury
15 Instruction 9.

16 Read those words, "preponderance of the evidence,"
17 "substantial factor." And picture this when you're back there,
18 the weighted scale with just a feather on it, 50.01, more
19 likely than not. More likely than not. You do not have to be
20 convinced beyond a reasonable doubt.

21 And remember that a substantial factor does not have to be
22 the only cause of the harm. It doesn't have to be the only
23 cause of the harm.

24 And so I leave you with this image:

25 The evidence that we have presented to you today over the

PROCEEDINGS

1 last two weeks. We've given you all the pieces of the puzzle
2 to tell you why and how exposure to Roundup causes cancer, and
3 we showed you the evidence that Monsanto refuses to analyze.
4 There's a dose-response. They won't look at how much he used
5 it. Monsanto has presented to you the epidemiological data
6 only.

7 So as you guys go back to the jury room and deliberate,
8 please take all five of those pieces with you and make sure you
9 consider all five of them. This is a very important question,
10 and I thank you all very much for your time, and Mr. Hardeman
11 thanks you for your time.

12 Thank you.

13 **THE COURT:** Okay. So good time for lunch. I can't
14 see anybody behind that board, but we'll go ahead and take a
15 lunch break. We will resume at about 40 minutes after the
16 hour, about 12:40, for Monsanto's closing argument and then
17 rebuttal from the plaintiffs, and then the case will be yours.

18 Thank you.

19 (Proceedings were heard out of the presence of the jury:)

20 **THE COURT:** Okay. Just a reminder to everybody in the
21 courtroom, this order is particularly important now, everybody
22 must stay in the courtroom for about five minutes while the
23 jurors take the elevator and whatnot.

24 And it's also very important to remind everybody in the
25 courtroom that you shouldn't be chitchatting openly about the

PROCEEDINGS

1 case and what you know about the case out there in the building
2 where a juror may inadvertently hear you. So I just want to
3 remind everyone of that. You're all prisoners here for the
4 next roughly five minutes.

5 Anything to discuss before we break?

6 **MR. STEKLOFF:** I have two issues, Your Honor. The
7 first, and I need to -- if I can have the break to think about
8 what relief I would request, there was a slide you'll recall
9 with Dr. Reeves that said basically there's no evidence of
10 cause. That testimony was not -- we have checked. I'll give
11 myself a little leeway, but I am 95 percent, if not more,
12 confident that that testimony was not played. I think it was
13 part of the rebuttal case that they were proposing today, and
14 that rebuttal case was not played, and so we're unaware of any
15 cite where that testimony from Dr. Reeves was played during the
16 trial.

17 **THE COURT:** And I apologize. I didn't -- I reviewed
18 the slides obviously but didn't realize that that testimony had
19 not been played, if that indeed is the case.

20 **MS. WAGSTAFF:** We reviewed them as well, and I believe
21 that it has, but we will check as well.

22 **MR. STEKLOFF:** We'll check. I mean, but we have
23 during the closing checked multiple times and cannot find it in
24 the Reeves designations.

25 **THE COURT:** Okay.

PROCEEDINGS

1 **MR. STEKLOFF:** The second is based on what we
2 discussed at sidebar, which was, and I understand that
3 Your Honor instructed the jury not to -- instructed the jury to
4 disregard the last two sentences of Ms. Wagstaff's closing, but
5 I think in her discussion of the jury instruction on causation,
6 which we have discussed, I mean, in remarkable detail, we are
7 asking for a curative instruction. So I'm happy to read the
8 proposal that I have.

9 **THE COURT:** Sure.

10 **MR. STEKLOFF:** (reading)

11 "If you conclude" -- "A portion" -- sorry.

12 "A portion of my causation instruction reads as
13 follows:

14 "If you conclude that Mr. Hardeman has proven that
15 his exposure to Roundup was sufficient on its own to cause
16 his non-Hodgkin's lymphoma, then you must find for
17 Mr. Hardeman even if you believe that other factors were
18 also sufficient on their own to cause his non-Hodgkin's
19 lymphoma."

20 So I'm just reading back what's already in the
21 instruction. And then the addition would be (reading):

22 "Under this portion of my instruction, you can only
23 rule for Mr. Hardeman if you find that Roundup was a
24 substantial contributing factor on its own independent of
25 any other factors. Because you have heard no such

PROCEEDINGS

1 evidence from any witness, you may not consider the
2 possibility that Roundup and any other factor worked
3 together to cause Mr. Hardeman's non-Hodgkin's lymphoma."

4 **THE COURT:** Well, first of all, if I gave a curative
5 instruction, I don't think I would read all of that back. I
6 mean, the question is whether I should give them an additional
7 instruction that reflects the final sentence that you read.

8 I think there may be a -- so I think there are two
9 questions posed by that sentence. The first is whether I
10 should give a curative instruction. The second is whether that
11 sentence is accurate.

12 So read the sentence to me one more time.

13 **MR. STEKLOFF:** The last sentence, Your Honor, was
14 (reading):

15 "Because you have heard no such evidence from any
16 witness, you may not consider the possibility that Roundup
17 and any other factor worked together to cause
18 Mr. Hardeman's non-Hodgkin's lymphoma."

19 **MS. WAGSTAFF:** And, Your Honor, I didn't --

20 **THE COURT:** Hold on a second.

21 (Pause in proceedings.)

22 **THE COURT:** I'll give you my -- let me give you my gut
23 reaction to it. I'll think about it over the break and hear
24 from them of course, but my gut reaction to it is: Number one,
25 as you've written it, it may not be quite accurate. I think it

PROCEEDINGS

1 may be possible the way the evidence came in for the jury -- I
2 mean, again, it depends how you define "risk factor" in this
3 whole discussion, this whole debate about what's a causative
4 risk factor; right?

5 But I think it probably would be possible for the jury to
6 ponder whether Mr. Hardeman's age sort of coalesced with
7 Roundup exposure. So, in other words, you know, the jury might
8 say, "Well, maybe if Hardeman had been, you know, 30 years old
9 and gotten this exposure, he wouldn't have gotten NHL; but
10 because he's old and because age" -- well, he's not that old,
11 sorry -- "because he's older and" --

12 **MS. MOORE:** He's sitting right here.

13 **THE COURT:** -- "because he's older" -- old by the
14 definition that the experts gave -- "and, you know, because
15 cancer advances more quickly or cancer is more likely to
16 develop in old people once a trigger event has occurred" --
17 right? So I think there may be a little bit of problem with
18 the language.

19 And the point you really want to make is that the jury is
20 not permitted to do what Ms. Wagstaff suggested they do during
21 that moment during her closing, which is conclude that
22 hepatitis and Roundup combined to cause the NHL.

23 **MR. STEKLOFF:** I agree, and I would be fine with using
24 the word "hepatitis" or "hepatitis B or C" instead of -- in
25 place of "any other factor."

PROCEEDINGS

1 **THE COURT:** But when she said that, so that was a very
2 brief, very brief moment in her closing and I told the jury to
3 disregard what she said, and I further noted that we had
4 discussed ground rules and hoped that there wouldn't be further
5 interruptions.

6 I mean, it seems -- and I reminded them that lawyer
7 argument is not evidence. So in light of all of that, you
8 know, my pretty strong reaction is that a curative instruction
9 or a further instruction on causation in light of that
10 statement alone is not necessary.

11 I will -- you know, I think there -- you know, I think
12 it's very possible that such an instruction could be necessary
13 if that were to happen again in rebuttal -- which reminds me,
14 have you prepared any slides for rebuttal? I didn't get any.

15 **MS. WAGSTAFF:** No.

16 **THE COURT:** Okay. So you're not using slides during
17 rebuttal?

18 **MS. WAGSTAFF:** Correct. I mean, I may use a blowup or
19 something, but nothing slides.

20 **THE COURT:** Okay. All right.

21 So --

22 **MS. WAGSTAFF:** And I won't do that again in rebuttal.
23 I think that I -- I mean, there's multiple interpretations of
24 what was said. I didn't -- I understand how Mr. Stekloff, what
25 he had said to you, could be interpreted. That's clearly not

PROCEEDINGS

1 what I meant to say, and I would argue that the jury probably
2 didn't -- their reaction to it was probably more than the
3 statement itself and that it's been more than cured.

4 **THE COURT:** I think that's probably -- I think that's
5 probably true that the reaction -- I mean, it's something that
6 we are all very intensely focused on --

7 **MS. WAGSTAFF:** Yes.

8 **THE COURT:** -- and have been, like, all night; right?

9 **MS. WAGSTAFF:** I mean, everyone was just --

10 **THE COURT:** So I think given the sort of totality of
11 everything that's happened in this case, I don't -- you know,
12 and with an instruction that's designed to steer the jury away
13 from considering that argument for which there was no evidence,
14 I think it's probably not necessary. I'll give it a little
15 more thought over the lunch hour, though.

16 **MS. WAGSTAFF:** Thank you, Your Honor.

17 **MR. STEKLOFF:** Thank you, Your Honor.

18 **MS. WAGSTAFF:** Are we coming back at 12:30?

19 **THE COURT:** 12:40 is when I told the jury we would
20 begin.

21 **MS. WAGSTAFF:** Okay. Thank you, Your Honor.

22 **THE COURT:** All right.

23 (Luncheon recess taken at 12:03 p.m.)

24 **AFTERNOON SESSION**

12:43 p.m.

25 (Proceedings were heard out of presence of the jury:)

PROCEEDINGS

1 **THE COURT:** Is there anything to discuss?

2 **MR. STEKLOFF:** Just, Your Honor, the parties conferred
3 at the break and confirmed that that Reeves' testimony was not
4 brought -- was not brought into evidence. Having said that,
5 we, on our side, are not asking for a curative instruction.

6 **THE COURT:** Okay.

7 **MS. WAGSTAFF:** And, Your Honor, it was very
8 unintentional. It was in a previous run report. And somehow
9 it got in. We conferred and there is no --

10 **THE COURT:** I mean, my recollection of it is that if
11 it didn't come into evidence -- testimony somewhat similar to
12 that didn't come into evidence.

13 **MS. WAGSTAFF:** Okay. Thank you, Your Honor.

14 **THE COURT:** Okay. Can we -- can you-all get a couple
15 other people from your legal teams to take those seats in case
16 we need more room in the courtroom?

17 **MS. FORGIE:** Oh, yes, Your Honor. There is one chair
18 that is broken.

19 **THE COURT:** Okay. All right. You can sit at that
20 table as well, but I'm just looking for ways to make more room
21 for people if they come in.

22 **MS. WAGSTAFF:** I will put three of them up here.

23 **THE COURT:** Go ahead and bring in the jury.

24 (Proceedings were heard in the presence of the jury:)

25 **THE COURT:** Welcome back.

CLOSING ARGUMENT / STEKLOFF

1 Mr. Stekloff, you can begin.

2 **CLOSING ARGUMENT**

3 **MR. STEKLOFF:** Thank you, Your Honor.

4 Good afternoon, everyone. At the beginning of the case
5 when I stood up in opening, I told you that Tamara, Rakesh and
6 I were going to present to you the evidence you needed to
7 decide the question. And the question was: Did Roundup cause
8 Mr. Hardeman's non-Hodgkin's lymphoma?

9 And now that you have been instructed on the law, when you
10 go back -- the verdict form question is this: Did Mr. Hardeman
11 prove by a preponderance of the evidence that his exposure to
12 Roundup was a substantial factor in causing his non-Hodgkin's
13 lymphoma?

14 And one thing is not in dispute. The burden is on
15 Mr. Hardeman. He has to prove this question. We don't have to
16 prove anything. And so I want to talk just for a moment about
17 the burden of proof.

18 You might recall that in jury selection we actually talked
19 a little bit about the burden of proof, and one of the
20 questions that I asked everyone who was here was, If you had to
21 vote right now, that day, how would you vote? And I think
22 everyone said -- raised their hand and said, We haven't heard
23 any evidence so we can't vote. And everyone aced the test.
24 Everyone got the answer correct.

25 Now you have heard the evidence, but that doesn't mean

CLOSING ARGUMENT / STEKLOFF

1 that we started -- remember that picture of the scales with the
2 feather that we started at 50/50 and all that is needed is a
3 feather. We started at zero. They started at zero, and they
4 have the burden of proof. And now they have to prove to you
5 with the evidence that they have shown you during this trial --
6 and also considering the evidence that we have shown you during
7 this trial -- that more likely than not, above 50 percent, they
8 have proved this question. That is what -- when you go back
9 and deliberate -- you have to answer.

10 So let's start with Mr. Hardeman because that's the
11 question you have to answer. Did Roundup -- was it a
12 substantial contributing factor to Mr. Hardeman's non-Hodgkin's
13 lymphoma? And you heard some things throughout this trial that
14 really are not in dispute in any way about Mr. Hardeman's
15 non-Hodgkin's lymphoma.

16 You heard he was diagnosed with diffuse large B-cell
17 lymphoma, the most common type of non-Hodgkin's lymphoma. You
18 heard there is no marker to determine cause. There was nothing
19 that Dr. Weisenburger, Dr. Arber, the pathologist at Kaiser or
20 anyone else could have done to look at his cancer and say, I
21 know what caused his cancer, and certainly nothing to say, I
22 know Roundup caused his cancer.

23 There was no test to determine the cause of his cancer.
24 Nothing else that could be done, no medical tests that could
25 have been performed on Mr. Hardeman to determine the cause of

CLOSING ARGUMENT / STEKLOFF

1 his cancer. And I think you heard there really was nothing
2 unique about his cancer.

3 Dr. Arber just this morning told you if you wanted to take
4 a diffuse large B-cell lymphoma and teach -- you know, put it
5 in a textbook to teach about it, you could take the tumor that
6 he looked at -- of Mr. Hardeman's cancer and put it on the
7 slide. That's what he told you.

8 And so I also want to pause here for a moment and just
9 make something clear. This case is not about Roundup versus
10 hepatitis C. I'm going to talk about hepatitis C. You heard
11 from Ms. Wagstaff about hepatitis C. You have heard a lot of
12 evidence in this trial about hepatitis C. But this is not an
13 either-or choice.

14 Because, like -- cancer is non-Hodgkin's lymphoma, diffuse
15 large B-cell lymphomas that happen every day, it might just be
16 that Mr. Hardeman's cancer was idiopathic; that no one can tell
17 you the cause; that there is no way to know what caused his
18 non-Hodgkin's lymphoma, which unfortunately happens every
19 single day in hospitals, in cancer centers around the country.

20 We know that because you have heard that testimony. That
21 is undisputed as well. Dr. Ye, Mr. Hardeman's oncologist,
22 testified unfortunately the cause of non-Hodgkin's lymphoma is
23 unknown. His answer was: For most patients, it is unknown.

24 And Dr. Weisenburger, the only doctor they brought you,
25 the only expert they brought you to talk about Mr. Hardeman,

CLOSING ARGUMENT / STEKLOFF

1 said the same thing.

2 The cause of the patient's non-Hodgkin's lymphoma is
3 unknown in most cases, right?

4 And his answer was: Yes.

5 Now, you are going to see in the instructions that part of
6 the instruction -- and I'm going to walk through more detail of
7 the instruction though -- for something to be a substantial
8 contributing factor part of the instruction says, Conduct --
9 subject to the additional instructions below: Conduct is not a
10 substantial factor in causing harm if the same harm would have
11 occurred without that conduct.

12 And so I asked Dr. Weisenburger when I examined him, and I
13 had to -- there is this concept of impeachment. Sometimes
14 people don't say one thing, and you have to remind them of what
15 they said under oath previously -- but I asked him: And you
16 were asked and you would agree that Mr. Hardeman could have
17 been diagnosed with the exact same diffuse large B-cell
18 lymphoma without exposure to Roundup, true? And your answer
19 was it's possible, right?

20 And he said, It is possible.

21 That was his testimony. It's possible even under their
22 expert who thinks Roundup was the cause, that had he never used
23 Roundup, he could have had the same exact non-Hodgkin's
24 lymphoma; the exact same diffuse large B-cell lymphoma.

25 Now, does Roundup matter to doctors outside this

CLOSING ARGUMENT / STEKLOFF

1 courtroom? Because you are hearing one thing in this
2 courtroom, but you've also heard testimony about what happens
3 outside of this courtroom. So you have heard from three of
4 Mr. Hardeman's treating physicians: Dr. Ye, who was his
5 oncologist, who treated him for his cancer; Dr. Turk, his
6 general practitioner; and Dr. Turley, who you will remember was
7 the ear, nose and throat doctor who took the biopsies from the
8 tumor that was on Mr. Hardeman's neck.

9 None of them said Roundup causes cancer. None of them
10 said Roundup caused Mr. Hardeman's cancer. None of them asked
11 their patients about Roundup. And Mr. Hardeman's medical
12 records don't say Roundup. None of them put Roundup in his
13 medical records.

14 But it wasn't just those doctors that you heard from that
15 talked about how Roundup is treated in the real world outside
16 of this courtroom because just this morning Dr. Arber took the
17 stand and he was asked: Doctor, what role -- what, if any,
18 role does Roundup play in your clinical practice?

19 He works at the University of Chicago. He used to work at
20 Stanford. We are talking about two elite hospitals here in the
21 United States.

22 And this was his answer: None. When I receive specimens,
23 I always get a list of details of the clinical information that
24 the treating physician feels are important for me to make a
25 diagnosis, including risk factors. And I have never in my

CLOSING ARGUMENT / STEKLOFF

1 career received a specimen where Roundup was listed as a risk
2 factor for a patient.

3 So what does that tell you about what is happening at
4 University of Chicago, Kaiser facilities here in California,
5 City of Hope from Dr. Weisenburger and Dr. Levine -- which we
6 will talk about more -- doctors outside of this courtroom are
7 not considering Roundup a cause.

8 And they are going to get up and say, Well, they are not
9 experts in pesticides. The literature just -- they just don't
10 know the literature. That literature, the epidemiology and
11 even those other studies that they are talking about, the
12 animal studies, those are published articles that any doctor
13 can go pull off of their -- off of PubMed, which you heard was
14 somewhere where doctors can go to to review literature.

15 So who is the only expert for the Plaintiffs, or for the
16 defense, who is the only expert, the only person who came in
17 here and told you that Roundup caused Mr. Hardeman's cancer?
18 Dr. Weisenburger. So I want to talk to you about
19 Dr. Weisenburger.

20 He is not an oncologist.

21 I want to pause there for a moment. They could have
22 brought you an oncologist. They could have brought you a
23 doctor who treats patients who have cancer, who treats patients
24 who have non-Hodgkin's lymphoma. They could have found an
25 oncologist from anywhere. They didn't bring you one. They

CLOSING ARGUMENT / STEKLOFF

1 have the burden.

2 Instead they brought you a pathologist, who, like
3 Dr. Arber, looks at tissues on slides and doesn't interact with
4 patients.

5 And Dr. Weisenburger admitted he has never told a patient
6 Roundup caused his or her cancer outside of this courtroom.
7 That's how he interacts with patients. He has never gone to
8 the other oncologists at City of Hope, who are dealing with
9 patients who have non-Hodgkin's lymphoma, and told them that he
10 thinks Roundup causes cancer. He has never gone to the other
11 pathologists, that he works with and that he was training and
12 overseeing for years as the head of pathology at City of Hope,
13 and told them that Roundup can cause cancer. And he has never
14 included Roundup or glyphosate, to be clear, in a pathology
15 report for a patient whose cancer he was evaluating. That's
16 what happens outside of the courtroom.

17 And he admitted that he really wasn't the right person to
18 be here. This was a description of his role. The job of the
19 pathologist is to look at the slides and to do stains or other
20 tests that might help, but we don't interview the patients. We
21 don't review all of their laboratory results. So that's the
22 job of the clinician, okay.

23 He is talking about oncologists there when he says
24 clinician.

25 That is the job of the clinician, not the job of the

CLOSING ARGUMENT / STEKLOFF

1 pathologist.

2 Now, would oncologists want to know? Because there was
3 this argument, Well, they wouldn't really want to know. It
4 wouldn't help them treat their patients.

5 But Dr. Ye was asked this question: As part of your care
6 and treatment of your patients, if you could determine the
7 cause of their cancer, you would want to do so, right?

8 And his answer was: Yes.

9 And Dr. Levine was asked: Now, there has been testimony
10 about oncologists who want to know the cause of their patient's
11 non-Hodgkin's lymphoma. And my question for you is: If you,
12 Dr. Levine, could know the cause of every one of your patients'
13 non-Hodgkin's lymphoma, would you want to know?

14 Her answer was: I would absolutely want to know.

15 So there is no excuse for someone like Dr. Weisenburger to
16 not tell the world what he thinks he knows, what he doesn't --
17 not tell the oncologists that he works with at City of Hope
18 what he thinks is a cause of cancer in Roundup so they can use
19 it to treat their patients.

20 We even asked him that question: If a patient came in
21 with non-Hodgkin's lymphoma, and it were true that Roundup or
22 glyphosate caused his or her cancer, the oncologist would want
23 to know that, right?

24 And his answer was: Yes.

25 And, of course, they would want to know it. If they

CLOSING ARGUMENT / STEKLOFF

1 thought -- if they believed that Roundup caused cancer and
2 their patient was still using Roundup, they would go to their
3 patient and say, Stop using Roundup. But he is not telling
4 anyone what he is telling you in this courtroom.

5 Let's also talk about his method, because it is not just
6 what he is telling you as compared to what he doesn't tell
7 people outside of this courtroom. It is also the method he
8 used. And we saw that board this morning where he moved
9 certain risk factors over and then he crossed some out and then
10 he -- the one thing standing was Roundup, right? That's the
11 method that he used.

12 And so you will recall -- you -- you have to judge for
13 yourself how you felt on direct, whether you thought what he
14 presented in his case on direct, that's what happens outside of
15 this courtroom. But when I stood up on cross-examination, this
16 is where I started. Maybe not these exact questions, but this
17 is the topic that I started with.

18 You have never used this method -- that differential
19 method -- to determine the cause of a non-Hodgkin's lymphoma
20 patient's cancer, correct?

21 No.

22 He fought me a little.

23 No, but I have done it in other cases where I have tried
24 to rule out causes. So, you know, I have done it. I have done
25 it in other cases.

CLOSING ARGUMENT / STEKLOFF

1 So I followed up: But not to determine the cause of
2 non-Hodgkin's lymphoma, correct?

3 No, because it is not part of my practice.

4 So not only is he not telling people outside of this
5 courtroom what he is telling you, he is doing something outside
6 of this courtroom that he doesn't do in his practice as part of
7 treating patients with non-Hodgkin's lymphoma.

8 And it is not just him, that method. We asked Dr. Levine
9 and we asked Dr. Arber about that specific method, and this is
10 what they said.

11 Dr. Levine: And specifically did you review the testimony
12 he, Dr. Weisenburger, gave about what he calls his differential
13 method?

14 Yes, I did.

15 Have you in your 40-plus-year career as an oncologist ever
16 used that method to determine the cause of a patient's
17 non-Hodgkin's lymphoma?

18 No.

19 And do you believe -- this is Dr. Arber now.

20 And do you believe as someone who has been practicing in
21 pathology for -- how long is it now?

22 26 years.

23 -- 26 years, do you believe that this is a valid way of
24 identifying the cause of a patient's non-Hodgkin's lymphoma as
25 a pathologist?

CLOSING ARGUMENT / STEKLOFF

1 No, I don't.

2 It's not just that he doesn't tell people. It is not just
3 the method he used. It is also the standard he used because
4 you will recall he said more likely than not, 51 percent,
5 Roundup was a substantial contributing factor to Mr. Hardeman's
6 non-Hodgkin's lymphoma.

7 He is obviously taking that from the overall burden the
8 Plaintiffs have, but he doesn't have to apply that burden in
9 talking to you about his opinions. He can use whatever
10 reasonable degree of medical certainty he thinks is
11 appropriate. But he said it was 50.1 percent. So I asked him
12 about that.

13 What do you focus on in your clinical -- what you do focus
14 on in your clinical care is making diagnoses, right?

15 Yes.

16 When you were making a diagnosis, if it is 51 percent, you
17 don't go tell the other doctors, This is the diagnosis. You
18 run other tests, right?

19 For making diagnoses, we have to be much more sure than
20 that. Absolutely.

21 So what other risk factors are in dispute -- because
22 Roundup is in dispute -- but what other risk factors that are
23 not in dispute that relate to Mr. Hardeman. There are four of
24 them you have heard about during this trial: Hepatitis C,
25 hepatitis B, his age and his weight.

CLOSING ARGUMENT / STEKLOFF

1 And there is no dispute -- I want to talk about
2 hepatitis C first. There is no dispute that Mr. Hardeman had
3 approximately 39 years of active hepatitis C, and that that
4 active hepatitis C could cause genetic mutations anywhere
5 during that 39-year period.

6 This is what Dr. Weisenburger said: So he had active
7 hepatitis C that can lead to genetic mutations -- that can lead
8 to cancer for 39 years, right?

9 Probably, yes, it was probably that long.

10 There is also no dispute that we know that that active
11 hepatitis C was affecting Mr. Hardeman because he had cirrhosis
12 of the liver. It is in his medical records. Dr. Ye agrees.
13 Dr. Weisenburger agrees. Dr. Levine agrees. Everyone agrees.
14 And everyone also agrees that the cirrhosis was caused by
15 Mr. Hardeman's hepatitis C. And everyone also agrees that it
16 takes decades -- or at least a decade -- for cirrhosis to
17 result from active hepatitis C.

18 Now, let's talk about this hit-and-run explanation that
19 Dr. Levine came in and explained to you yesterday. This is
20 what she said about the hit-and-run.

21 Hepatitis C can directly cause accidents, mutations, in
22 the DNA.

23 Mr. Hardeman had mutations in his DNA. So you will recall
24 that the pathology report, there were mutations in
25 Mr. Hardeman's DNA.

CLOSING ARGUMENT / STEKLOFF

1 Once that accident is there, the virus doesn't have to be
2 there anymore at all. We call that a hit-and-run kind of
3 mechanism.

4 And it isn't just Dr. Levine coming in here and telling
5 you about the hit-and-run. And I think during the Plaintiff's
6 opening, I think it was called a "catchy phrase." This is not
7 a catchy phrase. This is a scientific phrase that is discussed
8 in peer-reviewed literature.

9 So in the left you can see Dr. Levine's article from 2004
10 where she and a group of colleagues explained these results
11 indicate that hepatitis C virus induces a mutator phenotype and
12 may transform cells by a hit-and-run mechanism. This finding
13 provides a mechanism of oncogenesis for RNA -- that is active
14 virus. So when the virus is active, it can do this hit and
15 run.

16 But it wasn't just Dr. Levine's article. There is a later
17 article from 2013 in the *Journal of Hepatology*. Hepatology,
18 you heard, is the treatment of hepatitis. So this is the
19 *Journal of Hepatology*. And the group of other articles
20 published about this same opinion, this same explanation,
21 scientifically valid explanation, for how hepatitis C can cause
22 mutations.

23 And it is really not that complicated. You can see in
24 this picture. The hepatitis C can enter the cell. You can see
25 that at the bottom where it says "entry." It can cause DNA

CLOSING ARGUMENT / STEKLOFF

1 damage. And specific mutations -- and we will talk again
2 briefly about that BCL6 in there -- and then you can see the
3 hepatitis C leaves the cell.

4 So the hepatitis C isn't inside the cell, and the
5 hepatitis C isn't attached to the cell. That lock and key
6 isn't there anymore. And that is why treatment doesn't matter.
7 Because if you have this mutation, it doesn't matter if you
8 treat the hepatitis C, the mutation is there. That is the
9 hit-and-run explanation that is in the science.

10 And remember the concept of latency? Dr. Levine walked
11 you through this yesterday. At any point during those 39
12 years -- so in the 1960s, 1970s, 1980, 1990s, or from 2000 to
13 2005 -- these mutations from the hit-and-run could have
14 occurred. But it takes years or decades for the cancer to
15 develop because for a long time, as she wrote, the cancer is
16 hidden from the patient and from the doctor.

17 So the fact that there is this time period from 2006 when
18 his treatment is completed to 2015 does not mean that he didn't
19 have mutations that were caused before 2005 from the active
20 hepatitis C through the hit-and-run. So this is the timeline
21 that explains that.

22 In 1966 -- between 1966 and 2005, those mutations could
23 have occurred. Those mutations are the type of mutations that
24 lead to diffuse large B-cell lymphoma. Remember, Dr. Levine
25 explained that to you yesterday.

CLOSING ARGUMENT / STEKLOFF

1 This entire period after -- well, first of all, let's now
2 look at what Dr. Weisenburger says because he agrees the
3 mutations could have occurred during this 39 years. From 1996
4 to 2005 he agrees mutations could have occurred. So what is
5 his solution to dismiss hepatitis C and tell you you shouldn't
6 pay attention to hepatitis C? I mean, talk about ignore. He
7 wants you to ignore the role that hepatitis C is playing in
8 Mr. Hardeman or could have played in Mr. Hardeman.

9 This is what he said: And based on what I have told you
10 and the studies I showed you yesterday, it's my opinion that
11 after he was cured from the hepatitis C, he was no longer at
12 risk for non-Hodgkin's lymphoma.

13 Okay. You remember the curves all went back to the normal
14 background level after treatment. And the studies that he is
15 talking about are the exact same studies they showed Dr. Levine
16 yesterday; that I showed again on redirect.

17 Half of them don't even apply to diffuse large B-cell
18 lymphoma, and the other ones are other problems or small or
19 just not applicable. He is relying on those studies and the
20 antiviral treatment to tell you to ignore hepatitis C.

21 But to be clear, the antiviral therapy does not matter.
22 So this whole question -- that we spent I think over an hour on
23 yesterday on cross of Dr. Levine about whether there is still a
24 little bit of hepatitis C or a little bit of hepatitis B in
25 Mr. Hardeman's blood after 2006 -- is irrelevant. It is a

CLOSING ARGUMENT / STEKLOFF

1 sideshow. It has nothing to do with whether hepatitis C may
2 have caused Mr. Hardeman's non-Hodgkin's lymphoma because of
3 the hit-and-run theory.

4 If you have 39 years where you can have that hit-and-run
5 theory, and then it takes potentially decades to develop
6 cancer; and treatment doesn't get rid of the mutation in that
7 cell, it doesn't matter if there is still hepatitis C left in
8 his body. That is what Dr. Levine explained to you carefully
9 yesterday.

10 Let's talk about hepatitis B. Dr. Weisenburger dismisses
11 that one as well. Now, he says: You can't rule out -- he was
12 asked: You can't rule out that at some point between 1966 and
13 2005, Mr. Hardeman had an active hepatitis B infection,
14 correct?

15 I can't. We don't know. We don't know.

16 And so you can't rule out that if he had an active
17 hepatitis B infection at any point between 1966 and 2005, it
18 may have caused genetic mutations, right?

19 It may have, yes.

20 And his answer for this on their board was that
21 Mr. Hardeman was immune to hepatitis B even though we heard
22 yesterday he wasn't actually immune because he didn't receive
23 the vaccine or the vaccine didn't work.

24 But regardless, while it is not as well established, the
25 exact same hit-and-run mechanism may have applied with

CLOSING ARGUMENT / STEKLOFF

1 hepatitis B. And Dr. Levine explained this.

2 She said: Hepatitis B can cause the same kinds of
3 accidents or genetic errors, mutations, in the DNA just as I
4 was saying about hepatitis C. And hepatitis B has also been
5 proven to be a cause of non-Hodgkin's lymphoma and specifically
6 diffuse large B-cell lymphoma.

7 Now, she said the hit-and-run is not in the literature
8 specifically about hepatitis B, but you can't just ignore it.
9 And that's what Dr. Weisenburger did. He simply just ignored
10 that. If something doesn't fit into his desire to say Roundup
11 is the cause, he asks you to ignore it.

12 Again, how does he dismiss the hepatitis B? He says -- he
13 goes back to that treatment, the treatment that doesn't matter.
14 And the same is true for hepatitis B because he was -- he has
15 been immune to hepatitis B all along throughout his entire nine
16 or ten years up to the time he developed lymphoma, and he never
17 had active infection. He was immune to hepatitis B. So the
18 hepatitis B would not cause his non-Hodgkin's lymphoma either.

19 But Dr. Weisenburger doesn't know that because
20 Dr. Weisenburger doesn't know that a mutation may have occurred
21 while there was active hepatitis B that then didn't -- the
22 treatment wouldn't have affected it.

23 Let's talk briefly about age. I mean, we had -- I think
24 Ms. Matthews Johnson had to fight Dr. Ritz about this, but
25 finally she conceded: NHL, like most other cancers, is a

CLOSING ARGUMENT / STEKLOFF

1 disease of aging with dramatically higher incidence as people
2 age.

3 And Dr. Weisenburger said a similar thing. Age is a known
4 risk factor for non-Hodgkin's lymphoma. That is, as you get
5 older, your risk for non-Hodgkin's lymphoma increases. But
6 again, he tells you this doesn't matter. And we are not saying
7 Dr. Levine told you age doesn't cause by itself non-Hodgkin's
8 lymphoma.

9 So I'm not saying anything differently. But you can't
10 just ignore the role that age may have played because if you
11 have those mutations from the hepatitis C -- in particular
12 during the 39 years -- remember how she explained how your
13 immune -- how your immune system tries to fight those mutations
14 or hold them down -- but as you age, that becomes less and less
15 and less likely. And that can lead to the exact non-Hodgkin's
16 lymphoma, the diffuse large B-cell lymphoma, that Mr. Hardeman
17 developed.

18 So I want to walk you through how I finished my
19 cross-examination of Dr. Weisenburger because what it really
20 shows is no matter what, he will come into a courtroom if a
21 patient -- if a Plaintiff used Roundup and say Roundup was the
22 cause.

23 So I asked him this question: Isn't it true,
24 Dr. Weisenburger, that absent -- and I just want to pause there
25 also. We just heard his extremely high dose, extremely high

CLOSING ARGUMENT / STEKLOFF

1 dose. But this is what I asked Dr. Weisenburger about
2 basically any dose.

3 Isn't it true, Dr. Weisenburger, that absent extreme
4 examples of very minimal use of Roundup or that someone is
5 wearing, like, a suit where they never have any skin exposure
6 ever to Roundup, if you have a patient as part of your
7 methodology who was exposed to Roundup and developed
8 non-Hodgkin's lymphoma, in every one of those cases you are
9 going to say more likely than not Roundup was a substantial
10 contributing factor?

11 He didn't like that question, so he said: No. I would
12 have to each -- I would have to weigh each case individually,
13 just like I did with Mr. Hardeman, and look at how much
14 exposure there was and make a decision in each case. So that's
15 the way I would approach it.

16 So I asked: Okay. So what I said is inaccurate?

17 He said: I think it is inaccurate, yes.

18 So I read him back the question. And then I read him his
19 answer from prior sworn testimony under oath. And his answer
20 in prior sworn testimony to that question -- this long question
21 about basically someone who has even the most minimal amount of
22 Roundup use was: More likely than not, right?

23 He said: Yes, more likely than not -- and then he
24 added -- if there was substantial exposure, okay.

25 So I asked him: So you are changing your testimony?

CLOSING ARGUMENT / STEKLOFF

1 He said he is clarifying it.

2 I asked again: Changing it?

3 He said: Well, I'm changing it and clarifying it for you
4 and for the jury.

5 So under oath here Dr. Weisenburger changed and clarified
6 the testimony that he didn't like; that he had previously given
7 under oath; that helped prove that he would find Roundup to be
8 the substantial contributing factor in any case where a patient
9 used Roundup.

10 Now, what is it overall that Dr. Weisenburger is
11 dismissing in this case as part of his methodology? He is
12 dismissing the possibility that Mr. Hardeman's non-Hodgkin's
13 lymphoma was idiopathic. You heard that 70 to 90 percent of
14 non-Hodgkin's lymphomas outside of this courtroom are
15 idiopathic. He is not even factoring that in it. Is not even
16 on his list.

17 He is telling you to dismiss the 39 years of hepatitis C
18 that could have caused mutations through that hit-and-run
19 mechanism, that scientific mechanism; don't pay attention to
20 it.

21 He is asking you to ignore Mr. Hardeman's exposure to
22 hepatitis B that we know he had since he has the antibodies
23 today.

24 He is asking you to ignore the fact that his diagnosis
25 occurred at age 66 when his immune system could have been

CLOSING ARGUMENT / STEKLOFF

1 weakened.

2 And he is also asking you to ignore that BCL6 mutation
3 that Dr. Levine explained yesterday.

4 So I want to pause there for a moment. You will recall
5 Dr. Levine explained that there was a BCL6 mutation in
6 Mr. Hardeman's non-Hodgkin's lymphoma. It was on the pathology
7 report.

8 And we also saw in those studies that BCL6 was the
9 mutation that was -- one of the mutations that was resulting
10 from that hit-and-run mechanism from hepatitis C.

11 Now, Dr. Levine also told you that this -- this mutation
12 is not specific. So it doesn't mean just because you have the
13 mutation that it was there from hepatitis C. It occurs in many
14 people, a BCL6 mutation.

15 But what is significant here is that Dr. Weisenburger is
16 the pathologist. Dr. Weisenburger reviewed the pathology
17 report. Dr. Weisenburger told you a few weeks ago he finally
18 reviewed the slides of Mr. Hardeman's tumor, and he didn't even
19 talk to you about this BCL6 mutation.

20 So ask yourselves: Was he trying to tell you all of the
21 information? Was he trying to provide you with all of the
22 information you need to answer the question about whether
23 Roundup was a substantial contributing factor to Mr. Hardeman's
24 cancer?

25 And what is the data about Roundup? You will also recall

CLOSING ARGUMENT / STEKLOFF

1 seeing this graph -- and this evidence came in -- and Dr. Ritz
2 and Dr. Weisenburger and others testified that in the '90s,
3 that was when there was this spike in Roundup use. So if you
4 have a latency period of 20 years -- people may have been using
5 Roundup before the '90s, but then there was a spike in the
6 '90s. You have an average latency, 10, 15, 20 years out, 25
7 years out, you should see a spike, if their theory is true, in
8 non-Hodgkin's lymphoma cases in this country. And that has not
9 occurred.

10 And the only explanations that came in were Dr. Ritz said
11 something about chocolate and Nobel Prizes that has absolutely
12 nothing to do with this.

13 And then Dr. Weisenburger came in and said something about
14 the HIV epidemic, which, of course, occurred; but didn't tie it
15 at all from a timeframe or any scientific way to how it
16 explains why this data is not meaningful. This data is
17 consistent with the notion that when -- that Roundup is not
18 causing non-Hodgkin's lymphoma because if it were, we would be
19 seeing major increases in non-Hodgkin's lymphoma in this
20 country.

21 So let's talk now about those three stools. We have heard
22 about the epidemiology. We have heard about the animal
23 studies. We have heard about the cell studies. But what does
24 everyone agree?

25 This is their expert, Dr. Portier, from his publication

CLOSING ARGUMENT / STEKLOFF

1 with other colleagues: In the evaluation of human health
2 risks, sound human data, whenever available, are preferred to
3 animal data. Animal and in vitro studies provide support and
4 are used mainly to supply evidence missing from human studies.

5 Well, we have human studies here. And you have heard a
6 lot about it. You saw these charts. You saw all the odds
7 ratios. And I'm going to talk to you about it, but we have
8 human data.

9 And Dr. Mucci explained the same thing to you. Dr. Mucci
10 was accused of somehow not considering all of the evidence.
11 She -- and telling you to ignore the evidence. She told you
12 the opposite. She said, Don't ignore the evidence. But she
13 also told you this: If we want to understand why cancer occurs
14 in humans, the ideal population to study is human beings. So,
15 therefore, the epidemiology studies really are the highest
16 level of evidence that we have in trying to understand any
17 relationship between a risk factor and cancer risk.

18 And so why is it that you look at the human studies and
19 not the animal studies as the primary evidence if you want to
20 answer the question of why something causes cancer in humans?

21 Well, first of all, the animal studies -- I think you
22 heard that I was going to say this. I am going to say this
23 because it is significant -- the animal studies give high,
24 extremely high doses to the mice and the rats. They feed them
25 as much as they can. You heard about that maximum tolerable

CLOSING ARGUMENT / STEKLOFF

1 dose. They feed them as much as they can.

2 And Dr. Portier said: Do you take issue with it being
3 hundreds or thousands of times higher than what humans are
4 exposed to?

5 He said: It's much higher -- this is the dosing in animal
6 studies -- it is much higher. I will give you that.

7 And we saw a lot of evidence this morning about this one
8 animal study, the Knezevich study, when the chart was pulled
9 up. And I think you saw document after document about whether
10 it was going to be a Class 3 oncogene. Do you recall that?

11 Well, we, in this trial, have presented you with all the
12 evidence. Even in closing this morning, you didn't get all the
13 evidence because the story wasn't finished. And that timeline
14 that they showed you of this one study, where the one
15 control -- remember one tumor was found in the control group?
16 This is where that study ended. In 1991, based on everything
17 that the EPA considered, they made this determination:
18 Glyphosate should be classified as a Group E, evidence of
19 non-carcinogenicity for humans based on lack of convincing
20 carcinogenicity evidence in adequate studies in two animal
21 species.

22 So that story that you heard this morning about the one
23 tumor in the control group, you only heard half the story. And
24 this is how the story closed out.

25 And, again, you don't take animal studies and make a leap

CLOSING ARGUMENT / STEKLOFF

1 to human studies.

2 Dr. Portier, during his testimony, gave this testimony:

3 You would need to look at the human data, correct?

4 We would need human data in order to make that leap from
5 animals to humans for a specific disease.

6 Including glyphosate and NHL?

7 Including NHL and any agent.

8 So we are not telling you to ignore the animal data, but
9 we are telling you exactly what their experts are telling you:
10 You can't make leaps from the animal today. And where human
11 data is preferred, you rely on the human data, which is what we
12 have here.

13 So let's talk about genotoxicity for a moment because it
14 is the same thing. We are not asking you to ignore
15 genotoxicity, but this is what their own experts have said
16 about genotoxicity.

17 It is not the purpose of genotoxicity assays to establish
18 that glyphosate causes NHL.

19 And Dr. Portier said: Genotoxicity assays are not used to
20 establish that glyphosate causes NHL in people.

21 Again, you take the evidence, and you look at the best
22 available evidence, the most significant evidence, which is the
23 health -- which is the human studies.

24 And you also heard in this trial that people disagree with
25 Dr. Portier. So all those charts you saw, you will recall he

CLOSING ARGUMENT / STEKLOFF

1 wrote to the European regulators to try to persuade them that
2 he is right about Roundup and glyphosate and they are wrong.
3 And this is the letter that the European regulator wrote back
4 to him.

5 They said: Considering the weight of evidence approach,
6 taking into account the quality and reliability of all of -- of
7 all available data, it is concluded that glyphosate is unlikely
8 to be genotoxic in vivo and does not require hazard
9 classification regarding mutagenicity, according to the
10 regulation.

11 And to be clear, I'm not telling you -- pursuant to
12 exactly what the judge instructed you -- that just because
13 Europe thinks something, that you should think something. The
14 Judge has made clear -- and this is the law -- this bottom
15 sentence: You are not to substitute for your own -- you should
16 not defer to any such conclusions. They are not a substitute
17 for your own independent assessment of the evidence presented
18 in this case.

19 You should consider the original data, the human data.
20 But you also should know that Europe looked exactly at what
21 Dr. Portier told you and said, We disagree.

22 And they didn't just say that about genotoxicity. They
23 said that about his opinions more generally because here is the
24 summary in the letter that they wrote back to him in 2016.

25 EFSA -- that is the European regulator -- considers that

CLOSING ARGUMENT / STEKLOFF

1 the arguments brought forward in the open letter do not have an
2 impact on the EFSA conclusion on glyphosate.

3 So the evidence about these regulators is that post-IARC,
4 after that IARC decision in 2015, post-Dr. Portier, after he
5 wrote a letter to them trying to persuade them that he was
6 right, the regulators made a determination from all of the
7 evidence that glyphosate is not carcinogenic.

8 Let's talk about the human epidemiology studies. And I
9 think, again, there was an argument this morning that somehow
10 we are telling you to ignore studies. That is almost exactly
11 opposite of what Dr. Mucci told you.

12 She said you have to consider all the studies, but you
13 also have to consider the details of the studies, the methods
14 of the studies, the numbers in the studies, the dates of the
15 studies and that is what she walked you through.

16 And one thing you should ask yourself is whether Dr. Ritz,
17 their epidemiologist, did the same thing because you may recall
18 Dr. Ritz probably spent an hour and a half to two hours
19 trashing the Agricultural Health Study. And she put all those
20 numbers on the chart that you were shown again this morning.
21 She didn't tell you one single criticism or potential problem
22 in any of the studies that they -- that she says supports her.
23 And then she spent an hour and a half to two hours just
24 trashing AHS.

25 Dr. Mucci -- and this is for you to evaluate -- did not do

CLOSING ARGUMENT / STEKLOFF

1 the same thing. Dr. Mucci presented both sides in a factual
2 way, acknowledging that the AHS wasn't perfect, but that it's
3 the best evidence we have about the risk in humans for Roundup.

4 So I want to start with the right side, the Agricultural
5 Health Study, and what Dr. Mucci walked you through about that
6 study. She had four main highlights. It was repeatedly
7 validated. It used cancer registries to identify cancer so
8 that cancers weren't missed. You will recall there was this
9 argument from Dr. Ritz. Well, what about if people moved?
10 Dr. Mucci explained if they moved, they were excluded so we
11 didn't miss any cancers.

12 She explained the power and the size. You will remember
13 there were over almost 45,000 glyphosate users in that study,
14 and that that study properly adjusted for other pesticides.

15 Now, Dr. Weisenburger, even he himself had to admit that
16 he respects not only the National Cancer Institute but also the
17 scientists associated with the AHS.

18 You respect the researchers and doctors who are associated
19 with the National Cancer Institute, right?

20 Yes?

21 And even more specifically, you respect the doctors and
22 researchers that are part of that 2018 Agricultural Health
23 Study publication, right?

24 Yes.

25 And these are some of the things Dr. Ritz said during her

CLOSING ARGUMENT / STEKLOFF

1 long explanations about the AHS. She called it "a beautiful
2 study." She said they have done an amazing job. She said it
3 had a well-designed questionnaire, and she called the method,
4 one of the methods that they used, a very fancy method.

5 But then she came in here and told you you shouldn't --
6 you should ignore the AHS because it's such a bad study. I
7 think you heard it again this morning. It is such a flawed
8 study that you should not even consider it.

9 But remember this timeline: From 2001 to 2017 she was on
10 the advisory board of the Agricultural Health Study. She was
11 the chair from 2005 to 2017 and publication after publication
12 that were related to the issues, you heard about, were
13 published during that time period, either right before it, in
14 the middle of it, or right after it, including *De Roos* 2005.

15 *De Roos* 2005 was published while she was on the advisory
16 board as the chair, and *Andreotti* came out in 2018, right after
17 she stepped down. She had no criticisms of the AHS while she
18 was in the chair.

19 I think her only explanation that she gave you, she said
20 something like, The baby is in the well. And I do not know
21 what that means, but it is not an excuse to say, I didn't have
22 criticisms then, but I have them now because in 2016 when she
23 became a Plaintiff expert, that is the first time that she
24 started raising questions about the Agricultural Health Study.
25 There is no evidence before 2017 -- before 2016 that she did

CLOSING ARGUMENT / STEKLOFF

1 that.

2 I think she tried to say, Well, there was a slide in my
3 slide dec that I presented to my class. Do you recall that?
4 Well, it was a 50 or 60-page slide dec. They showed you one
5 slide during her examination, and then Ms. Johnson had to show
6 you all the slides in the dec about Agricultural Health Study
7 that don't have any criticisms.

8 And that study is important because even Dr. Ritz admits
9 it was evaluating the right people. This was her testimony,
10 what they did -- this is talking about the Agricultural Health
11 Study is -- said: Well, you know, who is the group of people
12 most exposed to pesticides? If that's what we are interested
13 in, it is farmers. So let's go out there and assemble a large
14 group of farmers, and that's what we call a cohort, a cohort of
15 farmers.

16 And even if -- it may not be specific to Agricultural
17 Health Study, but all of the studies you heard, even the case
18 control studies, were studying farmers. She talked about
19 farmers in Canada, farmers in Sweden, farmers in some of the
20 other states here in the United States.

21 And in the end, what did the data show? After all of that
22 data was collected for 20 years, starting in the 1990s until
23 2018 when the most recent publication came out, it showed you
24 that whether you were one of the 40-something-thousand people
25 using glyphosate in that study or whether you were just the

CLOSING ARGUMENT / STEKLOFF

1 regular U.S. population, your chances of developing
2 non-Hodgkin's lymphoma were 1 percent; exactly the same.

3 So, again, ask yourself if what they are saying is true,
4 if Roundup is this huge problem that is causing cancer
5 everywhere -- because it is causing all these tumors and all
6 these cell problems -- why is it that the rate of cancer in
7 45,000 people who are using Roundup all the time is only
8 1 percent; the exact rate of the general population of getting
9 non-Hodgkin's lymphoma?

10 And these were the conclusions that the authors offered in
11 2005 and 2018 after all of their analysis. In 2005 they said
12 there was no association between glyphosate exposure and all
13 cancer incidence or most of the specific cancer subtypes we
14 evaluated, including non-Hodgkin's lymphoma.

15 And you recall, Dr. Mucci explained this. They used
16 multiple ways to measure that. Whether the exposure metric was
17 ever used, cumulative exposure days or intensity-weighted
18 cumulative exposure days. And then in 2018, they followed up
19 on the results, and they came to the same conclusion: No
20 association was apparent between glyphosate and any solid
21 tumors or lymphoid malignancies overall, including
22 non-Hodgkin's lymphoma and its subtypes.

23 That is the best data, the best human data available.

24 Again, we have heard about this concept of dose response.
25 Remember hearing that this morning? Well, they studied that

CLOSING ARGUMENT / STEKLOFF

1 too. And you will recall -- this is for non-Hodgkin's
2 lymphoma -- whether you were in the none group -- that you had
3 never used Roundup -- the low group, the medium-low group, the
4 medium-high group or the high group, you were at the same
5 chance of having non-Hodgkin's lymphoma -- a small chance,
6 about 1 percent -- across all of the groups. And Dr. Mucci
7 explained to you if there was a dose response, you wouldn't see
8 this between the high group, the people that were using Roundup
9 the most, and the none group, the people who were never using
10 Roundup. You would not see that.

11 And I also want to make a point about this. I think two
12 of the pieces of the puzzle were glyphosate and then the
13 surfactants. These people were using the glyphosate and the
14 surfactants. They were using Roundup. So this study answers
15 both pieces of that puzzle.

16 This data was the same in this. If I showed you the chart
17 that Dr. Mucci showed you for diffuse large B-cell lymphoma,
18 the numbers were different; but the results were exactly the
19 same. There was no dose response of these over 45 -- almost
20 45,000 glyphosate Roundup users in the AHS.

21 And so then we heard it was such a flawed study. It is so
22 flawed. It is so bad. You shouldn't even pay attention to it.
23 Well, look at all of the ways it was validated. And I'm not
24 going to walk through all of these studies, but you heard about
25 them from Dr. Mucci.

CLOSING ARGUMENT \ STEKLOFF

1 The study design was published. The questionnaires were
2 tested and published. The supposed missing 37 percent data was
3 published and analyzed, and the exposure was analyzed so they
4 could answer questions like the dose response.

5 And specific to that questionnaire issue, that 37 percent
6 that you saw this morning, that question was tested and
7 answered time and time and time again. They were in response
8 to the people that -- that Sheppard group who wrote an article
9 asking them a question, but most significantly they did an
10 analysis of just the people who returned the second
11 questionnaire. Remember there were two questionnaires, and the
12 big criticism is, "Well, some of the people didn't fill out the
13 second questionnaire."

14 So they said, "Let's just look at the people who filled
15 out both," and there were 34,698 people. And what was their
16 conclusion when they did that? Glyphosate use was not
17 associated with non-Hodgkin's lymphoma.

18 So when you hear these criticisms that you should just
19 ignore the Agricultural Health Study as a completely flawed
20 study, that is not consistent with what these well-respected
21 scientists associated with the National Cancer Institute did.

22 But Dr. Mucci didn't just -- again, she didn't say it was
23 a perfect study. She explained the questions in the study, the
24 concerns that were raised about the study, and then how or
25 whether they were addressed.

CLOSING ARGUMENT \ STEKLOFF

1 She did the same thing for the case-control studies.
2 Those are the four studies. There were really four studies
3 that the plaintiffs are relying on, and she explained they were
4 exploratory because they were -- in part because they were in
5 early years -- we'll talk about that in a moment -- they had
6 small numbers, and they failed to properly adjust for other
7 pesticides.

8 So let's look at the numbers. And everyone agrees the
9 exposed non-Hodgkin's lymphoma cases is the important number,
10 and you need meaningful numbers to make conclusions. And look
11 at the numbers in their studies. I have five studies on here,
12 but I'll talk about that in a moment.

13 8, 29, 12, 36, 51, small numbers in all the studies. Orsi
14 is on here, but Orsi doesn't support their theory. So Orsi,
15 because it used hospital data, they actually tell you ignore
16 that one. So it's really four studies -- Hardell, Eriksson,
17 De Roos, and McDuffie -- that they tell you to rely on.

18 And remember the years. Dr. Weisenburger told you the
19 average latency is 20 to 25 years for non-Hodgkin's lymphoma
20 even in his world where it's associated with Roundup.

21 And so you might recall on the board I did the math with
22 him. He may have become a little frustrated and didn't want to
23 do the math with me. But these are the years of the studies,
24 and you have to look back 20 years before because in that time
25 period farmers who are in these studies are using other

CLOSING ARGUMENT \ STEKLOFF

1 pesticides. And if you want to identify is it Roundup that is
2 causing non-Hodgkin's lymphoma as opposed to whether it's other
3 pesticides or other chemicals, you have to be able to isolate
4 Roundup.

5 And if these people are using all these other pesticides
6 in the 1960s, 1970s, 1980s, 1950s and '60s and 1970s before
7 Roundup was even on the market or popular, then that's going to
8 complicate the data. That's why you have to adjust. That's
9 that concept of adjustment.

10 And Dr. Weisenburger admitted -- I think we heard this
11 morning where those stickers were put on the chart that you
12 should ignore adjustments. You don't have to take it from me
13 that adjustment is important. This is what Dr. Weisenburger
14 said about adjustment. He said, he was asked (reading):

15 "And that's why it's so important to adjust for other
16 pesticides in these studies, correct?

17 "That's correct.

18 "Because if you don't adjust for other pesticides,
19 you might not be able to identify what the real data is
20 about Roundup or glyphosate, correct?

21 "Yes."

22 It is undisputed that you have to adjust for other
23 pesticides. We also keep hearing, "Well, statistical
24 significance, it's this pesky little thing." I mean, in every
25 study that you saw, statistical significance was part of the

CLOSING ARGUMENT \ STEKLOFF

1 analysis, and it was always the same, 95 percent confidence
2 interval.

3 And Dr. Weisenburger, again you don't have to take it from
4 me, he was asked why statistical significance was important,
5 why did he bold the statistically significant ones, and his
6 answer was (reading):

7 "Well, because I think that one can have more
8 reliance on the numbers if they are statistically
9 significant, okay. There is less chance for random error,
10 okay."

11 So the concepts of adjustment, statistical significance,
12 those are fundamental concepts that are really not in dispute.

13 And the same with meta-analysis. This is what Dr. Ritz
14 said about meta-analysis, which is when scientists try to
15 combine data into one study. She says (reading):

16 "It's lazy to look at a meta-analysis. That's not
17 what science. That's not what I do. You must go back to
18 the original studies."

19 And that makes sense. You want to see -- remember, I
20 think, Dr. Mucci may have used the phrase garbage-in/
21 garbage-out. If you put a bunch of garbage into a
22 meta-analysis, you're only going to get garbage out. That's
23 why you go back to the original studies.

24 So I have taken the two forest plots -- you saw these
25 forest plots from Dr. Ritz, and she put up all this data. So

CLOSING ARGUMENT \ STEKLOFF

1 this is the first one ever/never. This is people who ever used
2 Roundup, even one day, versus people who never used Roundup;
3 and these were the odds ratios in these plots and whiskers that
4 she tried to show you clearly to try to convince you --
5 right? -- that Roundup is associated with non-Hodgkin's
6 lymphoma.

7 But let's take out the adjusted ones. Then you're left
8 with these (indicating). Let's take out the not statistically
9 significant ones. Then you're left with these (indicating).
10 Let's take out the meta-analysis that she said you really
11 shouldn't look at. Then you're left with one number, which is
12 that De Roos study that we heard about this morning.

13 Let's talk about the De Roos study because this was
14 important. This is the 2.1 that's statistically significant
15 and it was adjusted for 47 other pesticides.

16 But Dr. Mucci explained why you shouldn't be relying on
17 De Roos. She said (reading):

18 "And, again, just to be clear, my concern with this
19 particular study is not only that particular confidence
20 interval, but also the fact that -- the approach that they
21 took for adjusting. When you have only 36 exposed cases,
22 adjusting, whether you you're using logistic or
23 hierarchical, putting 47 pesticides into a model with only
24 36 cases can cause a lot of problems."

25 She said you need five cases for everything that you're

CLOSING ARGUMENT \ STEKLOFF

1 using to adjust; and if you have five cases for 47
2 pesticides -- that doesn't even include if you're adjusting for
3 age and gender and other factors -- you would have needed at
4 least 235 cases to do a proper adjustment in that De Roos
5 study, whether you -- this is the one with the logistic
6 regression and the hierarchical regression. It doesn't matter.
7 It is not properly adjusting and Dr. Mucci explained it to you.
8 So De Roos doesn't answer the question either.

9 So let's look at this second plot, which is this
10 dose-response. And I just want to pause here for a moment
11 because I think even this morning you were shown data from
12 McDuffie and it was argued that there is this 212 percent
13 dose-response. That number was not adjusted. It wasn't
14 adjusted for other pesticides, and they're putting it up here
15 and not telling you that.

16 So when you look at this data and do the same analysis,
17 adjust for other pesticides, McDuffie comes out, Eriksson comes
18 out. You take the meta-analysis, that is garbage-in/
19 garbage-out. I think Dr. Mucci explained they combined apples
20 and oranges and pineapples, and it didn't tell you anything.

21 And then you're left with Andreotti, but they only on this
22 chart took the numbers from Andreotti, the 20-year lag numbers,
23 that they thought helped them. Even those aren't statistically
24 significant.

25 And I just want to pause. Andreotti is the Agricultural

CLOSING ARGUMENT \ STEKLOFF

1 Health Study from 2018. I think this morning we heard that you
2 have to believe that it somehow protects you; that if you use
3 Roundup, it's better for you. That is not what Andreotti
4 shows. Andreotti shows a risk ratio right around 1, but it's
5 not -- and sometimes it's lower, but it's not statistically
6 significant.

7 So what it really shows is there's no association between
8 Roundup and non-Hodgkin's lymphoma, and for them to put on a
9 chart that we're arguing that it's protective is just
10 inaccurate based on what you heard in this trial.

11 Now, let's talk about the NAPP for a moment because even
12 again this morning during when the chart was put up from
13 Dr. Ritz and the numbers were shown, you were called out a
14 number from the NAPP, the North American Pooled Project, and
15 you were told one of the reasons you should believe
16 Dr. Weisenburger is because he was part of the North American
17 Pooled Project.

18 Well, let's remember how he used the North American Pooled
19 Project. He put one slide up that he claimed supported his
20 theory about dose-response, and I had to have him explain that
21 there were three studies and walk through the tables on this
22 study that show when you adjust for other pesticides, not only
23 do the numbers go down and change, but it doesn't show a
24 dose-response, and he even admitted it (reading):

25 "Okay. And you showed the one page of the June 2015

CLOSING ARGUMENT \ STEKLOFF

1 deck" --

2 That's this one (indicating). He showed one page from it.
3 It's this long of a presentation with all of this data in the
4 back that we walked through.

5 And then I said (reading):

6 "And you did not show the other pages that did not
7 support your opinion, correct?

8 "That's correct."

9 It wasn't just the other pages in the June 2015. It was
10 the pages in the August 2015. It was the pages in the
11 June 2016.

12 And one of the instructions that you've been read by
13 His Honor is about credibility of witnesses. And I'm not going
14 to walk through and read this instruction to you. You'll each
15 have a copy of it in the back.

16 But you are allowed to consider what Dr. Weisenburger did
17 with the NAPP, you're allowed to consider what Dr. Ritz did
18 with her Introduction to Cohort Studies 200 level class at her
19 university, when they tried to cherrypick pages and data from
20 their own presentations and not tell you the full story, and
21 that we had to bring out the full story and all of the data.
22 Does that tell you about -- what does that say to you about the
23 opinions they have come in here and offered to you?

24 And what is the data again about Roundup? What is the
25 human data? The human data shows over 44,000 people, 1 percent

CLOSING ARGUMENT \ STEKLOFF

1 of them, 435, had non-Hodgkin's lymphoma after the 2018
2 publication after 20 years. General population in that same
3 time frame, 1 percent. That's how many people developed
4 non-Hodgkin's lymphoma.

5 The same with the SEER data. If the increase in the '90s
6 were resulting in the problem that they claim is happening,
7 that bottom line of incidence of non-Hodgkin's lymphoma would
8 be very different.

9 And who is the only person that they brought in here to
10 tell you that the question you have to answer was Roundup a
11 substantial contributing factor in Mr. Hardeman's non-Hodgkin's
12 lymphoma? The only person that they've brought you was a
13 pathologist, not an oncologist. He's never told a patient that
14 Roundup or glyphosate caused his or her non-Hodgkin's lymphoma.
15 He's never gone to another pathologist. He's never gone to
16 another oncologist. He's never written in a pathology report
17 at City of Hope, and he has never used his method with
18 non-Hodgkin's lymphoma to identify the cause of a patient's
19 non-Hodgkin's lymphoma.

20 And you saw this instruction. It was put up this morning
21 and parts of it were highlighted, and I want to read through
22 the whole instruction too, but I highlighted the parts that
23 were not highlighted when you saw it this morning.

24 So this is the instruction that tells you about whether
25 something is a substantial contributing factor (reading):

CLOSING ARGUMENT \ STEKLOFF

1 "To prevail on the question of medical causation,
2 Mr. Hardeman must prove" -- again the burden is on
3 Mr. Hardeman -- "by a preponderance of the evidence that
4 Roundup was a substantial factor in causing his
5 non-Hodgkin's lymphoma. A substantial factor is a factor
6 that a reasonable person would consider to have
7 contributed to the harm. It must be more than a remote or
8 trivial factor. It does not have to be the only cause of
9 the harm. Subject to the additional instructions below,
10 conduct is not a substantial factor in causing the harm if
11 the same harm would have occurred without that conduct."

12 So if Mr. Hardeman would have developed non-Hodgkin's
13 lymphoma even if he had never used Roundup, then they can't
14 meet their burden.

15 The instruction goes on (reading):

16 "The following additional instructions apply if you
17 believe that two or more non-Hodgkin's lymphoma-causing
18 factors operated independently on Mr. Hardeman:"

19 And you were highlighted this part (reading):

20 "If you conclude that Mr. Hardeman has proven that
21 his exposure to Roundup was sufficient on its own to cause
22 NHL, then you must find for Mr. Hardeman even if you
23 believe that other factors were also sufficient on their
24 own to cause his non-Hodgkin's lymphoma."

25 So I just want to pause there for a moment because you

CLOSING ARGUMENT \ STEKLOFF

1 have heard, for example, about Roundup and hepatitis C, but
2 let's be clear. The case that they have presented to you
3 through Dr. Weisenburger is that it's Roundup and Roundup only.
4 No one has come into this courtroom and told you that it could
5 have been both.

6 And so don't be confused by this language. They have to
7 prove that Roundup was sufficient on its own to cause
8 non-Hodgkin's lymphoma, and that's how the paragraph really
9 ends (reading):

10 "On the other hand, if you conclude that Mr. Hardeman
11 has not proven that his exposure to Roundup was sufficient
12 on its own to cause his non-Hodgkin's lymphoma, then you
13 must find for Monsanto."

14 So really you have to believe Dr. Weisenburger.
15 Dr. Weisenburger is the only person who has come in and said
16 this; and Dr. Weisenburger, his methodology, his actions
17 outside of this courtroom do not stand the test.

18 And it's not just that you can consider Dr. Weisenburger.
19 You also have to consider all the evidence, of course,
20 including Dr. Levine, which I will get to in a moment.

21 On the substantial factor test again, he was asked the
22 question that relates to whether or not this could have
23 occurred without Roundup (reading):

24 "You would agree that Mr. Hardeman could have been
25 diagnosed with the exact same diffuse large B-cell

CLOSING ARGUMENT \ STEKLOFF

1 lymphoma without exposure to Roundup, true? And your
2 answer was, it's possible; right?

3 "It is possible."

4 That's Dr. Weisenburger's testimony. Again, how is
5 Roundup being treated outside this courtroom? These are
6 Mr. Hardeman's doctors and what they did, and I won't read
7 through this again because I've shown it to you, but Roundup
8 did not factor into their treatment whatsoever.

9 And so I want to finish -- I'm almost at the end -- with
10 Dr. Levine because I think we heard today that Dr. Levine is
11 paid by Monsanto; and the suggestion is that because she's paid
12 by Monsanto, that somehow she came in here and gave you
13 opinions that you shouldn't value.

14 First of all, all of the experts are paid. You heard a
15 stipulation that was read following Dr. Ritz's testimony.
16 Every single expert on both sides that you heard from is paid:
17 Dr. Ritz, Dr. Portier, Dr. Weisenburger, Dr. Levine, and
18 Dr. Arber.

19 But if they are attacking the integrity of Dr. Levine, I
20 mean, Dr. Levine has been practicing for 40 years, and you saw
21 the work that she's done around the world. You've seen the
22 recognition that she's done. And at 74 she cannot give up
23 taking care of patients with non-Hodgkin's lymphoma because
24 that's how much it means to her to help people, including
25 trying to identify the causes of non-Hodgkin's lymphoma by

CLOSING ARGUMENT \ STEKLOFF

1 hepatitis C.

2 And what did Dr. Levine tell you yesterday? She said she
3 would want to know the cause. She said she reviewed the
4 literature and Roundup does not cause non-Hodgkin's lymphoma.
5 She said Roundup did not cause or contribute to Mr. Hardeman's
6 non-Hodgkin's lymphoma. She said hepatitis C is the most
7 likely cause. Hepatitis B is the second-most likely cause, but
8 you cannot rule out idiopathic.

9 And she said she would never use Dr. Weisenburger's
10 method. She's the one he said you should listen to. She's the
11 clinician, she's the oncologist, and she said she would never
12 use Dr. Weisenburger's method to determine the cause of a
13 patient's non-Hodgkin's lymphoma outside of this courtroom.

14 And so lawyers hate not to have the last word, but I don't
15 get the last word. Because the plaintiff has the burden,
16 Ms. Wagstaff at the end will be able to stand up briefly and
17 talk to you again; but as she does that, these are some of the
18 things that you should be asking yourself. Because what is it
19 that you have to believe to rule for the plaintiff, to say that
20 the plaintiff has met his burden? What are they telling you?

21 First of all, they're telling you the National Cancer
22 Institute is wrong. It's wrong when it says in 2005 and 2018
23 that Roundup and glyphosate are not associated with
24 non-Hodgkin's lymphoma. They're wrong when they run all of
25 those validations and issue all of those publications telling

CLOSING ARGUMENT \ STEKLOFF

1 you why their publication is an important and valid one.

2 They're telling you that age and the size of studies don't
3 matter. They're saying rule for us based on four studies that
4 date back -- where the exposure dates back to the 1950s and
5 '60s, and use was in the '70s and '80s and maybe '90s with
6 small numbers of people, that's what you should use to decide
7 the question.

8 They're telling you Mr. Hardeman's other risk factors
9 don't matter. They're saying ignore hepatitis C, ignore the
10 39 years where active mutations could have occurred during that
11 hit-and-run, ignore hepatitis B, ignore age, ignore weight.

12 They're telling you real-world medical practice doesn't
13 matter. I mean, you have now heard from doctors at University
14 of Chicago, Kaiser here in California, City of Hope, and
15 Roundup does not impact their practice outside of the
16 courtroom, but they are telling you that you should make a
17 decision that is different because of what Dr. Weisenburger
18 came and did in here.

19 And they're telling you what their experts do outside
20 doesn't matter. It doesn't matter that Dr. Weisenburger
21 doesn't tell his patients, doesn't use that method, and doesn't
22 tell other oncologists or pathologists.

23 They have not met their burden, and so I will say you have
24 been a remarkably patient and attentive group over the last two
25 weeks, and very shortly you will get to go back and start your

PROCEEDINGS

1 deliberations. We thank you for your time and attention, but
2 the answer to the question "Was Roundup and did Mr. Hardeman
3 prove that Roundup was a substantial contributing factor in his
4 non-Hodgkin's lymphoma," the answer is no.

5 Thank you.

6 **THE COURT:** Okay. Thank you.

7 Why don't we take a short break. We'll resume at
8 2:00 o'clock sharp with rebuttal.

9 (Proceedings were heard out of the presence of the jury:)

10 **THE COURT:** Okay. Anything to discuss before I step
11 down?

12 **MS. WAGSTAFF:** No, Your Honor. By my calculations, I
13 have about 24 minutes of rebuttal. I won't take that long, but
14 I just wanted to, for your own --

15 **THE COURT:** By my calculations, you have 22 minutes.

16 **MS. WAGSTAFF:** Oh, okay. Thanks.

17 **THE COURT:** And given how long it's gone, I really
18 don't think you should go over that.

19 **MS. WAGSTAFF:** Yeah.

20 **THE COURT:** All right.

21 (Recess taken at 1:52 p.m.)

22 (Proceedings resumed at 2:01 p.m.)

23 (Proceedings were heard out of the presence of the jury:)

24 **THE COURT:** Okay. Go ahead and bring in the jury.

25 (Proceedings were heard in the presence of the jury:)

REBUTTAL ARGUMENT / WAGSTAFF

1 **THE COURT:** Okay. Welcome back.

2 Ms. Wagstaff, you can resume.

3 **REBUTTAL ARGUMENT**

4 **MS. WAGSTAFF:** Okay. The home stretch. I have about
5 20 minutes with you guys so it won't take too long.

6 If we could have the Elmo, please.

7 So I want to be very clear with what I started with, and
8 that is I think you never heard me during closing arguments or
9 any of our experts say that we are only relying on one piece of
10 evidence. I don't think you've heard one of our experts
11 testify that the animal data alone proved causation. I don't
12 think you heard any of our experts say that the epidemiological
13 evidence alone proved causation, or even whether the
14 mechanistic data alone proved causation.

15 I think what you heard all of our experts say is that put
16 together, there are pieces of the puzzle that must be
17 considered together. So when Mr. Stekloff was just arguing
18 that Dr. Portier agreed that you don't use I don't remember if
19 it was animal data or mechanistic data to prove causation, that
20 may be true; but when you put them all together, that is when
21 you get causation.

22 And they went through a couple of things that I just
23 really wanted to make sure I clarified with you. One is -- and
24 this was brought up twice so I'm guessing it's pretty
25 important -- this was a quote that he put up on his board.

REBUTTAL ARGUMENT / WAGSTAFF

1 This was asking Dr. Weisenburger during the cross-examination
2 here in the court (reading):

3 "So you would you agree that Mr. Hardeman could have
4 been diagnosed with the exact same diffuse large B-cell
5 lymphoma without exposure to Roundup?"

6 He stopped with "It's possible." He didn't show you the
7 rest of that line (reading):

8 "Not as likely but it is possible."

9 And if you remember his inflection in his voice, and this
10 what --

11 **MR. STEKLOFF:** Your Honor, I object because the
12 testimony goes on.

13 **THE COURT:** Overruled.

14 **MS. WAGSTAFF:** And so if you remember, the judge
15 instructed you about reading words on a paper, and your
16 recollection of actually the nonverbal and the inflection and
17 all of that trumps whatever you would read on a paper.

18 And if you recall when he was testifying, he was, like,
19 "It's possible." And so if you remember that, just remember
20 how this was said and remember you that you just were not given
21 the full quote right there.

22 Next, next, Mr. Stekloff made a big deal about the fact
23 that the Kaiser doctors didn't warn, that the Kaiser doctors
24 somehow suggested that there's no causation because they didn't
25 warn.

REBUTTAL ARGUMENT / WAGSTAFF

1 What you weren't told, what you weren't reminded of is
2 testimony that came in, and this was -- there were three
3 doctors: Dr. Turk, Dr. Ye, and Dr. Turley. And Dr. Turley was
4 the pathologist that just pulled out stuff from his neck, and
5 so we didn't really get into causation with him. He was the
6 ENT. And then you had Dr. Turk, who was his family doctor, and
7 then you had Dr. Ye that was his oncologist.

8 And this was me asking Dr. Ye (reading):

9 "Have you ever read any of the scientific literature,
10 the epidemiology peer-reviewed literature, the toxicology
11 reports, or anything at all that relates exposure to
12 Roundup or glyphosate to non-Hodgkin's lymphoma?

13 "No, I have not.

14 "You haven't. So, therefore, you have no opinion one
15 way or the other because you haven't read the literature?

16 "Yeah."

17 And then he said (reading):

18 "I don't -- a particular -- I don't have a particular
19 opinion on that."

20 And I said (reading):

21 "So with respect to the literature, the body of
22 literature that discusses whether or not exposure to
23 Roundup or glyphosate causes non-Hodgkin's lymphoma, you
24 would have to defer to someone who's actually read the
25 literature?

REBUTTAL ARGUMENT / WAGSTAFF

1 "Correct."

2 When asked, Dr. Turk, the family practitioner (reading):

3 "So would it be fair to say that with regard to an
4 opinion as to whether or not Roundup caused Mr. Hardeman's
5 non-Hodgkin's lymphoma, given that you haven't read the
6 literature, you would defer to an expert who has read the
7 literature; is that fair?

8 "Yes."

9 Next I'd like to talk about this concept that

10 Dr. Weisenburger would assign that Roundup is a cause at any
11 dose. And, first of all, I think that the evidence has shown
12 that Mr. Hardeman's dosage was extreme. He had 26 years of
13 exposure almost on a monthly exposure, three to four hours a
14 month, for seven or eight months of every year. I think that
15 that is very extreme.

16 And what he did highlight when he was telling you was that
17 Dr. Weisenburger said, "I have to weigh each case
18 individually." And what he did was, then, he explained to you
19 the whole impeachment and brought up other testimony from other
20 times.

21 What they did was they were asking him hypotheticals;
22 right? And then they would bring in and then they would try to
23 see if he changed his mind. And so he would say, "Well, I have
24 to weigh each case individually." You saw that language. It
25 wasn't really highlighted or bolded or anything, but it was on

REBUTTAL ARGUMENT / WAGSTAFF

1 the slide. Dr. Weisenburger was saying, "I can't do
2 hypotheticals. I have to weigh each case individually."

3 And so then if you notice talking -- if you notice what
4 happened when Monsanto's experts came in, all three of them --
5 Dr. Mucci, Dr. Levine, and Dr. Arber -- they each had pre-made
6 PowerPoints. When their attorney said, "What are your
7 opinions?", they would look down on the screen and say, "My
8 opinion is blah, blah, blah," and read it word for word off the
9 screen. You can take that into account when you're considering
10 the credibility and the reliability of experts' opinions.

11 Next I wanted to show you this from the -- if I could turn
12 this on?

13 Mr. Stekloff just showed you this, and it was shown to you
14 in opening statement as well. All right. I didn't have a copy
15 of this when I was putting Ritz on the stand so I drew it. Do
16 you remember? This is actually the same slide that I drew. I
17 said, "They used something and they went like this."

18 And I asked her, I said, "Does this have anything to do
19 with dose-response?" She said, "Absolutely not." So I crossed
20 out "dose" because I didn't have a copy of this.

21 Then we got a copy of this, and we actually showed it to
22 Dr. Weisenburger, and we asked Dr. Weisenburger, "What's going
23 on here? What's going on with this chart?" And he told you.
24 The word he used was "misleading." He said, "It would be
25 misleading to rely on this on the way that Monsanto is."

REBUTTAL ARGUMENT / WAGSTAFF

1 **THE COURT:** Can you go one slide back? Go one slide
2 back, please.

3 Okay. Ladies and gentlemen of the jury, I'm going to
4 instruct you to disregard that last bit of argument about this
5 slide. Ms. Wagstaff argued that -- placed blame on two of the
6 experts for not using this chart. That was beyond the scope of
7 their testimony.

8 So if Monsanto had attempted to use this chart with them,
9 I would have shut Monsanto down. So Monsanto was simply
10 following the rules by not presenting this chart to those two
11 experts.

12 So you're instructed to disregard that last bit of
13 argument.

14 **MS. WAGSTAFF:** All right. Monsanto did not show this
15 chart to Dr. Mucci, who was here to testify as to whether or
16 not exposure to Roundup could lead to non-Hodgkin's lymphoma.
17 She was not shown this, this chart.

18 Next I want to talk a little bit about these case
19 controls. And Dr. -- or Mr. Stekloff attacked these case
20 controls based on the years that the cancers were determined,
21 and he said that you have to go back 20 years based on what
22 Dr. Weisenburger said. However, Dr. Weisenburger, if you will
23 recall, had a bell curve and he gave explicit testimony about
24 this.

25 And the 20 years was the median, and there was an up

PROCEEDINGS

1 slope. And so that's actually not that accurate that he said
2 20 years. So I just want you to remember that when you're back
3 there deliberating.

4 And as I wrap up this Phase I of this case, I want to
5 thank you guys again for all of your attention. And I want to
6 remind you that when you're considering something as important
7 as what you're going to be considering in the next few minutes,
8 that you look at all of the data, that you look at the animal
9 studies, that you look at the mechanistic studies, that you
10 look at Roundup, and that you look at that it's different than
11 glyphosate.

12 And I want you to remember that Zhang article that just
13 recently came out that also considered all of that data, and
14 the Zhang article included the AHS. The Zhang article that
15 came out in February of 2019, a month ago, included all that.

16 And so I thank you in advance and Mr. Hardeman thanks you
17 very much as well. So thank you.

18 **THE COURT:** Okay. Thank you.

19 So, ladies and gentlemen of the jury, you can now retire
20 to the jury room and begin your deliberations. Thank you.

21 (Jury beginning deliberations at 2:13 p.m.)

22 (Proceedings were heard out of the presence of the jury:)

23 **THE COURT:** Okay. So I was just reminded of
24 something, which is I raised a concern earlier that the jurors
25 might ask for the studies, and I posed a question to you-all

PROCEEDINGS

1 about whether you thought it would at least be fair to send
2 back the portions of the studies that you called out. Did you
3 give any consideration to that?

4 **MR. STEKLOFF:** We have, Your Honor. We oppose. I
5 think in part not knowing that ahead of time, we weren't able
6 to show all of the portions, and so -- and then also I think it
7 is -- and that's part of our concern.

8 I mean, for example, I think a lot more was shown even
9 with Dr. Ritz particular parts of studies, and so I think it's
10 the timing.

11 I'm not -- in future trials I still don't know that we
12 would agree, to be clear, but I worry about sending
13 cherrypicked.

14 I mean, part of the reason of the rule on learned
15 treatises is because they're complicated scientific studies,
16 and I think these jurors have been taking good notes; but sort
17 of for all those reasons, we oppose.

18 **THE COURT:** Okay.

19 **MS. WAGSTAFF:** We oppose as well.

20 **THE COURT:** Okay. Well, that makes it --

21 **MS. WAGSTAFF:** In case you were wondering.

22 **THE COURT:** Finally something we can agree on.

23 Oh, no, the other thing you-all agreed on was that I
24 should not have a court-appointed expert --

25 **MS. WAGSTAFF:** Yep.

PROCEEDINGS

1 **THE COURT:** -- to assist me with the science.

2 Okay. I mean, I think we have to get to work pretty
3 quickly on some of the Phase II issues. So obviously you
4 should go and chill for a little bit, but what -- I mean, we've
5 got some outstanding issues with the experts for Phase II;
6 right? And then we have the issue of depo designations.

7 **MS. MOORE:** Right.

8 **MS. WAGSTAFF:** So similar to Phase I, Your Honor, when
9 we agreed that if we didn't call Sawyer, they wouldn't call
10 Sullivan. We have that agreement now. I'm not sure that we've
11 pulled the trigger on that yet, though.

12 So with the outstanding *Daubert* motions, which I think
13 Benbrook would probably be who we would request you look at
14 first --

15 **THE COURT:** Okay.

16 **MS. WAGSTAFF:** -- you can maybe put Sawyer to the
17 bottom of the pile.

18 **THE COURT:** Okay.

19 **MS. WAGSTAFF:** Is that fair?

20 **MR. STEKLOFF:** It is. I did tell Ms. Wagstaff
21 yesterday that if they do not call Sawyer, we will not call
22 Sullivan, and then she said they hadn't made a final decision
23 on Sawyer, but I agree on the construct.

24 **THE COURT:** Okay. And are there any other -- other
25 than Benbrook, Sawyer, and Sullivan, are there any other

PROCEEDINGS

1 outstanding expert issues for Phase II?

2 **MS. WAGSTAFF:** So we have called -- we have identified
3 Mills, who is our damages expert.

4 I'm not sure if you guys -- I can't even remember if you
5 wrote a *Daubert* on him or not.

6 **MS. MOORE:** They did.

7 **MR. STEKLOFF:** We did.

8 **MS. WAGSTAFF:** Okay.

9 **MR. STEKLOFF:** I think if Your Honor could rule on
10 that, it would be useful; and then we can figure out how to
11 address -- depending on your ruling, how to address, I think,
12 sort of it relates to like net worth-type calculations and
13 issues. But I do think we --

14 **THE COURT:** What did I rule -- I ruled on something
15 relating to that, but it wasn't the Mills.

16 **MS. WAGSTAFF:** You said -- it was a *motion in limine*
17 and it was talking about Bayer, and you said we can use it as
18 it relates to punitive damages.

19 **THE COURT:** Right. Okay.

20 **MR. STEKLOFF:** I think we have a challenge to
21 Dr. Mills' -- I think Dr. Mills is the identified expert here,
22 and we have a challenge to his methodology.

23 **THE COURT:** Okay. So Benbrook and Mills I should be
24 focusing on.

25 And then --

PROCEEDINGS

1 **MS. WAGSTAFF:** I can tell you who we plan to call live
2 if you'd like that.

3 **THE COURT:** That would be helpful.

4 **MS. WAGSTAFF:** Okay. So Mr. Hardeman will testify
5 live.

6 **THE COURT:** Do you plan for him to go first?

7 **MS. WAGSTAFF:** Not necessarily.

8 **THE COURT:** Okay.

9 **MS. WAGSTAFF:** Mrs. Hardeman, we plan to have her
10 testify.

11 **THE COURT:** Okay.

12 **MS. WAGSTAFF:** Dr. Benbrook, Dr. Nabhan.

13 **THE COURT:** Nabhan for Phase II?

14 **MS. WAGSTAFF:** Yeah, prognosis and damages.

15 **THE COURT:** Oh. So Mr. Hardeman, Mrs. Hardeman,
16 Benbrook, Nabhan.

17 **MS. WAGSTAFF:** And Mills.

18 **THE COURT:** Okay.

19 **MS. WAGSTAFF:** And then I think maybe Sawyer, but put
20 a question mark by Sawyer.

21 **THE COURT:** Okay. So those are live witnesses.

22 **MS. WAGSTAFF:** And we are hoping to bring Dr. Benbrook
23 Friday.

24 **THE COURT:** Okay. So that should be number one on my
25 list.

PROCEEDINGS

1 **MS. WAGSTAFF:** Right. He has plans to go --

2 **THE COURT:** I've read the briefs and stuff. I just
3 kind of put it aside, and so I just need to dive back into it.

4 **MR. STEKLOFF:** I also think, Your Honor, there's that
5 design defect brief about what -- both parties submitted briefs
6 about whether it's the risk-benefit or which test applies
7 essentially to the design defect claim, which I think will
8 impact even, for example, opening depending on your ruling.

9 **THE COURT:** Right. Right. Right. So that should be
10 number one.

11 And as of now, based on what I've looked at so far, I'm
12 not sure I need to hear any further argument on that, but I'll
13 let you know if I do.

14 You need to be close by the courtroom anyway so we can
15 spend some quality time together talking about these issues.

16 So what about video deposition testimony?

17 **MS. WAGSTAFF:** So we've exchanged all of that. I
18 think we filed it all with you.

19 **THE COURT:** Yes, but it came with the same caveat that
20 it often came with in Phase I, which is that "We are continuing
21 to work to pare this down." So it wasn't clear to me whether I
22 should be looking at any of that stuff that you filed on
23 Sunday.

24 **MS. WAGSTAFF:** So I believe Your Honor entered an
25 order telling us by close of business today, or maybe it was an

PROCEEDINGS

1 e-mail that we received from you, saying to tell you the top
2 two to three depositions by close of business today. So we
3 have two and a half hours to get that to you.

4 **THE COURT:** Okay. Well, so I will start looking at
5 this stuff. I think, you know, be prepared -- you know, like I
6 said, I'm not sure I need argument on the design defect thing,
7 I'm not sure I need argument on Benbrook, and I'm not sure I
8 need argument on Mills. It may be that I just issue an order
9 tomorrow or something like that, but be ready to argue those
10 things if I need argument.

11 **MR. STEKLOFF:** Do you know, Your Honor, if the jurors
12 are -- maybe we'll know because we haven't found out yet, but
13 are they staying past 2:30 today? Do we know what their plan
14 is, or do we have to wait to see?

15 **THE COURT:** Kristen, do you know?

16 **THE CLERK:** I will go back and find out who the
17 foreperson is, and then I'm also going to find out what their
18 schedule will be.

19 **MR. STEKLOFF:** Okay.

20 **THE COURT:** Okay.

21 **MS. WAGSTAFF:** Just one more question. If we get a
22 verdict in favor of Mr. Hardeman and we need to go to Phase II,
23 will we have, like, an hour or is there going to be a point
24 tomorrow where it's a no-go? I'm just trying to plan.

25 **THE COURT:** Yeah, there will probably be a point

PROCEEDINGS

1 tomorrow where it's a no-go.

2 **MS. WAGSTAFF:** Would it be after lunch?

3 **THE COURT:** Yeah. I think that's a reasonable cutoff
4 point. So if the lunch hour hits and they haven't reached a
5 verdict on Phase I yet, then you can plan on waiting until
6 Friday to do your openings on Phase II.

7 **MS. WAGSTAFF:** Okay. And so is the expectation that
8 we are here in the courtroom just ready to go tomorrow at the
9 beginning of the day?

10 **THE COURT:** No. Just be close by starting at 8:30.

11 **MS. WAGSTAFF:** Okay.

12 **THE COURT:** And we'll obviously be in touch if there's
13 a jury question or a verdict, and we'll also be in touch if I
14 need to hear argument on one of these things.

15 **MS. WAGSTAFF:** Okay. Excellent.

16 **MR. STEKLOFF:** And then just while the record is open,
17 I think -- they are not ready yet, but the parties have agreed
18 that we should submit as marked exhibits just the run plays
19 from all the deposition designations that were played so that
20 they're in the record, not that they would go back to the jury.
21 So I think we're going to work on making sure we agree that
22 they exist, and then we'll coordinate with Ms. Melen so they're
23 in the record.

24 **THE COURT:** Okay. Very good. We'll see -- I assume
25 I'll see you tomorrow, but Kristen will let you know what

PROCEEDINGS

1 the --

2 **MS. WAGSTAFF:** Have you already gone back yet, and I
3 just didn't notice it?

4 **THE CLERK:** Yes.

5 **MS. WAGSTAFF:** Oh, you did?

6 **THE COURT:** You did?

7 **THE CLERK:** No. Not since --

8 **THE COURT:** Not since we've had this exchange, yeah.

9 **MS. WAGSTAFF:** I swear I've been watching you.

10 I might -- could you let us know before we leave? Because
11 if they're going to stay till 5:00, maybe we'll stay till 5:00,
12 too.

13 **THE CLERK:** Yeah.

14 **THE COURT:** If they're going to stay till 5:00, you
15 definitely should stay till 5:00.

16 **THE CLERK:** Hang out for a few minutes, and I'll go
17 check with them right now.

18 Also, if you could write down your cell phone numbers,
19 please.

20 **MS. MOORE:** Oh, sure.

21 (Recess taken at 2:22 p.m.)

22 (Jurors left for the day at 4:00 p.m.)

23 ---oOo---

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25


CERTIFICATE OF REPORTERS

I certify that the foregoing is a correct transcript
from the record of proceedings in the above-entitled matter.

DATE: Tuesday, March 12, 2019



Jo Ann Bryce, CSR No. 3321, RMR, CRR, FCRR
U.S. Court Reporter



Marla F. Knox, RPR, CRR
U.S. Court Reporter