

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

SUPERIOR COURT OF CALIFORNIA

COUNTY OF ALAMEDA

BEFORE THE HONORABLE WINIFRED Y. SMITH, JUDGE PRESIDING

DEPARTMENT NUMBER 21

---oOo---

COORDINATION PROCEEDING)	
SPECIAL TITLE (RULE 3.550))	
)	
ROUNDUP PRODUCTS CASE)	JCCP No. 4953
)	
_____)	
THIS TRANSCRIPT RELATES TO:)	
)	
Pilliiod, et al.)	Case No. RG17862702
vs.)	
Monsanto Company, et al.)	Pages 3815 - 4004
_____)	Volume 24

Reporter's Transcript of Proceedings

Monday, April 22, 2019

Reported by: Kelly L. Shainline, CSR No. 13476, RPR, CRR
Lori Stokes, CSR No. 12732, RPR
Stenographic Court Reporters



BAY AREA REPORTING
SOLUTIONS

888.526.8243
www.BayAreaReportingSolutions.com

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES OF COUNSEL:

For Plaintiffs:

THE MILLER FIRM, LLC
108 Railroad Avenue
Orange, Virginia 22960
(540) 672-4224

BY: MICHAEL J. MILLER, ATTORNEY AT LAW
mmiller@millerfirmllc.com

BAUM HEDLUND ARISTEI & GOLDMAN PC
10940 Wilshire Boulevard, 17th Floor
Los Angeles, California 90024
(310) 207-3233

BY: R. BRENT WISNER, ATTORNEY AT LAW
rbwisner@baumhedlundlaw.com
PEDRAM ESFANDIARY, ATTORNEY AT LAW
pesfandiary@baumhedlundlaw.com

(APPEARANCES CONTINUED ON FOLLOWING PAGE)

1 **APPEARANCES:** (CONTINUED)

2 For Defendants:

3 EVANS FEARS & SCHUTTERT LLP
4 2300 W. Sahara Ave, Suite 950
5 Las Vegas, Nevada 89102
6 (702) 805-0290

7 **BY: KELLY A. EVANS, ATTORNEY AT LAW**
8 kevens@efstriallaw.com

9 HINSHAW
10 One California Street, 18th Floor
11 San Francisco, California 94111
12 (415) 362-6000

13 **BY: EUGENE BROWN JR., ATTORNEY AT LAW**
14 ebrown@hinshawlaw.com

15 GOLDMAN ISMAIL TOMASELLI BRENNAN & BAUM LLP
16 564 West Randolph Street, Suite 400
17 Chicago, Illinois 60661
18 (312) 681-6000

19 **BY: TAREK ISMAIL, ATTORNEY AT LAW**
20 tismail@goldmanismail.com

21 (Multiple other counsel present as reflected in the
22 minutes.)

23
24
25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

Monday, April 22, 2019

PLAINTIFFS' WITNESSES

PAGE VOL.

GUPTA, NEEL

By Video Deposition (not reported) 3832 24

NABHAN, CHADI

Direct Examination by Mr. Miller 3835 24

Voir Dire Examination by Mr. Ismail 3862 24

Direct Examination resumed by Mr. Miller 3874 24

1 Monday, April 22, 2019

8:52 a.m.

2

P R O C E E D I N G S

3

---oOo---

4

(Proceedings commenced in open court out of

5

the presence of the jury:)

6

THE COURT: Good morning.

7

ALL: Good morning, Your Honor.

8

THE COURT: Happy Monday.

9

MR. BRADY: Good morning, happy Monday.

10

Your Honor, just a quick issue. If I may

11

approach. We've prepared a couple PowerPoints for use

12

with Dr. Nabhan.

13

May I approach, Your Honor?

14

THE COURT: Sure.

15

MR. BRADY: Okay. As you'll see on the second

16

page, this is for Alva, and we have an MRI scan and

17

we've just highlighted with a little red the areas where

18

the tumors were present, where the pathology is noted on

19

the MRI report.

20

Counsel has some objection to this. It's just

21

demonstrative. It's hard to read a black and white MRI.

22

This is exactly what he was treated with. We routinely

23

mark these up.

24

MR. ISMAIL: Good morning, Your Honor. Since

25

it's my objection, I think I'll address it.

1 So my specific objections, Your Honor, are to
2 the -- do you have a copy of it?

3 **THE COURT:** I do.

4 **MR. ISMAIL:** They're not page-numbered, but
5 it's this scan here.

6 **THE COURT:** Okay.

7 **MR. BRADY:** That's the PET scan.

8 **MR. ISMAIL:** And so this is not how the actual
9 radiology image looks and not how the medical record
10 looks. Counsel has added this provocative red
11 highlighting throughout on what -- I don't know if
12 they're going to say these are all tumors, they're not.
13 But if that's what they're going to say, that's fine.

14 But our objection is that if they're going to
15 display a radiology image to the jury, it should be
16 actually the radiology image, not Photoshopped to make
17 it look more prejudicial and scary.

18 **THE COURT:** What does the original look like?
19 Do you have a copy of that?

20 **MR. BRADY:** It's just black and white. It's
21 the same thing. We just added the red to show where
22 there was indications on the MRI that there was tumor
23 and that there was positive findings. And Dr. Nabhan is
24 going to explain that. It's not provocative. It's just
25 I don't know how else you'd highlight this.

1 **THE COURT:** Okay.

2 **MR. ISMAIL:** Yes, thank you, Your Honor.

3 And to be clear, we had a meet-and-confer over
4 the weekend and we highlighted this objection to give
5 them time to either pick a less frightening looking
6 color or do what we think they should do which is show
7 the image, and if Dr. Nabhan is qualified to walk
8 through these radiology images, he should be able to
9 explain to the jury.

10 Mr. Wisner used the unadorned scan in opening
11 statements and was able to explain to the jury what was
12 going on here. And we think this image is provocative,
13 unnecessary, and distorts the underlying medical record
14 that shows really what was going on.

15 So he could very easily with a laser pointer
16 point and say: I believe there are tumors indicated
17 here, here, and here.

18 They've highlighted things here which I don't
19 even think they are going to claim are tumors. And it's
20 just meant to be -- it's unnecessarily prejudicial.
21 It's inaccurate, for one. It's Photoshopped. It's not
22 the underlying radiology image. And I think clearly
23 they should just use the actual radiology image.

24 **THE COURT:** Do you have the actual radiology
25 image?

1 **MR. BRADY:** We do, Your Honor. But it's not
2 Photoshopped.

3 **THE COURT:** Let me see it. Let me see it.

4 **MR. BRADY:** We can put it up on the screen.
5 This just comes on to show where the positive findings
6 are.

7 **THE COURT:** I heard that. I just want to see
8 the image so that I can take a look at it.

9 **MR. BRADY:** I have the image. It's right on
10 the presentation. We'll get it right up. You'll see.

11 And, Your Honor, this isn't Photoshopped. All
12 this is doing is adding a little bit of color to the
13 PowerPoint. There's nothing meant to be provocative
14 here.

15 This is the slide itself, Your Honor.

16 **THE COURT:** Right. And so where's the --

17 **MR. BRADY:** Put it in play and go back one.

18 (Pause in the proceedings.)

19 **MR. BRADY:** It won't play.

20 **TECH PERSONNEL:** Just give me a second.

21 **MR. BRADY:** I'm sorry, Your Honor. I
22 apologize.

23 This is just a standard image, though, from
24 the MRI and we just added where the activity is. And
25 Dr. Nabhan is --

1 **THE COURT:** You do like bright colors, don't
2 you?

3 **MR. BRADY:** What?

4 **THE COURT:** I said you do like bright colors,
5 don't you?

6 **MR. BRADY:** Well, it's easy for everyone to
7 see. Dr. Nabhan can be questioned and cross-examined
8 about it. We're not claiming these are all tumors.
9 These are the positive things that lit up on the MRI.
10 He had systemic --

11 **THE COURT:** I know, but a simple solution
12 probably would have been, as we have now done in all of
13 the videos, just tone the colors down a little bit so
14 that you can highlight it but not make it look scary and
15 all that. I don't know so much scary as it is just --
16 that would have been a great compromise over the
17 weekend. That's okay. We didn't do that.

18 (Pause in the proceedings.)

19 **MR. WISNER:** We have it. He wants to make it
20 perfect. For now we just want to see it.

21 **MR. BRADY:** Sorry, Your Honor.

22 **THE COURT:** And do you have the ability to
23 change the colors at this point?

24 **MR. WISNER:** You'll see in the presentation,
25 the colors don't start off there. They come in after.

1 **MR. BRADY:** They come in. And I think we can
2 play it without. But let's just see it.

3 **THE COURT:** Oh, I see. This is sort of a --

4 **MR. BRADY:** It's just a PowerPoint.

5 **THE COURT:** It's slightly animated in the
6 sense that there's some activity that's going on.

7 **MR. BRADY:** It's just it's going through --
8 when you get a disk of an MRI, it does this
9 automatically, it goes through the disk slice of images
10 and you stop it at the slice. So it starts off by doing
11 that and goes to the slice.

12 (Pause in the proceedings.)

13 **MR. BRADY:** Can you go in play mode so it goes
14 to full screen?

15 **MR. WISNER:** We can't. This is the best we've
16 got.

17 **MR. BRADY:** This is the Stanford report. The
18 reports are already in evidence and so are the scans
19 themselves, Your Honor, and I just wanted to do this for
20 demonstrative purposes.

21 **THE COURT:** I know, but that's not what we're
22 talking.

23 **MR. BRADY:** It's no blood and guts, it's just
24 red color.

25 **MR. WISNER:** All right, here we go.

1 Next. Go back.

2 **MR. BRADY:** Go forward and stop it. I thought
3 the red came in on the click.

4 **MR. WISNER:** It doesn't.

5 **MR. BRADY:** I can't remove it at this point,
6 Your Honor. I'm sorry. I thought it was done so it was
7 in two clicks. It's just to indicate the areas where
8 there was systemic evidence of non-Hodgkin's lymphoma.

9 I don't think it's scary. We're going to go
10 right through it, and Dr. Nabhan can be cross-examined.
11 He'll say this is, you know -- he'll explain what it is.

12 **THE COURT:** Why can't you change it?

13 **MR. WISNER:** We can do it. We can remove it.

14 **THE COURT:** You don't have to remove it. Just
15 tone the color down. I mean, just tone the color down
16 and we're fine.

17 **MR. BRADY:** Can we have five minutes,
18 Your Honor? We'll tone the color down.

19 **THE COURT:** As long as you tone the color
20 down, I think that might take care of the objection.
21 And let me just make sure that that's all the objection.
22 I don't have a problem with just tone the color down.

23 **MR. BRADY:** We'll fix it. Easy.

24 **THE COURT:** And so let me speak with counsel
25 to find out if he has anything else he wants to say.

1 **MR. ISMAIL:** Thank you, Your Honor.

2 The other objection we noted to counsel
3 yesterday was there's a medical record that's dated
4 May 18th, 2011. It looks like this. It's not an image.
5 It's a record.

6 **THE COURT:** Right.

7 **MR. ISMAIL:** And if you look the way they've
8 underlined some information down in paragraph 7, 8, and
9 9.

10 **THE COURT:** Hold on. Maybe I'm looking at the
11 wrong thing.

12 **MR. BRADY:** There's a second page on the back
13 that has highlighting. It's the same record twice, one
14 with and one without. There you go.

15 **THE COURT:** Okay.

16 **MR. ISMAIL:** So then the way they've done this
17 is a PowerPoint. If they pop it up, I wouldn't have the
18 opportunity to object to a question on relevance grounds
19 so I'm raising it here.

20 None of these issues here about gallbladder or
21 parapelvic renal cysts are at issue or relevant in the
22 case and are being highlighted in this way as they are.
23 The witness doesn't have any opinions about any of these
24 organ systems. There's no claim here that --

25 **MR. BRADY:** Your Honor, let me stop

1 Mr. Ismail. We'll remove those. It's not part of the
2 claim. These are just the impressions on the study. If
3 that's a problem for the defense, we'll take them out.

4 **THE COURT:** Okay.

5 **MR. ISMAIL:** And while they're amending the
6 image, Your Honor, the other issue is not on the
7 PowerPoint.

8 Last night we received an updated reliance
9 list for Dr. Nabhan. Actually we received several over
10 the weekend but one last night is the one I want to
11 raise.

12 In it, they disclose a rodent study relating
13 to a topic that Dr. Sawyer testified to, absorption,
14 organic excretion of formulated product in a rodent
15 study.

16 And I told Mr. Miller that we object to
17 Dr. Nabhan doing it because, A, he's admitted he's not
18 an expert in animal cancer bioassays. And more
19 importantly he testified in his deposition he has no
20 opinions about absorption, excretion, metabolism of
21 formulated glyphosate.

22 And so the night before he takes the stand, he
23 discloses an article on that very topic. We think he
24 should be precluded by his own words, his own testimony
25 he has no such opinion.

1 **MR. MILLER:** It's already been shown to the
2 jury. And we're just using it to explain how glyphosate
3 gets into the bone marrow. He has testified
4 extensively, repeatedly at deposition that non-Hodgkin's
5 lymphoma starts in the bone marrow, and that's all we're
6 doing. He's not trying to become a toxicology expert or
7 expand into new opinions.

8 **THE COURT:** What does that look like in terms
9 of his testimony? So if he's relying on it -- just run
10 me through quickly what it is he's going to say.

11 **MR. MILLER:** Sure. Well, he said all along
12 obviously that Roundup causes non-Hodgkin's lymphoma, it
13 caused it in Al and Alberta, and he goes on to describe
14 what non-Hodgkin's lymphoma is, how it starts in the
15 bone marrow.

16 This is a study that we showed the jury last
17 week that shows Roundup gets into the bone marrow.
18 That's all we're using it for. Not any new opinion.

19 **MR. ISMAIL:** Sure. It is indeed a new
20 opinion, Your Honor. He was deposed and asked
21 specifically whether he has any opinions on the
22 absorption of glyphosate, and he says he has no opinion
23 on that topic. He was asked about whether he has any
24 opinion on how rapidly glyphosate is excreted from the
25 body. He testified he has no opinion about that. He

1 was asked about the metabolism. He said he has no
2 opinion about that.

3 **THE COURT:** So in term of introducing it for
4 the purposes of him saying it starts in the bone marrow,
5 how does this study come in then?

6 **MR. MILLER:** The study shows that -- and
7 Dr. Sawyer talked about it last week, Your Honor -- that
8 over a seven-day period glyphosate stays in the bone
9 marrow, is what it shows. It's a table we wanted to
10 show, that's all.

11 **THE COURT:** No.

12 **MR. MILLER:** Okay.

13 **THE COURT:** He can just come in with his
14 opinion about it starting in the bone marrow and go from
15 there.

16 **MR. MILLER:** Very well, Your Honor.

17 **MR. ISMAIL:** Thank you, Your Honor.

18 There's going to be a treater video deposition
19 first thing this morning.

20 **MR. MILLER:** Right.

21 **THE COURT:** I can't even remember Friday. Did
22 we start that? Or Thursday, did we start that?

23 **MR. ISMAIL:** This is brand-new. Dr. Gupta.

24 So if before Dr. Nabhan takes the stand, I'd
25 like to just eyeball the revised -- before the

1 And I have Mr. -- I'm almost finished with the
2 other, but I have Mr. Guard ready today. And then by
3 the end of the day I'll give you the other one.

4 **MR. WISNER:** Thank you.

5 **THE COURT:** You're welcome.

6 (Recess taken at 9:09 a.m.)

7 (Proceedings resumed in open court in the
8 presence of the jury at 9:19 a.m.)

9 **THE COURT:** Good morning.

10 **ALL:** Good morning.

11 **THE COURT:** I hope everyone had a nice
12 weekend.

13 Okay. So we're going to continue on with the
14 plaintiffs' case. And I'll let either Mr. Wisner or
15 Mr. Miller introduce our next witness.

16 **MR. WISNER:** Yes, Your Honor.

17 At this time, the plaintiffs call Dr. Neel
18 Gupta by video deposition. The deposition was taken on
19 January 23rd, 2019, here in the Bay Area. The total run
20 time is 56 minutes, of which 25 minutes is the
21 plaintiff, 31 minutes is the defendant.

22 **MR. ISMAIL:** Your Honor, we just need to
23 caucus with counsel for a minute. There's a question.

24 (Counsel confer off the record.)

25 **MR. WISNER:** Apologies, Your Honor. We have

1 different run times. So we're worried that we have
2 different videos, and we want to make sure we play the
3 right one.

4 (Pause in the proceedings.)

5 **THE COURT:** Do you need a minute to work this
6 out? We can take a break.

7 **MR. WISNER:** I'm so sorry, Your Honor. Can
8 you give us a five-minute break. I apologize. We don't
9 want to play the wrong video.

10 **THE COURT:** No, no, I know. I'm just saying
11 if you're going to take a minute, I understand.

12 **MR. WISNER:** Sorry.

13 (Recess taken at 9:25 a.m.)

14 (Proceedings resumed in open court in the
15 presence of the jury at 9:42 a.m.)

16 **THE COURT:** We're all set now.

17 **MR. WISNER:** Yes, Your Honor. It is now
18 46 minutes, instead of 56.

19 **THE COURT:** Okay. That's fine.

20 (Video excerpts from the deposition testimony
21 of Neel Gupta played in open court; not reported
22 herein.)

23 **MR. WISNER:** We have one short redirect that's
24 our portion. It's about six minutes and then it will be
25 done.

1 (Video excerpts from the deposition testimony
2 of Neel Gupta resumes playing in open court; not
3 reported herein.)

4 **MR. WISNER:** That concludes the video
5 deposition, Your Honor.

6 **THE COURT:** Why don't we take a 10-minute
7 break and get started because we're going to break for
8 lunch at about 12:15 or 12:10.

9 Why don't we take our break now and start at a
10 quarter of. Thank you.

11 (Recess taken at 10:34 a.m.)

12 (Proceedings resumed in open court in the
13 presence of the jury at 10:52 a.m.)

14 **THE COURT:** We're going to resume with the
15 next witness that will be presented by Mr. Miller.

16 However, before we begin that testimony, I
17 will read a stipulation reached by the parties. And as
18 you will recall in the introductory instructions, I
19 mentioned what a stipulation means. And what it means
20 is the parties have agreed on these facts and these
21 facts are true for this case once they have agreed to
22 them.

23 And the stipulation is as follows:

24 As of November 1, 2016, 153 people
25 had filed lawsuits against Monsanto

1 alleging that glyphosate-based
2 formulations caused non-Hodgkin's
3 lymphoma. You may not consider these
4 lawsuits as evidences that
5 glyphosate-based formulations cause
6 non-Hodgkin's lymphoma or that
7 glyphosate-based formulations caused
8 Mr. or Mrs. Pilliod's non-Hodgkin's
9 lymphoma. That would be improper.

10 The fact that the lawsuits were filed
11 does not make the allegations in them
12 true. You may consider these lawsuits as
13 evidence that Monsanto was on notice of
14 claims of non-Hodgkin's lymphoma before
15 Mr. Pilliod stopped spraying Roundup.

16 (End of stipulation.)

17 **THE COURT:** Okay. And now, Mr. Miller, you
18 may proceed.

19 **MR. MILLER:** Thank you, Your Honor.
20 We call our last medical expert, Dr. Chadi
21 Nabhan.

22 **THE COURT:** And, Dr. Nabhan, if you would
23 stand and be sworn.

24 ///

25 ///

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CHADI NABHAN,

called as a witness for the plaintiffs, having been duly sworn, testified as follows:

THE WITNESS: I do.

THE CLERK: Thank you. Please be seated.

And would you please state and spell your name for the record.

THE WITNESS: Chadi Nabhan. C-H-A-D-I, N-A-B-H-A-N.

THE COURT: All right. You may proceed.

MR. MILLER: Thank you, Your Honor.

DIRECT EXAMINATION

BY MR. MILLER:

Q. Good morning, Dr. Chadi Nabhan. How are you, sir?

A. Good morning.

Q. I appreciate you coming.

Where did you come from to talk with us today?

A. Chicago, Illinois.

Q. And tell us a little bit about yourself.

A. I'm a hematologist and medical oncologist by training. I did my fellowship at Northwestern University in Chicago, preceded by residency at Loyola University. And prior to my residency, I did a couple of years of research at Mass General and Harvard Medical

1 School in bench research mainly.

2 After I finished my fellowship, I practiced at
3 two major institutions in the Chicago area. One is
4 Advocate Health Care and the other is the University of
5 Chicago until 2016.

6 I don't know how far you want me to go or how
7 forward I should go.

8 Q. Well, that's fine.

9 A. No problem.

10 Q. You became -- we heard about you go to medical
11 school, you do a residency, and then you can do a
12 fellowship?

13 A. Yeah. So I did my residency was from '95 to
14 '98. I took a year off, and I did primary care from '98
15 to '99 in an underserved area in the south side of
16 Chicago. And in '99 I went back to do my fellowship at
17 Northwestern University from '99 until 2002.

18 I'm board certified in hematology, oncology,
19 and internal medicine.

20 Q. Is that what we call triple-boarded?

21 A. That I'm boarded in three specialties.

22 Q. What states are you licensed to practice
23 medicine in, Dr. Nabhan?

24 A. The states of Illinois, Wisconsin, Indiana,
25 Florida, and California.

1 **Q.** You've told us you were at University of
2 Chicago Medical School. What did you do there?

3 **A.** So at the University of Chicago, I was there
4 from 2013 until the late summer of 2016. I did clinical
5 work, teaching, and administrative work.

6 My clinical work was essentially lymphoma. I
7 mean, I treated patients with lymphoma, all kind of
8 lymphoid malignancies.

9 And that was the topic of my research as well
10 in terms of clinical trials in lymphoid malignancies,
11 various types of lymphoid malignancies.

12 On the administrative side, I was the director
13 of the cancer center from the clinical operations
14 standpoint. So I was in charge of the throughput, how
15 patients get seen, referrals, and making sure that we
16 maintain a good network with the university as well as
17 the community surrounding the university.

18 So my official title was director of the
19 clinical cancer center. And I was an associate
20 professor of medicine. And then I left the University
21 of Chicago in August 2016.

22 **Q.** How many non-Hodgkin's lymphoma patients did
23 you treat in an average week?

24 **A.** So, I mean, that's all I saw when I was there.
25 I had a very small practice, about 10 percent with

1 prostate cancer. But I would say probably about 40 a
2 week, give and take, depending whether I have a busy
3 clinic or not busy clinic. That's between new patients
4 and returns.

5 I had a lot of patients that were sent to me,
6 complicated cases from the surrounding community. You
7 know, oftentimes if there are difficult lymphoma cases
8 that are seen, the community oncologist would text you
9 or call you and just ask your opinion or send a patient
10 to you and so forth. So --

11 Q. During your many years of treating
12 non-Hodgkin's lymphoma, did you treat diffuse large
13 B-cell?

14 A. You have to. It's the most common one. About
15 one-third of non-Hodgkin's lymphoma is diffuse large
16 B-cell lymphoma. So obviously it represents a big
17 portion of the practice that I had.

18 Q. And we've already heard about Alberta's
19 cancer, primary central nervous system lymphoma. Did
20 you also treat that?

21 A. Yeah, actually I did. And I was a
22 co-investigator on the clinical trial that you just
23 heard that was ran by Dr. James Rubenstein at UCSF
24 because the MT-R regimen that was published in 2013
25 became a regimen that we all wanted to use. And the

1 question actually moved on into after patients complete
2 that regimen, should they undergo the, quote, unquote,
3 EA chemotherapy, which Mrs. Pilliod did receive, or
4 should they undergo autologous stem cell transplant.

5 So there was a randomized trial that was
6 ongoing, and I was the local investigator at the
7 University of Chicago. So I was the principal
8 investigator and he was the national investigator. So I
9 had actually a lot of patients with primary CNS lymphoma
10 that I saw when I was there.

11 Q. All right. Now --

12 THE COURT: Hang on a second.

13 Could you slow down just a little bit.

14 THE WITNESS: My apologies, Your Honor.

15 THE COURT: That's okay.

16 Can we just take one quick break to get my
17 realtime?

18 MR. MILLER: Of course.

19 (Pause in the proceedings.)

20 BY MR. MILLER:

21 Q. All right. So how many years did you treat
22 non-Hodgkin's lymphoma patients?

23 A. Well, again, I started my fellowship training
24 in 1999, so 20 years, I mean, obviously in different
25 capacities between fellow and being faculty and

1 attending. I have seen some of these patients when I
2 was a resident in '95 to '98. But I've been treating
3 lymphoma patients for the past -- since 1999.

4 Q. Okay. And you didn't have enough education,
5 you went back and got another degree?

6 A. Yes, at the dismay of my family and my twin
7 boys, I did. I decided to go back to school and get an
8 MBA, master's of business administration, focusing on
9 health care management. And really the -- what sparked
10 this is a lot of changes happening in health care, drug
11 prices going up, drug prices going down. There's a lot
12 of economics that intersect with medicine. I've seen
13 that in my practice when patients come in and they ask a
14 lot of questions in terms of how things affect their
15 treatment.

16 In addition, the way to operate the clinical
17 cancer center -- we had about 48,000 visits a year --
18 required a little bit more understanding of the business
19 aspect.

20 So I decided, you know, it's good to try to
21 take a little bit of time in my spare time on the
22 weekends and go back to school. So I did that actually
23 full-time for two years from '014 to '016 at Loyola
24 University Quinlan School of Business.

25 Q. And you obtained a master's in business

1 administration?

2 A. I did.

3 Q. And when did you stop full-time treating
4 patients for non-Hodgkin's lymphoma?

5 A. August 12th, 2016.

6 Q. Okay. And where did you go to work then?

7 A. So my plan actually was to stay -- to stay on
8 the provider side with health care, but I had an
9 opportunity to hopefully impact patient care at a larger
10 and broader scale, and I was recruited to be chief
11 medical officer at one of the divisions at Cardinal
12 Health.

13 Cardinal Health is a health care company
14 mainly based in the U.S., at one of their divisions
15 called Specialty Solutions that is composed of about six
16 business units. And they recruited me to be the chief
17 medical officer of that division, the Specialty
18 Solutions. So I accepted that offer and I joined the
19 company the first week of September 2016.

20 Q. Okay. How long were you at Cardinal Health?

21 A. For two and a half years.

22 And currently I joined a much smaller company,
23 for various reasons, basically because it has more of a
24 global presence outside the U.S. I always considered
25 myself and my journey is about continuing to learn and

1 understand more and challenge myself. So the smaller
2 company provided me with two opportunities.

3 Number one is they have a presence in the
4 European market, and I wanted to understand what happens
5 in the EU versus the U.S. I think it goes without --
6 everybody knows in this courtroom that there are various
7 differences in how health care is delivered here in the
8 EU, and being with this new company, smaller company,
9 called Aptitude Health allows me to actually understand
10 what happens with the European markets and the European
11 investigators. So I work a lot with the lymphoma in the
12 EU as opposed to just the U.S.

13 And the other thing that really was intriguing
14 to me, it provided me with an opportunity to be more of
15 a mentor and be in charge of one clinical department.

16 When I was at Cardinal Health, I was almost as
17 a shared service between all the business units. I
18 should describe there are six business units and I had
19 to -- I was almost a shared service for all of them.
20 And this one was much smaller. So I have the 20 people
21 who are a team of scientists and Ph.D.s and MPHs, and I
22 work with them to try to figure out how we can actually
23 move the needle forward.

24 So it just gave me different opportunities
25 that I didn't have. And it wasn't an easy decision,

1 it's never an easy decision, but it's the right decision
2 for me.

3 Q. So during your two years at Cardinal Health,
4 did you still use your experience as a treating
5 oncologist in the job?

6 A. Two and a half years, counsel.

7 Q. Two and a half years.

8 A. But, yes. I mean, I think again my role is to
9 use that expertise as a medical oncologist and a
10 hematologist in working with manufacturers as well as
11 medical oncologists and hematologists. Who essentially
12 I sat in the middle between two major stakeholders that
13 are interested in oncology. Obviously my services to
14 each stakeholder were different, but I had to use that
15 expertise to make sure that's part of my job.

16 The research that I did when I was there and I
17 continue to do now is focus on health economics outcomes
18 research, essentially in lymphomas and leukemias.

19 In fact, I was just invited last week by the
20 American Society of Hematology to be an abstract
21 reviewer for the lymphoma section that's being submitted
22 to -- in December 2019. And the American Society of
23 Hematology is our largest society and the largest
24 society of hematologists in the world.

25 So, yes, part of my role is to continue to be

1 engaged and involved in research but at much broader
2 scale as to what I was doing at one institution one
3 hospital at a time.

4 Q. Let's walk this forward. It's late April, I
5 think. May and June, are you going anywhere to lecture
6 people about non-Hodgkin's lymphoma?

7 A. So every June, Chicago hosts the American
8 Society of Clinical Oncology. We've been hosting that
9 meeting for the past 10 years. I think people like to
10 travel to Chicago in the summer.

11 So from -- you know, the American Society of
12 Clinical Oncology will happen in Chicago. Basically the
13 weekend after Memorial Day for four days. So from
14 May 31st until June 4th. And you will have 25- to
15 30,000 oncologists in the Chicago area. And obviously I
16 will be there. We have a couple of poster presentations
17 at that meeting.

18 And then after that, there are two major
19 meetings that take place. One is -- for short, we call
20 it EHA, which is the European Hematology Association,
21 which is taking place in Amsterdam.

22 Q. Will you go to that?

23 A. Yes.

24 Q. Will you be presenting there?

25 A. At EHA I will be moderating. I'll be

1 moderating a session of leukemia for European leukemia
2 investigators.

3 But I'm actually very excited about the
4 meeting after that. Not that I'm not excited about EHA,
5 but the meeting after that is called ICML, which is the
6 International Congress for Malignant Lymphoma. This is
7 by far the largest and the best lymphoma meeting in the
8 world.

9 It actually is -- you know, I remember going
10 there as a fellow, and it's just an amazing meeting. It
11 started in 1981 with only 60 people, and now it grew to
12 over 5,000. And it still happens in a small town in
13 Switzerland called Lugano.

14 So at that meeting, I am moderating two
15 sessions. One of these sessions gathering again
16 European lymphoma investigators and talking about all of
17 the new updates that have been presented at that
18 meeting, as well as the EHA meeting, and the impact on
19 clinical practice.

20 I think when you do this as long as I have,
21 you realize that sometimes research doesn't really
22 translate into clinical practice every day. There's a
23 huge gap. And one of my roles is to try to understand
24 why this happens. If you have an effective therapy that
25 is working, why does it really take a couple years, for

1 example, until you have the uptake in the health care
2 community.

3 The other meeting I'm moderating at that
4 Congress is on CAR-T cellular therapy. And CAR-T is
5 literally the newest thing in lymphoma and it has
6 probably saved a lot of patients' lives over the last
7 couple of years. And it is available in the U.S. It's
8 not available in Europe yet, as much as the European
9 patients need it, because the payor system is very
10 different there. And it's very regional and it's
11 whether it's the EU versus each country.

12 So I'm gathering 10 investigators from each
13 different European country and we're going to talk about
14 CAR-T and specifically the logistical challenges and
15 what things need to be done to improve on that.

16 And the goal is after that meeting to have a
17 consensus paper that we bring out that will allow people
18 to address these logistical challenges.

19 So I'm really very excited about both of these
20 meetings, aside from mingling with other investigators
21 and getting connected and seeing what else is happening.

22 **Q.** We're going to talk more about some of your
23 research projects in a minute. But have you had the
24 opportunity to teach the upcoming generation of
25 oncologists; is that something you've done?

1 **A.** Yes. I've been very blessed and very thankful
2 to do that.

3 Now, my teaching was more structured when I
4 was at the university, of course, because you actually
5 have students and residents and fellows come to your
6 clinic and see you, and you see patients with them and
7 they see patients with you and you discuss these cases.

8 But right now it's a little bit more mentoring
9 from afar as well as teaching the students and fellows a
10 little bit differently.

11 It actually became a little bit broader that
12 when I lecture and talk to oncologists who are in
13 practice, you know, you're teaching the people who are
14 already practicing which also gives you a little bit
15 more of a different gratification and satisfaction.

16 **Q.** So when we say teaching fellows, you're
17 teaching young men or women who are going on to sit for
18 the board exams; is that right?

19 **A.** Yes, but that's when I was at the University
20 of Chicago. I had fellows and residents and students
21 who actually come to my clinic. And especially the ones
22 who are interested in lymphoma. And we would see
23 patients together and we would teach them and go through
24 articles and so forth.

25 It's more structured when you are in a

1 university setting. So I don't have that currently at
2 my current position in terms of having actual clinic
3 that they come to.

4 Q. When you were at the University of Chicago and
5 you taught fellows, what percentage of your fellows went
6 on to pass?

7 A. I -- I can't take credit as the only person
8 who taught them. I think hopefully everybody
9 understands that it's a teamwork and I'm not the only
10 teacher and there are many other teachers.

11 But we certainly have very good pass rate at
12 the University of Chicago, close to 100 percent. So
13 we're very blessed. But I can't take credit for that.
14 I'm just one of the team.

15 Q. It takes a village?

16 A. Yes.

17 Q. Okay. Let's take a minute and look at your
18 CV. Then we'll move off qualifications if we could.

19 MR. MILLER: Permission to publish
20 Exhibit 3045.

21 THE WITNESS: Am I supposed to look at
22 something here?

23 MR. MILLER: Yes. It should be up there.
24 It's already up there, Your Honor.

25 THE COURT: Thank you.

1 **MR. MILLER:** In that -- it will be on the
2 screen in a minute. Maybe we'll have to ask. It should
3 be the first exhibit.

4 **MR. ISMAIL:** No objection, Your Honor.

5 **MR. MILLER:** Well, let's publish that.

6 (Exhibit published.)

7 **BY MR. MILLER:**

8 **Q.** And I want to go -- this is your CV. We've
9 redacted your home address and e-mail. But is that your
10 CV?

11 **A.** Yes.

12 **Q.** Okay. And I just want to look at a few
13 things.

14 **MR. MILLER:** Page 8, if we could blow up the
15 licensing and board certifications there.

16 (Exhibit published.)

17 **BY MR. MILLER:**

18 **Q.** Your license are certified by all of these
19 organizations?

20 **A.** There's only one update that I think this was
21 an older version. The State of California, I just
22 renewed it to 2021. So it currently says 2019
23 August 31st, but it's through 2021.

24 And -- well, you have the internal medicine
25 2020 correct.

1 **Q.** Okay. Let's go to page 9, if we could. And I
2 know you don't like to brag, but I want to look at some
3 of this. 2016, you were selected one of the top doctors
4 by *Chicago* magazine?

5 **A.** I was.

6 **Q.** 2015, you were selected by Castle Connolly as
7 one of the top doctors in America?

8 **A.** I was.

9 **Q.** And then in 2015, you were also selected as a
10 top cancer doctor in the United States by *Newsweek*
11 *Health*?

12 **A.** Yes.

13 **Q.** Let's go to some of your scholarly
14 publications. We won't go over them all, but just to
15 summarize it. You've published, it looks like
16 159 articles in the peer-reviewed literature?

17 **A.** No, it's actually over 300. The 150-plus were
18 the original contributions. And then about 150 of
19 editorials, commentaries, and review articles. So in
20 total I have over 300 between abstracts and papers and
21 so forth.

22 **Q.** Okay. And I don't want to look at all of
23 them, but I want to ask about some of them. If we could
24 go to page 11, you were an author on number 4. And I
25 always say this wrong: Lenalidomide?

1 **A.** Yes, this is actually -- I love this study
2 because this is what we call an investigator-initiated
3 trial. This is a concept that I thought about of adding
4 lenalidomide, or Revlimid, to chemotherapy in patients
5 who had double-hit lymphoma or double-expressor lymphoma
6 which are the ones who co-express the BCL2 and the MYC.

7 So standard, anybody right now today in 2019,
8 that comes in with diffuse large B-cell lymphoma, we
9 check for the MYC and BCL2, these are oncogenes, because
10 we want to know if they have what we call double-hit or
11 not. It does affect management sometimes.

12 So I thought of this idea back in 2013. And
13 it was so humbling to actually see it finally in print,
14 and it just got published a couple weeks ago actually in
15 *Cancer*, February 1st, 2019.

16 And the first author -- we are both coauthors,
17 me and Dr. Godfrey -- he was actually a graduating
18 fellow, and I told him that, you know, he can get the
19 first authorship with me, allows him to get a little bit
20 more exposure, part of mentoring him, and this was
21 obviously three years -- almost three years after I left
22 the University of Chicago. But that relationship
23 continues forever.

24 **Q.** And I bring it up for a couple of reasons, but
25 one of them is that is the drug that Alberta Pilliod is

1 currently on, isn't it?

2 A. Yes. She is currently on that. But she did
3 not have the disease that the trial was talking about.

4 Q. Oh, I understand.

5 A. Sure.

6 Q. She had another type of B-cell called
7 primarily central nervous system; right?

8 A. Correct.

9 Q. All right. Let's go to number 9 and look at
10 that article you just published in 2018,
11 "Prognostication and treatment of diffuse large B-cell";
12 right?

13 A. Yes.

14 Q. Is something you've studied over the last
15 20 years?

16 A. Yes. I mean, when you do lymphoma as I did,
17 you have to understand the prognosis, the treatment, all
18 of these things.

19 Q. You also wrote, if we turn to tab 16 -- I'm
20 not going to go through every one of them, but I want to
21 go through a few -- "Reengineering critical laboratory
22 testing for timely chemotherapeutic management."

23 A. Yeah. That's part actually -- remember, I
24 told you I was the director of the clinical cancer
25 center in trying to understand what patients go through.

1 Hopefully none of you here have had to go through this.
2 But many times patients go to the clinic, they get their
3 blood drawn, and they're waiting for an hour and a half
4 until they get the results of the blood work before they
5 receive the chemotherapy because if the blood work is
6 not good, you don't get chemotherapy. If the blood work
7 is good, you get chemotherapy. And you see the wait
8 area full of patients.

9 And it really bothered me because it's just --
10 I mean, we should do a little better efficiency for
11 patients. So I worked with the lab at the University of
12 Chicago and said what can we actually do to make sure we
13 have faster turnaround time of this blood work so at
14 least patients sitting for an hour to get their blood
15 test, they wait 15 minutes.

16 And we were actually able to reconfigure the
17 entire operation to make it easier for patients, and we
18 presented that at the national meeting and then we
19 published it.

20 **Q.** All right. Now, you know later we're going to
21 talk about Monsanto's theory that somehow the Pilliods
22 were immunocompromised; you're aware we're going to have
23 that conversation?

24 **A.** I'm sure we will.

25 **Q.** Yeah. Let's go to tab 30 of your

1 publications. And I just want to point out, you've
2 apparently written on this subject before.

3 "Impact of treatment variability on survival
4 of immunocompetent and immunocompromised patients with
5 primary central nervous lymphoma."

6 You're one of the authors?

7 **A.** Yes.

8 **Q.** Wrote about this back in 2017?

9 **A.** It was a lot of work, actually. We collected
10 a lot of data on over 100 patients with primary CNS
11 lymphoma from across all the Chicago institutions.

12 The first author was also a junior
13 investigator at the time, and we worked together. She's
14 currently at Northwestern. But, yes, I mean, we
15 basically tried to understand -- it was focused on
16 treatment, right? It was focused on how do patients
17 with primary CNS lymphoma get treated in the community
18 setting outside of a clinical trial. And that's really
19 the immunocompromised state or the immunocompetent state
20 have an impact on the outcomes and the prognosis. That
21 was really the gist of the paper.

22 **Q.** All right. Just a few more.

23 Page 14, tab 37. What was this study about?

24 "Surveillance imaging for Hodgkin and diffuse large
25 B-cell patients who are in remission."

1 **A.** Yes, so this was published in *JAMA*. And
2 frankly it sparked my interest because when you do what
3 I do and you see a lot of patients who come in with
4 lymphoma, you see a lot of variability in the way
5 patients are being managed outside, as well as a lot of
6 variability in the diagnostic testing and the imaging
7 studies that they have.

8 So I really wanted to provide guidance and
9 guidelines into patients who have diffuse large B-cell
10 lymphoma or Hodgkin at the time. When they finish
11 treatment and they are in remission, what is the optimum
12 way to survey these patients and what type of imaging
13 studies should they have. Because it was all over the
14 board.

15 And I think that I found this to be very
16 important to clarify. And I was humbled the *JAMA*, which
17 is one of the major journals in the world, liked it and
18 accepted it for publication.

19 **Q.** When we say *JAMA*, we mean *Journal of American*
20 *Medical Association*; right?

21 **A.** Correct.

22 **Q.** And you've been an editor for them, haven't
23 you?

24 **A.** I've been a reviewer for them, but I am on the
25 editorial board of *JAMA Oncology*. So *JAMA*, as a

1 journal, they have various -- there's *JAMA Cardiology*,
2 *JAMA Pediatrics*, *JAMA Surgery*, *JAMA Psychiatry*, and
3 there's *JAMA Oncology*. And I've served on the editorial
4 board of *JAMA Oncology* since 2014 which is actually
5 since the year it was incepted. And so far actually
6 it's been very popular. And the impact factor of that
7 journal exceeds 20 right now which is, again, a very
8 pleasant experience to be part of a team that actually
9 made that happen.

10 Q. Okay. I want to go a few more.

11 Page 17, article 69, and highlight that.

12 (Exhibit published.)

13 **BY MR. MILLER:**

14 Q. You did an analysis of very elderly
15 non-Hodgkin's lymphoma, impact of functional status and
16 comorbidities on outcome. You published that in 2011?

17 A. Yeah, e-pub, which is electronically in 2011,
18 it was in print in 2012.

19 And again, part of my -- I had been always
20 interested in elderly patients with cancer, specifically
21 lymphoma. I mean, all cancers, the older we get, the
22 sicker we are going to be, that's just a fact, just the
23 way it is. But I think it was very interesting that
24 patients with lymphoma who are older sometimes may not
25 be managed similarly to people who are younger. And it

1 was important for us to figure out why is that and what
2 are the factors that play a role in decision-making for
3 somebody when they have a type of lymphoma.

4 So this was 300-plus patients actually.
5 Again, retrospective analysis of many patients that were
6 treated in the Chicago area that we looked at.

7 Q. Just two more on this page.

8 79, you've published on ulcerative colitis and
9 that relationship with cancer, haven't you, sir?

10 A. Yes. I mean, I'm not a gastroenterologist,
11 but this was a paper where we found particular unusual
12 presentation of a cancer in somebody with ulcerative
13 colitis. And my fellow at the time, who is currently a
14 practicing oncologist, Dr. Ragam, published that as a
15 case report in the *Journal of Clinical Oncology*.

16 Q. Okay. And you again, in 2007, number 80,
17 published an article on Hodgkin's lymphoma involving the
18 central nervous system; is that right, sir?

19 A. Yes.

20 Q. Just about done with that.

21 I want to talk about some of your clinical
22 trials that you've conducted. Will you go, please, to
23 page 39.

24 At the bottom of the page there, it looks like
25 you were an investigator for three years in elderly

1 patients with diffuse large B-cell level who are deemed
2 suboptimal for R-CHOP.

3 Just generally what was that about?

4 **A.** So IIT by the way, stands -- just alone before
5 the title, stands for "investigator-initiated trial,"
6 which means that me as the investigator think of the
7 idea, and then try to seek funding for the idea either
8 from the manufacturer or particular drug that I am
9 investigating or sometimes from a cooperative group or
10 the National Cancer Institute or whatever it is.

11 So again it goes back to the same theme that
12 patients who are older sometimes don't get treated in
13 the same way. This is, by the way, well-known fact. I
14 mean, there's no -- this is just a fact that older
15 patients don't always receive the same treatment as
16 younger patients. There's a perceived -- some
17 physicians perceive they may not tolerate therapy and
18 many other patients could have other comorbidities,
19 heart disease, other things they may preclude the right
20 therapy.

21 So in my practices, there are some patients
22 who would not be able to receive R-CHOP, which is the
23 standard therapy for the majority of patients with
24 diffuse large B-cell lymphoma. So I designed the study
25 which combined -- took away two very aggressive

1 treatments with the R-CHOP and replaced them with two
2 other compounds. And we designed this trial at the
3 time. And obviously I left in 2016 so, you know, it was
4 picked up by somebody else.

5 Q. Okay. And I bring it up because it was Al
6 Pilliod who had R-CHOP; right?

7 A. Yes, he did.

8 Q. Now let's just go to the next page.

9 Suffice to say you've done research all the
10 way back to 2004 where you've received grants from
11 various people to study these issues we're talking
12 about?

13 A. I've been fortunate to do research studies in
14 the past. So I'm blessed with that.

15 Q. Several drug companies here. I'm not going to
16 name them all. But you've been asked or provided
17 funding by drug companies to look at various issues;
18 fair?

19 A. I have been, yes.

20 Q. If we go to page 51, I just want to look at
21 some of the journals that you've been a reviewer for.
22 Again remind us what is a journal reviewer?

23 A. So a journal reviewer is basically if somebody
24 submits a paper to a particular journal, usually the
25 editor of that section or the editor in chief, they do a

1 first pass on it and they say, okay, this is either
2 great paper, we're going to send it to other people to
3 review and decide if we accept or not, or this is
4 garbage, we're not going to even publish it, and they
5 just get rejected.

6 So I again review for a variety of journals.
7 My time is very limited so I don't always accept all of
8 the invitations to review. I've become very selective
9 into which articles I say yes to review or not.

10 But essentially you are part of the
11 decision-making for that journal. You review the paper,
12 you review the methods, and you say I reject it or I
13 accept it with revisions or I have the following
14 recommendations to strengthen it. And then you submit
15 your blinded review essentially to the editor or to the
16 journal.

17 There are several other people who are doing
18 the same. And then the journal makes the decision
19 whether they publish it or reject it or revise it.

20 Q. Okay. And those are the journals that you
21 have done this process for?

22 A. Yes.

23 Q. And I think right below that it mentions you
24 are on the editorial board we talked about at *JAMA*?

25 A. *JAMA Oncology*, yes.

1 **Q.** Okay. So I've got a question. Why have you
2 worked so hard to become the doctor you are today?

3 **A.** I don't know if this is the platform to share
4 this. But, I mean, some things happen by chance and
5 some things happen by plan. I really never thought
6 growing up I will be a physician. I thought initially I
7 was going to be journalist.

8 But, you know, one of those journalists who
9 writes an article and then the president resigns or
10 something. But that didn't happen.

11 I did actually well in medical -- when I was
12 in Syria, the system is if you score very high in high
13 school, at the top nationwide, you can pretty much
14 select and choose whichever school you want to go to.

15 And I chose medical school personally because
16 I felt that the human connection is honestly something
17 that is very difficult to replace.

18 I think the trust that patients have in their
19 physician is something that is noble and it's very
20 important to cherish forever. I mean, you basically are
21 trusting this individual that you're probably meeting
22 for the first time with your life essentially. And
23 sometimes you have to make a decision right away, do I
24 trust the decision or not trust, do I take the therapy
25 or not. Occasionally you get a second opinion, a third

1 opinion.

2 But that type of trust, in my opinion, in my
3 humble opinion is not something that is present in any
4 other specialty in the world or any other profession. I
5 mean, it's just you're trusting them with the thing that
6 is the most valuable to you which is your health and
7 life.

8 **MR. MILLER:** Your Honor, at this time, I move
9 Dr. Nabhan as an expert in the diagnosis, treatment,
10 prognosis of non-Hodgkin's lymphoma, including the
11 causes and risk factors of non-Hodgkin's lymphoma.

12 **THE COURT:** Voir dire?

13 **MR. ISMAIL:** Yes, Your Honor.

14 **VOIR DIRE EXAMINATION**

15 **BY MR. ISMAIL:**

16 **Q.** Good morning, Doctor.

17 **A.** Good morning.

18 **Q.** So what I'd like to do is cover some of your
19 background and your areas that you believe you have
20 expertise. Okay?

21 **A.** Sure.

22 **Q.** So I'd like to kind of work backwards and
23 focus on your current company called Aptitude Health.
24 Did I hear that correctly?

25 **A.** Yes, you did.

1 Q. And you're an executive vice president there?

2 A. And chief medical officer, yes.

3 Q. And your company describes itself as: We
4 provide the world's leading life sciences companies
5 physician access, market insights, and strategic
6 solutions to help translate clinical development into
7 clinical success in life-changing cancer treatments.

8 Is that a fair description of what Aptitude
9 Health does?

10 A. It's a fair description. It may not be
11 inclusive. You can't put everything on the website, but
12 it's certainly a fair description as an introduction.

13 Q. Great.

14 And focusing on your work there at Aptitude
15 Health. Do you still have your CV in front of you,
16 Exhibit 3045?

17 A. I can pull it.

18 Q. Terrific.

19 A. I do have that.

20 Q. All right. And I'm just waiting for our
21 screen to wake up over here.

22 If you don't mind, just go to page 2 of that.

23 **MR. ISMAIL:** Let's call out the first bullet
24 points.

25 (Exhibit published.)

1 **BY MR. ISMAIL:**

2 **Q.** So this is part of your résumé that you didn't
3 go over with Mr. Miller; correct?

4 **A.** It's part of the résumé, yes.

5 **Q.** Okay. So the first bullet point you
6 describe -- and this is in your own words when you
7 describe what you do today; correct?

8 **A.** Sure, yes.

9 **Q.** And so you say you're responsible for
10 oversight and educational contribution to the Aptitude
11 Health scientific content and publication teams
12 governing the U.S., EU, and global markets; right?

13 **A.** Yes.

14 **Q.** Now Aptitude Health, by and large, your
15 clients are the drug companies, pharmaceutical
16 companies; correct?

17 **A.** And the oncologists. We have essentially two
18 major clients, oncologists and manufacturers of oncology
19 products.

20 **Q.** Right. And so like, for example, this bullet
21 point here is describing how your company that you work
22 for helps write medical articles and provide content for
23 drug companies; correct?

24 **A.** And for oncologists, again. So oncologists do
25 participate in the research that we do. So we do -- as

1 I mentioned, we work with oncologists as well as with
2 manufacturers of oncology products. So you're partly
3 correct.

4 Q. Okay. And continuing further.

5 So, for example --

6 A. I'm sorry. The screen is gone. Oh, there it
7 is.

8 Q. So your second bullet point there is -- I'm
9 sorry -- the third bullet point describing what you do:
10 Consistently demonstrate an aptitude for analyzing
11 market dynamics, evaluating the challenges facing a
12 specific brand, identifying barriers and clinical
13 success factors, and recommending appropriate tactics
14 that overcome barriers and achieve successful goals.

15 Did I read that correctly?

16 A. Yes, you did.

17 Q. And then you share all that work with your
18 global colleagues who are at this company that you now
19 work for called Aptitude Health; correct?

20 A. Yes.

21 Q. And then you -- next bullet point down is you
22 develop presentations for capability/pitch presentations
23 with support from account services, scientific content,
24 finance, and SBD teams; correct?

25 A. Yes. So you obviously help in -- you try to

1 explain to the outside stakeholders, the oncologists,
2 the manufacturers, what -- you know, what is it that you
3 do, what are the products or the capabilities that you
4 have.

5 Q. Right. So capability pitch presentations,
6 those are like sales presentations; correct?

7 A. I call them capabilities and pitch
8 presentations. You may call them sales.

9 Q. Indeed you did.

10 And then you persuasively articulate the
11 Aptitude -- Aptitude's current value
12 proposition/services as a strategic partner to all
13 client interactions consistent with your company's
14 global strategy; right?

15 A. Yes.

16 Q. And then we can go down, this continues, for
17 example, one of the things you do is KOL, build a KOL
18 network; right?

19 A. Yes.

20 Q. KOL, that's an abbreviation for "key opinion
21 leader"?

22 A. Yes. So, for example, the meeting I just
23 described is going to be -- I'm going to moderate a
24 meeting with KOLs in the EU.

25 Q. I appreciate that, but let's just define

1 terms.

2 So key opinion leader, that is a physician who
3 is influential in a particular area that sometimes drug
4 companies will turn to to help talk about their
5 therapies on their behalf; correct?

6 A. Not entirely correct actually. Not just drug
7 companies. I mean, key opinion leaders are folks who
8 are investigators and researchers where community
9 oncologists also turn to for their guidance. Right? So
10 it's not just drug companies.

11 Q. Right. Sure. But what I said is accurate.
12 Key opinion leaders are employed or utilized by drug
13 companies, at least in part by drug companies, to do the
14 activities I just described. True?

15 A. They obviously are interested in their
16 opinions.

17 Q. Yes. And so you go out and you help recruit
18 these key opinion leaders in part to speak on behalf of
19 drug companies; correct?

20 A. Not to speak on behalf of the drug
21 companies --

22 (Simultaneous colloquy.)

23 **BY MR. ISMAIL:**

24 Q. On behalf of --

25 A. If you want me to explain what I do, I'm more

1 than happy to, but you just have to give me an
2 opportunity.

3 So they don't actually speak on behalf of the
4 company. They actually work with us. So my role is to
5 make sure I'm able to understand what is happening with
6 EU investigators, in U.S. investigators, because that
7 helps understand what happens to patients as well as to
8 drugs being manufactured.

9 Q. Thank you for that.

10 And you've been in this -- we can keep going
11 down this list, but you describe various other bullet
12 points here. You've been in this role at Aptitude
13 Health since, what, the beginning of February of 2019?

14 A. Yes, I joined February 2019.

15 Q. And obviously Aptitude Health does not provide
16 clinical care to patients directly. True?

17 A. No, we do not.

18 Q. So obviously in your role, you do not provide
19 clinical role to patients as an oncologist. True?

20 A. I don't have clinical practice right this
21 minute.

22 Q. Right.

23 And then you said you also immediately prior
24 to that, worked for this company called Cardinal Health?

25 A. That's correct.

1 Q. And Cardinal Health is also a company that was
2 not -- is not involved in clinical care; true?

3 A. True.

4 Q. And we can go through similarly your bullet
5 points here where you describe sort of the things you
6 did for Cardinal Health.

7 You worked with internal sales force in
8 training methodologies to improve profitable growth for
9 fiscal year '17 and fiscal year '18, for example; that's
10 one of the bullet points you've got here?

11 A. Sure.

12 Q. And you worked for Cardinal Health for, what,
13 two and a half years?

14 A. Two and a half years.

15 Q. So when you were asked by -- and obviously you
16 didn't treat any patients at Cardinal Health; correct?

17 A. No, I did not.

18 Q. So Mr. Miller asked you when did you stop
19 treating patients full-time, and you said August of
20 2016. Do you recall that?

21 A. August 12th, 2016.

22 Q. And in fairness, you stopped treating patients
23 entirely as of August 2016; true?

24 A. That's what I said.

25 Q. So it wasn't just full-time versus part-time,

1 you stopped treating patients altogether in August of
2 2016; right?

3 A. Yes. Currently my role is in administration
4 and research.

5 Q. And you've not clinically treated a patient
6 then since August 2016; correct?

7 A. I have not clinically treated a patient since
8 August 2016.

9 Q. And you do not have any hospital privileges in
10 any hospital in Chicago or elsewhere; correct?

11 A. No, I resigned those.

12 Q. And you resigned those as of August of 2016;
13 correct?

14 A. I think the last one was probably
15 January 2017. As you know, to have hospital privileges,
16 you have to admit patients and have patients in-house.
17 So I didn't have that so that's why I resigned them.

18 Q. And you talked with Mr. Miller about some of
19 your publications.

20 A. Yes.

21 Q. It is true that you've not published any
22 review article or original data about Roundup or
23 glyphosate; correct?

24 A. That is correct.

25 Q. You've not conducted any scientific research

1 involving pesticides at all; correct?

2 A. Correct.

3 Q. Or herbicides or Roundup specifically;
4 correct?

5 A. Correct.

6 Q. You've never been involved with the testing of
7 any chemical products; true?

8 A. Define "chemical product," please. I mean, I
9 did chemotherapy so everything I've done was with -- can
10 you define it?

11 Q. So I don't mean a pharmaceutical agent.

12 So any -- you have not been involved in the
13 testing of any pesticide, herbicide, anything of the
14 sort?

15 A. Not pesticides or herbicides, no.

16 Q. You never -- the jury's heard a lot about
17 rodent studies and animal cancer bioassays throughout
18 this trial. You've never conducted an animal cancer
19 bioassay; true?

20 A. No, I have not.

21 Q. You've never conducted an experimental
22 genotoxicity study; true?

23 A. I have not.

24 Q. Now with respect to the papers on your CV that
25 discuss, for example, diffuse large B-cell lymphoma,

1 none of those articles relate to the cause or causes of
2 that condition; true?

3 A. Yeah, not necessarily. The focus was mainly
4 investigational therapy and treatments and prognosis.

5 Q. Okay. So what I said is true; right?

6 A. Yes.

7 Q. Now, you are not now nor have you ever been an
8 epidemiologist; correct?

9 A. No, but I have to interpret epidemiology.

10 Q. So the answer is yes, you are not --

11 (Simultaneous colloquy.)

12 **THE WITNESS:** The answer is I'm not a trained
13 epidemiologist. As a clinician, I have to interpret the
14 epidemiology data.

15 **BY MR. ISMAIL:**

16 Q. You are not now nor have you ever been a
17 toxicologist; true?

18 A. That's correct.

19 Q. You do not consider yourself an expert in
20 genotoxicity; correct?

21 A. I'm not.

22 Q. You don't consider yourself an expert to
23 animal studies either; correct?

24 A. No. But I have to interpret them because for
25 clinical practice.

1 **Q.** And in turn, I don't know if you're going to
2 get into this with Mr. Miller this afternoon, but in
3 this case you briefly looked at some of the animal and
4 genotoxicity data?

5 **MR. MILLER:** Your Honor, I object. We're
6 getting past qualification. He's trying to get into his
7 cross-examination now.

8 **THE COURT:** Why don't we dial back to
9 specifically --

10 **MR. ISMAIL:** Sure.

11 **THE COURT:** -- the qualifications.

12 **BY MR. ISMAIL:**

13 **Q.** Okay. So I guess we'll wait and see if you
14 talk about the animal and genotoxicity data, and I'll
15 save that question for this afternoon.

16 **A.** Please do.

17 **Q.** And in terms of your appearance here today,
18 you're being compensated for your time; correct?

19 **A.** Yes, I am.

20 **Q.** And on behalf of the -- Mr. Pilliod and
21 Mrs. Pilliod --

22 **MR. MILLER:** This is not qualification. This
23 is cross-examination. How much he's been paid isn't
24 qualification.

25 **MR. ISMAIL:** I'm happy to reserve that for

1 this afternoon as well.

2 **THE COURT:** Yeah, sustained as to all of them.

3 **MR. ISMAIL:** I will do that.

4 **Q.** So, Doctor, why don't I at this point I'll
5 hand you back to Mr. Miller, and then you and I will
6 continue our conversation this afternoon.

7 **A.** Looking forward to it.

8 **Q.** Perfect. Thanks a lot.

9 **MR. MILLER:** Your Honor, I move Dr. Nabhan as
10 an expert as articulated and ask the Court to accept him
11 as so.

12 **THE COURT:** Is there an objection?

13 **MR. ISMAIL:** Subject to prior briefing,
14 Your Honor, and the Court's rulings on some of the
15 limitations thereof, then we may proceed.

16 **THE COURT:** Okay.

17 **DIRECT EXAMINATION (Resumed)**

18 **BY MR. MILLER:**

19 **Q.** All right. Dr. Nabhan, prior to my law firm
20 calling you, were you ever an expert in your life?

21 **A.** No, I have never done any expert work, any
22 litigation work. And I actually was called a lot, but I
23 never took the calls, and the ones I did I've always
24 declined.

25 **Q.** Well, I'm flattered, because April 2016 you

1 took a call from a young lawyer that worked for me,
2 didn't you?

3 A. Yes, I did.

4 Q. What did he ask you?

5 A. In the spring of 2016, I was called by a
6 couple of the lawyers that worked in the Miller firm,
7 and the first question was whether I -- you know, what's
8 my opinion about pesticides and non-Hodgkin's lymphoma,
9 and I said it's really common knowledge for anybody who
10 does lymphoma that pesticides do cause non-Hodgkin's
11 lymphoma. It's not something that we dispute in the
12 lymphoma world.

13 And he asked me whether I have any knowledge
14 or opinion about Roundup and non-Hodgkin's lymphoma.
15 And I said, no, actually I don't know about Roundup and
16 non-Hodgkin's lymphoma. I will need to look into that
17 or research it because I haven't really known any of the
18 data on Roundup and non-Hodgkin's lymphoma prior to that
19 call.

20 Q. And on that call, did we ask you to look at a
21 bunch of stuff?

22 A. Yes, you did ask. And with all due respect, I
23 do usually my own research as well because that's how I
24 do it. But I said you can send me what you have and I'm
25 going to do my own research as well into the matter, and

1 I can't commit to any of this until I spend some time
2 and understand really what's going on. And I think
3 the -- it took me about three months until we connected
4 again.

5 Q. Okay. So April 2016 you took a call from our
6 law firm. We sent you a bunch of documents.

7 A. Yes.

8 Q. We sent you internal Monsanto documents.

9 A. Yes, you did.

10 Q. Do you remember having to sign a
11 confidentiality agreement?

12 A. Yes. I signed a lot of things.

13 Q. Okay. So you looked at those. You looked at
14 literature we sent you?

15 A. Yes, as well as literature I researched on my
16 own.

17 Q. And you did your own research. And there
18 finally came a time when you called us back and told us
19 what?

20 A. So I think sometime it was either mid or late
21 July, I think about three months after the first call,
22 and I contacted you again and I said I've completed my
23 search, I have very good knowledge of the subject matter
24 and I strongly believe that Roundup does cause
25 non-Hodgkin's lymphoma.

1 Q. Okay. Did I send a young lawyer to Chicago to
2 sit down and visit with you?

3 A. Yes, you did.

4 Q. And after that visit, did you look at more
5 materials?

6 A. Yes. I mean, I saw a lot of documents and a
7 lot of material since then.

8 Q. So we know it was April when we first talked
9 to you, April 2016, and you looked at documents. It was
10 a year after that, April 2017, when you wrote your first
11 report?

12 A. Yes, it was April 2017.

13 Q. So 12 months of part-time research, because
14 you have this full-time job, I guess; right?

15 A. Yes.

16 Q. And you wrote a written report for us?

17 A. Yes, I did.

18 Q. And let's ask you now. All your opinions that
19 you give in this courtroom we're going to ask you to
20 give only if you hold them to a reasonable degree of
21 medical certainty. Okay?

22 A. Of course.

23 Q. Do you have an opinion whether Roundup causes
24 non-Hodgkin's lymphoma?

25 A. I do have an opinion.

1 **Q.** Okay. And then we're going to get to Al and
2 Alberta. About a year later I sent you their stuff.
3 But let's just stick with the general stuff.

4 After you wrote a report explaining how
5 Roundup in fact does cause non-Hodgkin's lymphoma, did
6 Monsanto have the opportunity to take your deposition?

7 **A.** Yes. It was August 2017 where my deposition
8 was taken. I believe it was August, I think.

9 **Q.** Over 14 hours they asked you questions about
10 your opinion; right? Or 12 hours?

11 **MR. ISMAIL:** Objection. Relevance,
12 Your Honor.

13 **THE WITNESS:** Yeah.

14 **THE COURT:** Overruled. He can answer.

15 **THE WITNESS:** Yeah. Yes, in total. I mean, I
16 had one deposition in August, I think 2017. And I think
17 there was another one in January 2018, I believe. So in
18 total somewhere between 10 to 12 hours, 10 to 14,
19 something like that.

20 **BY MR. MILLER:**

21 **Q.** After 12 hours with some pretty smart lawyers
22 asking you questions, did they change your opinion that
23 Roundup causes non-Hodgkin's lymphoma?

24 **A.** No. The facts are the facts.

25 **Q.** Is it a hard call?

1 **A.** Not at this point.

2 **Q.** Okay. So we told you we'd pay you for your
3 time; is that right?

4 **A.** I hope everybody in this courtroom is getting
5 paid for their time as well.

6 **Q.** How much do we pay you an hour?

7 **A.** \$550 an hour.

8 **Q.** Okay. And there came a time after you told us
9 that Roundup causes non-Hodgkin's lymphoma and after
10 Monsanto's lawyers questioned you for 12 hours, that I
11 called you back, didn't I, and I said: Hey, would you
12 look at Al and Alberta Pilliods' case?

13 **A.** Yes, you did call me and ask me to look at
14 their case.

15 **Q.** About how big a stack of records did I send
16 you about Al and Alberta Pilliod?

17 **A.** Thousands of pages. Thousands of pages.

18 **Q.** Okay. And when you had received them, you had
19 already published about ulcerative colitis in its
20 relationship to cancer, hadn't you? We looked at that
21 earlier.

22 **A.** Yes, I did. But, again, I just want to make
23 sure. Again, that was a case report. Did not
24 necessarily look --

25 **Q.** That's right.

1 **A.** I just want to make sure I provide context,
2 you know. The ulcerative colitis which was a case
3 report I wrote on association with a particular cancer
4 was not ulcerative colitis and lymphoma.

5 But obviously in my practice I know about
6 relationship between inflammatory bowel disease,
7 lymphomas, and so forth. I'm more than happy to talk
8 about that when the time comes.

9 **Q.** Sure. So I send you all of the medical
10 records; right?

11 **A.** Yes.

12 **Q.** And I even flew them up to Chicago, didn't I?

13 **A.** Yes. I did meet them in December 2018, I
14 think either December 16 or 17. I remember it was one
15 or two days before I had to travel overseas.

16 **Q.** And so you interviewed them?

17 **A.** I interviewed them, I examined them, and we
18 talked.

19 **Q.** Okay. And when you talked, did they tell you
20 how much Roundup they used?

21 **A.** Actually, the first -- when I asked, because I
22 like to ask open-ended question, and I just said, you
23 know: How much did you spray? How much exposure did
24 you have?

25 And I recall the initial answer was, you know:

1 We sprayed a lot for a long period of time.

2 And I said that's -- I don't know what that
3 means. "A lot" to you may be different than "a lot" to
4 me. A long period of time may be different between
5 people. I just need more specifics, please. Just you
6 have to help me by remembering exactly, you know, how
7 many hours, how many days, all that stuff.

8 So, yes, I did ask. But I remember the first
9 answer was a little bit too general. And I needed
10 really more specifics and I had to be more thorough to
11 better understand exactly how much exposure did they
12 have.

13 Q. All right. So you talked to the Pilliods, you
14 examined them, you reviewed all of their medical
15 records.

16 Did you read the depositions of the treating
17 physician?

18 A. Yes. I did read the treating physician
19 depositions as well as depositions of Mr. and
20 Mrs. Pilliod.

21 Q. Okay. So you read their depositions.

22 A. Yes.

23 Q. And you've read Dr. Gupta's?

24 A. Yes.

25 Q. You read Dr. Rubenstein's?

1 **A.** Yes.

2 **Q.** And Dr. Raj?

3 **A.** Yes. And there was Dr. Fisher as well who's a
4 neurologist for Mr. Pilliod.

5 **Q.** Right. All right. So let's cut to the chase.
6 After all this review and all this time, was Roundup a
7 cause of Al Pilliod's non-Hodgkin's lymphoma?

8 **A.** The answer is yes.

9 **Q.** Was Roundup a cause of Alberta Pilliod's
10 non-Hodgkin's lymphoma?

11 **A.** The answer is yes.

12 **Q.** Are either one of those a hard call?

13 **A.** Not in my book.

14 **Q.** Okay. And I asked you specifically separate
15 for Al and separate for Alberta. But now let me ask you
16 this: The fact that Al and Alberta live together,
17 sprayed together, does that make it an even easier call?

18 **A.** In my opinion, yes. I think it's important to
19 recognize that regardless whether the couple were
20 together or not, each case by itself, it's very clear in
21 my mind, and I'm sure we're going to go through the
22 evidence, that the cause that Roundup was substantial
23 cause in causing DLBCL or in non-Hodgkin's lymphoma in
24 both patients. But it goes without saying that having
25 two people who are married who live together for four

1 decades, when they have the same disease, which is
2 non-Hodgkin's lymphoma, there's no physician that would
3 not ask the question: Is there a common denominator and
4 factor between those two people? In fact, if you don't
5 ask this question as a physician, then there's a
6 problem. Right? I mean, it's just common sense.

7 If you've ever called your doctor and you said
8 to the doctor, "I have a bad stomach flu," the first
9 question they ask: Is there anybody else in the house
10 who has the same symptoms? It's just common things.

11 So in my opinion, both cases are very clear in
12 terms of what's the cause of non-Hodgkin's lymphoma.
13 But certainly when you have two people who are married
14 to each other, non-blood relatives, and who live with
15 each other for four decades, then they get diagnosed
16 with lymph node malignancy, non-Hodgkin's lymphoma, how
17 could you not ask that question: What is the one factor
18 that they were both exposed to? Which is Roundup.

19 **Q.** And we'll talk about it in more detail later.
20 But the concept of the husband and then wife getting the
21 same condition, it's called material concordance?

22 **A.** Whatever you want to call it. I call it
23 husband and wife got the same disease. So just common
24 sense. You know, it's just common sense. There are
25 certain things I don't need medical terminology for,

1 just common sense, logic.

2 Q. Okay. And we'll look at a study that has that
3 issue in it as well in a bit, won't we?

4 A. More than happy to look at it. And I will
5 still say that certain things sometimes don't need five
6 or six studies to prove the obvious.

7 Q. So when we talk about Al Pilliod having
8 diffuse large B-cell lymphoma, what are the odds of --
9 we've heard about a common sun-garden variety of
10 non-Hodgkin's lymphoma. So what are the odds, the
11 ratio, for Mr. Pilliod getting diffuse large B-cell?

12 A. So that's a little bit tough question to
13 answer because the statistics that we have are on a
14 population level. So when you go to the National Cancer
15 Institute or the CR database, there are a lot of -- you
16 know, again you use whatever engine search and you will
17 find the likelihood of any one of us developing
18 non-Hodgkin's lymphoma, it's on a population level.

19 So for men, it might escape me, maybe 1 in 47
20 or 1 in 42. For women is a little bit less, 1 in 54 or
21 something like that.

22 Q. That's for any kind of non-Hodgkin's lymphoma?

23 A. Right. For all non-Hodgkin's lymphoma. But
24 the point is this is a population level. It doesn't
25 really always take into consideration the likelihood of

1 developing non-Hodgkin's lymphoma with someone who have
2 different risk factors who -- just, again, each
3 individual case would be looked at differently.

4 But if you're asking me the chances of
5 developing non-Hodgkin's lymphoma in the U.S. today,
6 it's from 1 in 42 to 1 in 54, I believe. I may be off
7 by a couple of numbers, but that's the ballpark.

8 Diffuse large B-cell lymphoma is one-third of
9 non-Hodgkin's lymphoma. So about 30 to 35 percent of
10 patients with non-Hodgkin's lymphoma have the diffuse
11 large B-cell lymphoma, which what Mr. Pilliod has.

12 And I think -- I know you could do the math,
13 maybe you multiply the denominator by three and see this
14 is 1 in 100 or something like that. That could be the
15 case.

16 But, again, remember these are all
17 population-level statistics. They take away really the
18 individuality of a patient; right?

19 So just to explain in a way that --

20 **Q.** Sure. Sure.

21 **A.** I like to explain things because when I had
22 patients, I always like to bring it home. I mean, you
23 can say that the chances of somebody in the state of
24 California getting into a car accident is 1 in 500,
25 whatever the statistics are. Now, if I bring somebody

1 who is always drives drunk and doesn't wear seat belts,
2 then the chances are much higher.

3 So there's a population level in statistics,
4 which is what we're talking about. And then we have to
5 look at each individual situation where the stats may
6 not apply because the stats are way, way more -- you
7 know, higher because of particular risk factors.

8 Q. And I understand it's limited because it's
9 population-based information. But you're telling me
10 about 1 in 42, I think, for general non-Hodgkin's
11 lymphoma; right?

12 A. Yeah, I believe so. Between -- yeah, for men,
13 1 in 42, I think.

14 Q. And about a third of those general
15 non-Hodgkin's lymphoma are diffuse large B-cell?

16 A. Correct.

17 Q. Okay. So three times 42. 126?

18 A. Sure.

19 Q. I'm notoriously bad at math. But one -- so
20 the odds would be 1 in 126 for diffuse large B-cell?

21 MR. ISMAIL: Objection. Calls for
22 speculation.

23 THE COURT: Overruled. He can answer.

24 THE WITNESS: Yeah, it's fair. Again, it's a
25 mathematical equation. I think my 12-year-old can do

1 it.

2 **BY MR. MILLER:**

3 Q. All right. So if Al had a 1-in-126 chance of
4 getting diffuse large B-cell and Alberta had a 1-in-126
5 chance of getting large --

6 A. Oh, no, hers much less. Because it's primary
7 CNS lymphoma. It's even less common. It is diffuse
8 large B-cell lymphoma in the brain so you can use that
9 for easiness obviously. But you can also say her
10 chances are even much lower than that because it's,
11 again, to have diffuse large B-cell lymphoma in the
12 brain, it's even less than that.

13 Q. All right. So for him, we're at 1 in 126;
14 right? For her, what will it be?

15 A. So primary CNS lymphoma, about maybe
16 2 percent, 2 percent, again all of this data is publicly
17 available. I think it's about 2 percent. But for ease,
18 if you want to use the same statistic, that's fine. But
19 the reality is -- it will be a conservative estimate if
20 you want to do this as 1 in 126 as well, that's fine.

21 It would be a very conservative estimate
22 because the reality is primary CNS lymphoma, diffuse
23 large B-cell of the brain is much less common than
24 diffuse large B-cell lymphoma that Mr. Pilliod has. The
25 same cell type, the same B-cell lymphoma, the same exact

1 cell under the microscope. Hers only is in the brain.
2 His was outside the brain.

3 Q. I'm not good at math, but if you multiply 126
4 times 126, it gets 15,876. So is that the odds of the
5 two of them both coming down with it?

6 A. And that would be conservative, but I'll take
7 that, that's fine.

8 Q. The number again. 15,876. All right.

9 And just to be clear, both of them have
10 diffuse large B-cell; Alberta has a different subtype?

11 A. Different location. It's the cell that you
12 find in Mrs. Pilliod's case is only in the brain. By
13 definition, because it's nowhere else outside the brain,
14 we call it primary central nervous system lymphoma.

15 In Mr. Pilliod's case, his disease was outside
16 the brain in the body, it's systemic, so it's diffuse
17 large B-cell lymphoma, same kind of cell when you look
18 under the microscope. There are some features that
19 experienced pathologists might be able to tell, but it's
20 essentially the same, just different locations.

21 Q. Now when we sent you the original batch of
22 documents, did we send you the case-control studies that
23 this jury has heard so much about?

24 A. I've already reviewed all of the case-control
25 studies from before.

1 Q. Okay.

2 A. I had them.

3 Q. I got you.

4 A. Right? I mean, there are some studies keep
5 coming out every few weeks that I obviously -- there's a
6 new study just came out this weekend, for example. But
7 the point is that I've had a lot of the case-control
8 studies and the cohort studies available.

9 Q. They always get on to me about not following
10 my outlines, but since we're there, let's talk about
11 that new study that came out this weekend. All right?

12 A. Sure.

13 Q. Let's take a look at it.

14 MR. MILLER: What's that number again? Here
15 it is.

16 Please, permission to publish Exhibit 3014?

17 THE COURT: You have interesting numbering in
18 this binder.

19 MR. MILLER: I apologize, Your Honor. It's
20 Easter weekend and getting people to work.

21 MR. WISNER: Your Honor, it's according to the
22 outline right now. So it's not in numerical order.

23 THE WITNESS: Am I supposed to -- is it here?

24 MR. MILLER: Yeah, hold on. We're going to
25 publish it.

1 I'm sorry. 3104.

2 Permission to publish, Your Honor?

3 **MR. ISMAIL:** No objection.

4 (Exhibit published.)

5 **BY MR. MILLER:**

6 **Q.** Let's take a look at this. We were in court
7 here Thursday. Friday the jury didn't have to be here.
8 Friday this comes out.

9 Tell us. What is *JAMA*?

10 **A.** Well, we just talked about, *JAMA* is again,
11 it's the *Journal of the American Medical Association*.
12 They have a variety of sub *JAMA* papers. As I said, I've
13 been fortunate enough to publish in *JAMA*.

14 This came out on April 19. So literally on
15 Friday.

16 **Q.** And let's look at the importance of this
17 paper. According to these 19 authors in *JAMA* -- is
18 *JAMA* -- I think you told us it was a high-impact
19 journal?

20 **A.** Very high-impact journal. I think the second
21 highest after the *New England Journal of Medicine*.

22 **Q.** It says: Importance -- quote, we'll highlight
23 that -- professional use of pesticide is a risk factor
24 for non-Hodgkin's lymphoma. Period. Full stop.

25 Is that true?

1 **A.** Yes. I mean, this is not even -- again, this
2 article was really not even focused on whether
3 non-Hodgkin's lymphoma causes -- could be caused by
4 pesticides or not. This was forgone conclusion. They
5 were actually more interested if somebody has DLBCL
6 after pesticides, what the outcomes look like. Are they
7 going to do as good as somebody who hasn't had exposure
8 to pesticides?

9 So it wasn't even we actually are not sure if
10 pesticides cause non-Hodgkin's lymphoma. The entire
11 premise of this paper is pesticides cause non-Hodgkin's
12 lymphoma. Let us try to ask the question: What is the
13 prognosis of a patient who has pesticides-induced NHL
14 versus not?

15 And this paper actually focused specifically
16 on diffuse large B-cell lymphoma.

17 If you don't mind, then go just to the
18 introduction of the actual manuscript, you will see they
19 actually talk about this.

20 So the goal was: If you have somebody with
21 DLBCL who had exposure to pesticides, what does the
22 prognosis look like?

23 **Q.** Before we get to that, which is important and
24 we want to talk about it, to be clear and to be fair,
25 let's go to the next page, introduction section.

1 They're talking about pesticides, they're
2 talking glyphosate right there in the article; aren't
3 they?

4 **A.** It's amongst the others one that were --

5 **MR. ISMAIL:** Objection. Vague, Your Honor.

6 **THE COURT:** So I'm going to overrule that
7 objection. And then if you would reformulate the
8 question.

9 **BY MR. MILLER:**

10 **Q.** I'm going to read this. This is from this new
11 paper Friday:

12 "Three agents have been associated
13 with non-Hodgkin's lymphoma and classified
14 as carcinogenic by the International
15 Agency for Research on Cancer."

16 Is glyphosate one of those three agents?

17 **A.** Yes.

18 **Q.** Okay. So this paper does or does not
19 specifically deal with pesticide glyphosate?

20 **A.** Yes. Again, the goal of this paper is not --
21 researchers in the lymphoma world, people who do
22 lymphoma every day, who practice lymphoma, who see
23 lymphoma will not dispute that pesticides cause NHL.
24 It's not something -- it's not a disputable fact.

25 So what these researchers are trying to do is:

1 What's the prognosis? And they list the three
2 pesticides that have been associated with non-Hodgkin's
3 lymphoma as classified by the IARC, and that's
4 glyphosate, malathion, and diazinon.

5 **MR. ISMAIL:** Move to strike as hearsay,
6 Your Honor.

7 **THE COURT:** I'm sorry?

8 **MR. ISMAIL:** Move to strike as hearsay. Lack
9 of foundation.

10 **THE COURT:** Overruled. I think the fact that
11 IARC has made that conclusion, if that's what you're
12 objecting to.

13 **MR. ISMAIL:** I wasn't. I can address it.

14 **THE COURT:** Overruled. He can answer, and you
15 can address it.

16 **BY MR. MILLER:**

17 **Q.** All right. Dr. Nabhan, could any responsible
18 scientist look at Al and Alberta Pilliod's chart and say
19 absolutely no way I'd consider Roundup as a possible
20 cause of their non-Hodgkin's lymphoma?

21 **MR. ISMAIL:** Objection, Your Honor.

22 **THE COURT:** Sustained.

23 **BY MR. MILLER:**

24 **Q.** Let's go into what they look at here. And
25 explain to us what they're studying here in this article

1 that came out Friday.

2 A. Again, so this article is looking at DLBCL
3 patients. I presume you all have heard about diffuse
4 large B-cell lymphoma so far. And the question that
5 these authors, these investigators are asking is kind of
6 straightforward. They said, okay, we know that DLBCL
7 could be caused by pesticides. They're not disputing
8 that. But what they wanted to know is if a patient
9 develops DLBCL after pesticide, does he or she do worse
10 than another person who could develop DLBCL without
11 pesticides.

12 Obviously we know that DLBCL could occur with
13 or without pesticides. So that's really the question.

14 And what they found -- I think what they
15 found, you can go if you want to the conclusion of the
16 abstract.

17 Q. Sure.

18 A. And essentially what they found that it is
19 true that the prognosis or the response rate to the same
20 chemotherapy could be worse if somebody develops DLBCL
21 after pesticides. So that's really the --

22 MR. MILLER: If we could highlight that at the
23 top please. Conclusion. Relevance.

24 (Exhibit published.)

25 THE WITNESS: Yeah. It says:

1 This retrospective study showed that
2 agricultural occupational exposure to
3 pesticides was associated with treatment
4 failure, event-free survival, and overall
5 survival among patients with DLBCL.

6 And I think obviously the conclusion speaks
7 for itself. That's the aim of the investigation. It
8 was not looking at etiology per se, they were just
9 looking at the outcomes and how it differs.

10 **BY MR. MILLER:**

11 **Q.** One of the pesticide exposures they're talking
12 about here, if we could turn to page 3, please.

13 We'll highlight the pesticide exposure.

14 They're talking about gardeners and green
15 spaces. Do you see that, sir?

16 **A.** Yes, I do.

17 May I say one thing, counsel?

18 **Q.** Yeah.

19 **A.** It's important in this study to note that by
20 design they excluded patients who had primary CNS
21 lymphoma because they're not treated with R-CHOP. So
22 what they wanted to actually do in this study, they
23 wanted to take a homogeneous patient population that are
24 receiving the same treatment so they can make sense of
25 the results. If you treat me different than the other

1 person, then you really can't -- it's not fair to
2 compare our outcomes.

3 So they said let's just take patients with
4 DLBCL who receive R-CHOP, and by default then you can't
5 take really primary CNS lymphoma because obviously these
6 patients are not really R-CHOP.

7 So I just want to make sure you're aware that
8 in this particular study, one of the histologies that
9 was excluded was primary CNS lymphoma. It may come up,
10 but just to be complete and make sure that provide both
11 sides.

12 Q. Let's go to the conclusion.

13 MR. MILLER: Because I know, Your Honor, we're
14 going to go to lunch.

15 THE COURT: Right.

16 MR. MILLER: And then we'll move on.

17 Conclusion at the very end of this paper.
18 It's on page 14 conclusion. If you would highlight
19 that.

20 (Exhibit published.)

21 BY MR. MILLER:

22 Q. Read that first sentence for us, Doctor.

23 A. (Reading from exhibit:)

24 "This study suggests for the first
25 time, to our knowledge, a poorer prognosis

1 for patients with DLBCL exposed to
2 pesticides, concerning the response to
3 treatment, two-year event-free survival
4 and overall survival. These findings must
5 be confirmed in further prospective
6 studies."

7 **Q.** I may have a question or two more on this, but
8 I'll wait until after lunch.

9 **THE COURT:** That's probably a good idea. So
10 we're going to take a break now for lunch and resume at
11 1:30. Okay.

12 So, ladies and gentlemen, same admonition.
13 Please don't talk about anything that you've heard in
14 the courtroom, anything you've heard today.

15 Enjoy your lunch. And we are going to resume
16 at 1:30.

17 And if the audience would stay for a few
18 minutes, I would appreciate that.

19 (Jury excused for lunch.)

20 (Proceedings continued in open court out of
21 the presence of the jury:)

22 **MR. ISMAIL:** Your Honor, the specific hearsay
23 objection was with respect to the witness purporting to
24 speak on behalf of other oncologists rather than giving
25 his own opinion, saying all oncologists believe X, Y,

1 and Z, which I believe is improper. There's no
2 foundation for him to be able to speak on behalf of the
3 lymphoma community in such a way. He purports to do so
4 as informed only by impermissible hearsay. So that was
5 the nature of the objection I made.

6 And I also, Your Honor, would like to lodge a
7 continuing objection to the attempting to argue the
8 evidence of Mr. Pilliod's diagnosis is properly
9 admissible and probative of Mrs. Pilliod's legal claim
10 and vice versa.

11 We did brief this pretrial and 352, and rather
12 than jumping up every time the question is posed, may I
13 have a continuing objection to that preserved?

14 **THE COURT:** Yes.

15 We're going to resume at 1:30.

16 Just to remind the audience, there's no coffee
17 permitted in the courtroom. That's you. Only water.

18 Thank you. You can step down.

19 Before we go, I just want to check in about
20 time. So are you still thinking that we would have
21 Thursday off to talk about jury instructions? The
22 reason I'm asking is I want to be able to tell the jury
23 rather than keeping them here at the end of the day. I
24 don't know if you need to go through the remainder of
25 the day to sort of see how far you're going to get

1 before I say that.

2 **MR. WISNER:** I am very confident we are going
3 to rest tomorrow.

4 **THE COURT:** Okay.

5 **MR. WISNER:** So I don't think we're going to
6 have trial on Wednesday or Thursday.

7 **THE COURT:** Okay. Well, I'll wait to really
8 confirm so that before I tell them. But I do want to
9 give them a chance to plan for the rest of their week as
10 early as possible. So we can talk about what happens
11 Wednesday and Thursday.

12 (Luncheon recess was taken at 12:11 p.m.)

13 AFTERNOON SESSION

1:24 p.m.

14 (The following proceedings were heard in the
15 presence of the jury:)

16 **THE COURT:** Mr. Miller, you may continue.

17 **MR. MILLER:** Thank you, Your Honor.

18 Good afternoon, folks.

19 **BY MR. MILLER:**

20 **Q.** Before we leave that new article that came out
21 Friday, I just want to take a quick look at it and ask
22 you a couple questions, and we'll move on.

23 This is the article that came out Friday in
24 the Journal of the American Medical Association.

25 You've already talked about this. It says:

1 "The main biological mechanism of pesticides
2 and chemotherapy are genotoxicity and reactive
3 oxygen species generation."

4 Is that what they concluded here?

5 **A.** That's really more of the introduction and the
6 importance of the paper, as you can tell. It's under
7 the title "Importance," yes.

8 **Q.** Do you agree with that concept?

9 **A.** I do agree with that, yes.

10 **Q.** They go on to tell us, in the "Results"
11 section, that:

12 "Occupational exposure was not associated with
13 clinical and biological characteristics at
14 diagnosis."

15 Do you agree with that?

16 **A.** Can you just raise it a little bit. I don't
17 see it.

18 **Q.** Sorry.

19 **A.** Yes, I do agree with that.

20 **Q.** Are they talking about some sort of thing you
21 can see under a microscope?

22 **A.** No. You can't really tell the cause of the
23 actual lymphoma by looking under the microscope, no.

24 **Q.** So we heard about TR1418 in this courtroom.

25 Does that tell us whether that has anything to

1 do with pesticide exposure causing non-Hodgkin's
2 lymphoma?

3 **MR. ISMAIL:** Objection.

4 Undisclosed opinion, Your Honor.

5 **THE COURT:** Sustained.

6 **BY MR. MILLER:**

7 **Q.** Have you, in your report, given opinions about
8 the FISH tests of Alberta Pilliod?

9 **A.** She had a FISH test for the MYC BCL2 and BCL6
10 oncogenes, and all of them were negative.

11 **Q.** And anything about that would rule out
12 pesticide exposure as a cause of her non-Hodgkin's
13 lymphoma?

14 **MR. ISMAIL:** Objection.

15 Undisclosed opinion, Your Honor.

16 **THE COURT:** Approach.

17 (Sidebar discussion not reported.)

18 **BY MR. MILLER:**

19 **Q.** Let's take one last look here, and then we'll
20 move on from this article.

21 In this article that came out Friday, they
22 said there was no, quote:

23 "Occupational exposure was not associated with
24 clinical and biological characteristics at
25 diagnosis."

1 What does that mean?

2 **A.** It means there's nothing you can tell under
3 the microscope, or no test you can do pathologically or
4 clinically to tell the actual cause of non-Hodgkin's
5 lymphoma. Or DLBCL, in this case.

6 The way you do that is by getting a good
7 history, understanding what the patient went through.
8 You go through risk factors for the particular disease
9 and try to conclude whether there is a cause or there's
10 no cause.

11 Most often, we actually can't find a cause,
12 and sometimes we can. So it just tells you that at the
13 time of diagnosis of DLBCL, there is no actual biologic
14 marker, that you can say, oh, I have this biologic
15 marker; accordingly, this DLBCL is caused by X or not
16 caused by X. That doesn't exist.

17 **MR. ISMAIL:** Move to strike, Your Honor, the
18 last portion of that.

19 **MR. MILLER:** He just answered the question.

20 **THE COURT:** Hold on.

21 Overruled. The answer will stay.

22 **BY MR. MILLER:**

23 **Q.** In spite of the fact that there is no
24 biological marker to tell you about whether or not a
25 particular person's non-Hodgkin's lymphoma is related or

1 not, these authors concluded that, in fact, pesticide
2 use is a risk factor for non-Hodgkin's lymphoma?

3 A. Yes, they did. They started with the premise
4 that it is. And they were trying to look as to whether
5 their outcomes are different.

6 Again, the scope of this article was that the
7 premise is that pesticides are risk factors for DLBCL,
8 and they sought to investigate whether the outcomes
9 differ based on pesticide exposure.

10 Q. Tell these folks what it means to make rounds.

11 A. I'm sorry, Counsel?

12 Q. Making rounds.

13 A. Yes.

14 Q. What does that mean?

15 A. Oh. In the hospital, again, when you are
16 seeing your own patients, you oftentimes are accompanied
17 by students, residents, or fellows that shadow you and
18 see patients with you.

19 In a university setting, you are often labeled
20 as the inpatient attending. So you actually are seeing
21 the patients who are hospitalized on the floor, on the
22 wards.

23 And so you're basically the faculty or the
24 attending, and the folks around you, they see patients
25 with you, and you teach them. You examine patients, and

1 you go through the process of taking care of patients
2 who are in the hospital.

3 **Q.** And you've done that with residents and
4 fellows at the University of Chicago?

5 **A.** Yes, of course.

6 **Q.** And if you're making rounds with the fellows
7 and the residents in Chicago, and someone says, hey, are
8 there genetic markers that are required to conclude
9 someone's non-Hodgkin's lymphoma is related to Roundup,
10 what would you tell that fellow?

11 **MR. ISMAIL:** Objection.

12 **THE COURT:** Sustained.

13 I think that's what we just talked about.

14 **BY MR. MILLER:**

15 **Q.** Would you say anything, in the real world of
16 medicine, about this topic that you did not just say
17 here?

18 **A.** No, I would not.

19 **Q.** Okay. Let's move on from Friday's article.

20 I do need to run through with you, your
21 general causation opinions before we get to your
22 case-specific opinions, okay?

23 **A.** Sure.

24 **Q.** I'm going to do it a little faster. We've
25 heard from Dr. Portier, Dr. Jameson, Dr. Ritz, but I

1 want to do it.

2 Have you reviewed all of these epidemiological
3 studies on whether or not Roundup causes non-Hodgkin's
4 lymphoma?

5 A. I have. I've had the opportunity to review
6 all of them.

7 Q. And as the first oncologist to testify live in
8 the courtroom, first I want to ask you to break down the
9 words for us.

10 "Diffuse large B-cell."

11 What does diffuse mean?

12 A. So, when you look under the microscope at a
13 biopsy that the patient had to make the diagnosis, you
14 see basically sheets that are diffuse sheets of these
15 large cell lymphoma.

16 Basically, "large" is large. It means that
17 the cells, the lymphocytes, are big. And usually when
18 we say "big" -- just to give you an idea -- we are
19 trying to compare the size of the lymphoma cell to the
20 size of a red blood cell, because large is relative.

21 So that's what we look for. So you look at
22 the size of the cell. And the B-cells are usually
23 sheets under the microscope on the biopsy specimen. So
24 you don't really see a normal lymphoid architecture.
25 What you see is just all lymphoma cells.

1 That's diffuse large B-cell lymphoma.

2 **Q.** Okay. So it's a B-cell.

3 Now, the immune system has B-cells in it, and
4 what else?

5 **A.** We have B-cells and T-cells, right.

6 B-cells are these cells in the body that, when
7 they mature, they start producing antibodies. These
8 antibodies attack foreign pathogens that enter the body.
9 Could be bacteria, could be viruses, and could be
10 cancer, as well.

11 The T-cells are pretty much the engine of the
12 immune system. So the T-cells, they do that by
13 recognizing the particular pathogen and attacking it, as
14 well, and by helping the B-cells produce the antibodies.

15 So I'm not a immunologist, obviously, but it's
16 important for us, as clinicians, to understand how that
17 works.

18 So for lymphoma, you will see B-cell lymphomas
19 and T-cell lymphomas, based on what type of cell that is
20 growing out of proportion, where the balance is actually
21 tipped off.

22 **Q.** Where are B-cells made in the human body?

23 **A.** Generally, they're made in the bone marrow.
24 The core inside the bone is what we call the bone
25 marrow. And that's why it's an uncomfortable procedure

1 for patients to undergo a bone marrow biopsy, because
2 they put a needle in the bone and aspirate the actual
3 liquid.

4 After they are manufactured in the bone
5 marrow, they get to the blood. And they circulate in
6 the blood, and they go through the lymph nodes and they
7 mature. The way they mature is they start to acquire
8 their ability to produce the antibodies.

9 So they go from the bone marrow, which I call
10 the factory. They circulate through the blood. They go
11 through the lymphoid tissue. They mature. They have
12 the ability now to produce antibodies, and they go to
13 the other side of the lymph node. So now we all have
14 mature B-cells in our bodies.

15 Where the balance is tipped off is where the
16 lymphoma originates. And that's why we have 40 to
17 60 types of lymphomas, because you can have the problem
18 in the bone marrow in the beginning. You can have the
19 problem as the cells -- before they enter the lymph
20 nodes, after they exit the lymph nodes, inside the lymph
21 nodes.

22 So where the problem occurs leads to the
23 development of a particular lymphoma. And that's why we
24 have so many types of lymphomas out there. But
25 essentially, these lymphoma cells originate from the

1 bone marrow.

2 Q. And where does B-cell non-Hodgkin's lymphoma
3 start?

4 A. In the bone marrow. Again, it starts in the
5 bone marrow, and it goes -- the cells start in the bone
6 marrow, they circulate in the blood, and go into the
7 lymph node tissue, where they mature.

8 And the spleen, by the way, is considered a
9 lymph node for that purpose. Some lymphomas derive from
10 the spleen, which is considered a lymph node for the
11 purposes of lymphomas.

12 Q. We talked about treating physicians, and we've
13 heard from three hard-working young physicians in this
14 case.

15 Do non-Hodgkin's lymphoma oncologists, do they
16 have time to stop and look at all the science and
17 epidemiology to figure out what caused their patients'
18 cancer?

19 **MR. ISMAIL:** Objection. Speculation.

20 **THE COURT:** Sustained.

21 **BY MR. MILLER:**

22 Q. How many times have you met with patients to
23 treat them for non-Hodgkin's lymphoma?

24 A. Countless. That was my entire practice.

25 Q. Do you always have time to stop and figure out

1 what's causing their non-Hodgkin's lymphoma?

2 A. The short answer is no. I mean, you do your
3 best by taking a good history and physical exam and
4 asking for the obvious things, right?

5 I mean, there are certain things that are
6 rather obvious that we all ask about. And the purpose
7 of asking -- the purpose of conducting history and
8 physical examination -- is to try to elicit or identify
9 particular risk factors that you may believe contribute
10 to the development of this particular individual
11 non-Hodgkin's lymphoma.

12 As I've said before, most often you're not
13 successful. Most often you say, I don't know what
14 caused your non-Hodgkin's lymphoma. I believe it's
15 idiopathic.

16 And sometimes you are. And in the times when
17 you are successful, and you can identify a particular
18 cause, you can counsel patients better, you can counsel
19 families better, and you can try to intervene, if you
20 are able to intervene.

21 So as somebody who was interested in lymphoma,
22 I've done my best to ask the questions that I believe
23 could lead to identifying some of the causes I'm aware
24 of.

25 Q. And did you use the three pillars of science

1 or just epidemiology to figure out and get the opinions
2 you have about Al and Alberta Pilliod's cause of their
3 cancer?

4 **A.** I have used three of them. I'm not a
5 specialist in animal studies or a toxicologist, but
6 obviously I have read a lot of these studies. And my
7 goal in reading them is to try to figure out how they
8 apply clinically.

9 At the end of the day, hopefully everything
10 that we do -- whether it's in the lab, or in rats or
11 mice -- is about trying to improve the outcomes of
12 patients.

13 So somebody has to take a look at some of
14 these studies and say, well, how does this really apply
15 to the patient that is coming to clinic with this
16 disease?

17 So I read enough about them to understand them
18 and how they might apply to patient care, as well as how
19 they help in determining the epidemiologic literature.

20 **Q.** Let's turn to Exhibit 1019.

21 **MR. MILLER:** I believe it's already been
22 published, Your Honor.

23 **THE COURT:** I'm trying to figure out your
24 filing system here.

25 **MR. MILLER:** Permission to publish?

1 **MR. ISMAIL:** Parts of this have been published
2 previously.

3 **THE COURT:** Permission provided.

4 **BY MR. MILLER:**

5 **Q.** This is the IARC short Monograph, right?
6 Have you reviewed this before?

7 **A.** It does look long to me.

8 **Q.** Right --

9 **A.** Yes, I have.

10 **Q.** -- that's fair.
11 It's about 90-some pages, right?

12 **A.** Yes.

13 **Q.** And this is on page 78, if you can pull that
14 up, the overall evaluation.

15 **A.** Yes.

16 **Q.** Okay. It says:
17 "Glyphosate is probably carcinogenic to
18 humans."

19 That was their conclusion in 2015.

20 Do you agree with that?

21 **A.** I do agree with that.

22 **Q.** Has the evidence gotten stronger or weaker
23 since 2015, when that was published?

24 **A.** Stronger. I think there has been additional
25 studies that came out since 2015. And the bulk of

1 evidence is supportive of this statement that came out
2 back in 2015.

3 Q. All right. Let's move on.

4 And in fairness, you considered the
5 Environmental Protection Agency's view on all these
6 things?

7 A. Yes. I have read a lot of what they have
8 stated, and their opinion. Which, again, some opinions
9 vary from this, as we all know.

10 Q. Previously published Exhibit 2112, the EPA's
11 paper from September 2016. Let's put up page 68. And
12 if we could, the last paragraph.

13 The EPA said, quote:

14 "The risk of non-Hodgkin's lymphoma cannot be
15 determined based on the available data."

16 Is that your understanding of what they
17 concluded?

18 A. Basically --

19 MR. ISMAIL: Objection.

20 THE COURT: Do you have an objection?

21 MR. ISMAIL: Yeah. Lack of foundation.

22 BY MR. MILLER:

23 Q. Did you review this document?

24 A. I have reviewed it, yes.

25 Q. All right.

1 **MR. ISMAIL:** Well, it's the 2016, not the
2 2017. So to the extent he's characterizing the EPA's
3 current views...

4 **MR. MILLER:** It's a speaking objection,
5 Your Honor. And I would ask him to refrain.

6 **THE COURT:** I don't understand what the
7 objection is.

8 **MR. ISMAIL:** The question, as phrased, is
9 asking about the EPA conclusion. This is the older
10 version, not the current version of the EPA's view.

11 **THE COURT:** You can ask a question, just lay a
12 foundation.

13 **BY MR. MILLER:**

14 **Q.** Have you reviewed this document that Monsanto
15 has shown the jury repeatedly?

16 **A.** Yes, I have.

17 **Q.** Does it say on page 68 that they can't
18 conclude whether or not glyphosate causes non-Hodgkin's
19 lymphoma?

20 **A.** Yes. Basically, my interpretation -- as
21 somebody who viewed this document -- is that the EPA's
22 opinion is inconclusive. They said we can't really tell
23 that it does; we can't really tell that it doesn't.

24 It's not clear to me why they reached that
25 conclusion, but it wasn't negative or positive. They

1 were saying, we're undecided at this point. They would
2 like to look at it again.

3 Q. Let's go to Exhibit 3036, previously published
4 to the jury. Put that up.

5 A. I just need to find it, I'm sorry.

6 Q. It's not in your book.

7 This is the next year report from --

8 **MR. ISMAIL:** Lack of foundation. The witness
9 hasn't reviewed this document.

10 **BY MR. MILLER:**

11 Q. Have you reviewed this document?

12 A. I have reviewed it. I don't remember -- yes,
13 this is a while back.

14 Q. It's been shown to you in several depositions,
15 hasn't it?

16 **THE COURT:** Why don't we establish the
17 foundation for reading that into the record.

18 **MR. MILLER:** Sure.

19 **THE WITNESS:** It's just been a while since I
20 read this.

21 **BY MR. MILLER:**

22 Q. You have reviewed it?

23 A. Yes. But not recently.

24 Q. Go to page 68 of that document.

25 This is the newer report.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

They say in the new report, quote:
"A conclusion regarding association between glyphosate exposure and risk of non-Hodgkin's lymphoma cannot be determined based on the available data."

Right?

A. Yes.

Q. They're not saying it doesn't cause it; they're saying they don't know?

MR. ISMAIL: Objection. Leading.

THE COURT: Sustained.

BY MR. MILLER:

Q. What's the significance of what they're saying here?

A. As I said earlier, the EPA's position has been: We can't tell if it does, we can't tell if it doesn't. They stayed in the middle.

They didn't offer any opinion that was helpful. They said they don't know if it does or doesn't. That's been their position for the past several years.

MR. MILLER: Let's go to Exhibit 0031, previously published, Your Honor. The Hardell study.

THE COURT: If you can let me know whether it's in the binder or not. If you just clarify that for

1 me.

2 **MR. MILLER:** Yes, Your Honor, I will. This
3 one is in the binder, and it's 0031. And next time I'll
4 number them. I apologize.

5 **BY MR. MILLER:**

6 **Q.** You reviewed the Hardell study?

7 **A.** Yes, I have.

8 **Q.** All right. This is a 1999 paper.

9 **A.** Yes, correct.

10 **Q.** And it's in a peer-reviewed journal, or is it,
11 the American Cancer Society?

12 **A.** Yes, it's in the Journal of Cancer, which is a
13 peer-reviewed journal.

14 **Q.** And these two scientists, back in 1999, if we
15 could put up the background. There you go.

16 I want to ask you about this quote:

17 "The incidence of non-Hodgkin's lymphoma has
18 increased in most western countries during the
19 last few decades."

20 Has that been reported in other literature?

21 **A.** Yes. Again, they were, I think, going
22 backwards. It's 1999. So they were trying to say, in
23 the previous 20 years before this publication, there had
24 been a rise in the instance of non-Hodgkin's lymphoma.
25 For a variety of reasons, including HIV, obviously, in

1 the '80s.

2 Q. HIV, AIDS?

3 A. Right.

4 Q. And I think we all agree that it increases the
5 risk of non-Hodgkin's lymphoma?

6 A. Yes. And it did. I mean, you will see a
7 significant rise in the early '80s because of the HIV
8 epidemic. And then you do see improvement and plateau
9 after that with the treatment of HIV that took place.

10 Q. If we can turn to page 3, real quick, Table 1.
11 They look at glyphosate. If you can highlight that.

12 A. Yeah. So they actually look. They look at
13 glyphosate, they look at cases and controls, and they
14 found that glyphosate increases the risk of developing
15 non-Hodgkin's lymphoma, as you see from the odds ratio.

16 This was not necessarily an adjusted study,
17 but basically that is what these authors found.

18 Q. Sure. Not statistically significant. This is
19 that first published study on glyphosate in 1999.

20 Is that right?

21 A. Yes, that's right. But it's important, if I
22 may, statistical significance does not negate clinical
23 significance, and vice versa. Clinical significance
24 does not negate -- we have to think of statistical
25 significance in the way that applies to patients.

1 When the patient is sitting in front of you
2 and asking a question about whatever that is, you can't
3 tell the patient, I'm sorry, the p-value is not 0.05, so
4 I don't think it's something I'm going to worry about.
5 This is not how it works in clinic.

6 So our job as clinicians is to look at this
7 data and make sense of it, whether it's statistically
8 significant or not. So you're correct, it's not
9 statistically significant, but it raises a flag that
10 it's important to look at, whether it's clinically
11 significant or not, and that's why additional studies
12 are needed.

13 **Q.** Okay. Let's go to page 7, if we could.
14 Middle paragraph on the left. I want to ask you about
15 this paragraph.

16 These scientists tell us in 1999:
17 "Other much-used pesticides, like glyphosate,
18 also might be of concern."

19 **A.** Yes.

20 **Q.** And I want to ask you about this quote:

21 "Since the time period for diagnosis in this
22 study, the use of glyphosate has dramatically
23 increased, especially during the '90s."

24 **A.** Right.

25 **Q.** Is that something you've seen, the increased

1 use of glyphosate in other papers, as well?

2 A. We know that sometime in the mid-'90s, I think
3 maybe '95 or '96, there was significant increase in the
4 use. And these authors, to their credit, say we're
5 publishing in 1999. We recognize it's not statistically
6 significant.

7 We're seeing something. Maybe there's
8 something to it; maybe there's not. They're trying to
9 provide an objective opinion as to what might be some of
10 the reasons for the observation that they have.

11 And that's why it's important to look at
12 subsequent studies, as well, that might capture some of
13 the rise in use of glyphosate.

14 Q. Next sentence:

15 "Gene mutations and chromosomal aberrations
16 have been reported in mouse lymphoma cells
17 exposed for glyphosate."

18 I know you're not a toxicologist, but has that
19 been your observation in reading the materials you've
20 read on the subject?

21 A. Right. And it's been a long time since I read
22 this material. But these authors are just citing some
23 of the early genotoxicity studies that demonstrated the
24 genetic damage and DNA damage that occurred in cells
25 that were exposed to glyphosate.

1 **Q.** Let's keep moving. That was a 1999
2 peer-reviewed journal.

3 Now we'll go to 2001, the Dr. McDuffie
4 article. Have you reviewed that?

5 **A.** Yes.

6 **MR. MILLER:** It's previously published. It's
7 in your binder, Your Honor. Exhibit 1568.

8 **MR. ISMAIL:** I'm going to object that this is
9 highly repetitive and cumulative. He isn't adding
10 anything than what we've heard from two or three other
11 witnesses, the same two or three papers.

12 **THE COURT:** To the extent this contributed to
13 his opinion, summarizing briefly.

14 **MR. MILLER:** That's what we're trying to do.

15 **BY MR. MILLER:**

16 **Q.** Did this help form your opinion around what
17 causes non-Hodgkin's lymphoma?

18 **A.** Yes, it did.

19 **Q.** And again, we have been through this study
20 before; we're not going to go through it a bunch.

21 What they tell us, if we can just go to
22 page 7, they looked at -- if we can look at glyphosate
23 unexposed less than two days and greater than two days.

24 Can you tell us what dose dependency means,
25 and did you find it in these studies regarding

1 glyphosate?

2 **A.** This study took things a little further. And
3 I think it's important from a clinical standpoint.

4 As I told you, for me, it's taking the
5 epidemiologic literature and trying to see, does it make
6 sense when you're talking in clinic, when you're seeing
7 patients?

8 So what this is saying, the more exposure you
9 get, the more likely you may get non-Hodgkin's lymphoma.
10 Common sense. It appears logical that if you get more
11 exposure to a particular material that is hazardous, you
12 are more likely to get this particular disease.

13 And what this shows is that if you are exposed
14 to glyphosate more than two days per year, you almost
15 double -- you double the risk of developing
16 non-Hodgkin's lymphoma. And that's what you see, the
17 odds ratio of 2.12.

18 **Q.** Let's bring it to the Pilliods.

19 If they used it more than two days per year,
20 does this apply to them, the double of the risk?

21 **A.** Yes. It applies to them and others.

22 **Q.** And the more you use it, does your risk go up?

23 **A.** Yes. Again, it's logical.

24 It's common sense, right? I mean, the more
25 you use something that is hazardous, the more likely you

1 could have a problem.

2 If you get exposed to the sun for one hour,
3 you may not get sunburned. You get exposed for two
4 days, you'll have a red face all over, you get burns.
5 It's common sense, logical.

6 **Q.** So someone who used glyphosate 1,400 days,
7 would they be at increased risk over someone who used it
8 two days?

9 **A.** No doubt.

10 **Q.** Let's move on.

11 The next one you looked at, Dr. Hardell again,
12 this time with two different scientists in 2002.

13 **MR. MILLER:** Exhibit 1575. It's the next
14 document in the book, Your Honor.

15 **THE WITNESS:** I can't find it here, but I can
16 look on the screen.

17 **MR. MILLER:** Okay. We'll go ahead and publish
18 that.

19 **BY MR. MILLER:**

20 **Q.** Table 7 -- we all know this is a peer-reviewed
21 journal. Let's look at Table 7 real quick.

22 Are they looking here, the scientists, at
23 glyphosate?

24 **A.** Yes. They looked at glyphosate, amongst other
25 compounds, as you can see. And what they found is that

1 the odds ratio was 3.04.

2 Q. Statistically significant? I know you don't
3 need that to find it important, but was this
4 statistically significant?

5 A. Yeah. It's good to see statistical
6 significance, but it's not always necessary. That's
7 what I was trying to say. I can cite in oncology many
8 times where the statistical significance was proven
9 wrong.

10 But yes, this is was statistically significant
11 in a univariate analysis, which means they haven't
12 factored in other possible pesticide exposure to see if
13 they have interfered in the outcome of interest, which
14 is non-Hodgkin's lymphoma.

15 Q. And I think that's a point well-taken.

16 Let's go to the last paragraph in the third --
17 last sentence, third paragraph down on the left.

18 They tell us about the multivariate analysis,
19 and I want to ask you about it.

20 A. Right.

21 Q. It says that the results in multivariate
22 analysis must be interpreted with what?

23 A. With caution. This is our favorite statement
24 as scientists and as authors. You always have to take
25 any results of any study with caution, and try to figure

1 out how you interpret that in clinical grounds.

2 It is totally okay to critique every study and
3 think of every study critically. But ultimately, you
4 have to think, how does it apply to real life and to the
5 patients that are seen in clinic?

6 So what they're saying is, yes, in the
7 multivariate analysis, we did not see the statistical
8 significance when we actually adjusted to other
9 variables. There may be a lot of other reasons for
10 that, so we need to interpret this with caution.

11 So for me, as a clinician, that's another red
12 flag. I understand there's no statistical significance,
13 but now I have three studies. They're kind of showing a
14 theme, a pattern, a trend. So we can't ignore that and
15 just say, sorry, unless I see a p-value of less than
16 0.05, I'm going to ignore all that. That can't happen.

17 **Q.** You know Monsanto is going to criticize these
18 studies.

19 I want to ask you this: In 25 years of being
20 a non-Hodgkin's lymphoma expert, have you ever seen a
21 perfect study?

22 **A.** There is no perfect epidemiologic study. I
23 have said that in many depositions. But clinicians have
24 to make sense of imperfect epidemiologic studies. At
25 the end of the day, our obligation is to patients.

1 When patients come into clinic, they don't
2 want to hear, well, I can't do this or that because the
3 science is imperfect. Sometimes it's not. But if we're
4 able to make interpretation of imperfect epidemiologic
5 studies, I think that's good.

6 Epidemiologic studies are hard; they're tough.
7 I'm not an epidemiologist. I presume you've heard from
8 other epidemiologists. But my role is to take that
9 epidemiology literature that is imperfect, and try to
10 apply it: How does this apply to patients sitting in
11 front of you in the chair?

12 **MR. MILLER:** Let's look at the next exhibit in
13 your book, Your Honor. Exhibit 1597. We're now moving
14 to 2003, the De Roos/Weisenburger/Blair article.

15 **BY MR. MILLER:**

16 **Q.** The jury has heard from Dr. Weisenburger and
17 Dr. Blair, and they've heard a lot about Dr. De Roos.

18 Does this article entitled "Integrative
19 Assessment of Multiple Pesticides as Risk Factors for
20 Non-Hodgkin's Lymphoma Among Men," did that help form
21 your opinion?

22 **A.** Yes. That's a very important article. It did
23 inform my opinion, because they did look at 40-plus
24 pesticides.

25 They said, amongst glyphosate, we're going to

1 look at so many other pesticides as well, and we're
2 going to try to control for them and do some
3 mathematical formulas and statistical analysis -- all of
4 the stuff that statisticians and epidemiologists do --
5 and we're going to try to see if glyphosate increases
6 the risk of non-Hodgkin's lymphoma. And sure enough, it
7 did.

8 By the way, just to give you an idea, when we
9 talk about multivariate analysis, what we're trying to
10 do is logistic regression.

11 So in other words, the logistic regression
12 that was done here is essentially a multivariate
13 analysis, which --

14 Q. I'm sorry, go ahead.

15 A. So that was statistically significant, double
16 the risk of developing non-Hodgkin's lymphoma.

17 There was another analysis done in this paper
18 that did not show statistical significance, but there
19 are a lot of flaws in that additional analysis.

20 Q. Okay. Let's look at Table 3 from the
21 De Roos/Weisenburger/Blair article.

22 And you said they looked at 44 different
23 pesticides, insecticides, or fungicides. Right?

24 A. Right.

25 Q. And they found four of them that were

1 associated with non-Hodgkin's lymphoma.

2 What do they tell us about glyphosate?

3 **A.** So when you look at glyphosate, they had --
4 you go to the logistic regression, and you see 2.1,
5 which is the odds ratio.

6 That means that glyphosate exposure,
7 despite -- after the adjustment for all the other
8 materials that were being tested in this study, did
9 still double the risk of developing non-Hodgkin's
10 lymphoma at 2.1, which was statistically significant.

11 So for people who are hung on statistical
12 significance, that's another paper that shows
13 statistical significance.

14 **Q.** And Monsanto is going to point, look, look,
15 the hierarchal regression is not statistically
16 significant.

17 You've been queried about that in your
18 depositions, haven't you?

19 **A.** Many times.

20 **Q.** Let's look at page 8 and see what these
21 authors say about it. Bottom left paragraph there, last
22 sentence, "On the other hand."

23 This is from the authors of this paper:

24 "On the other hand, it is possible that the
25 assumptions for the" -- what?

1 **A.** It says:

2 "Hierarchal regression are too restrictive,
3 and that this has increased the number of
4 false negatives."

5 **Q.** What is a false negative?

6 **A.** Which means you get negative results, but
7 indeed, you should have positive results.

8 But in a simple form -- because I'm going to
9 go out on a limb and say that 90 percent of people in
10 this courtroom have no idea what hierarchal regression
11 is -- so you're trying to create a statistical model by
12 putting some inputs into that model. And you're saying,
13 I'm actually going to theorize X, Y, and Z; and then I'm
14 going to get an output.

15 So our output is always dependent on your
16 input. Whatever you put in that model is going to
17 affect what the output would be of that model.

18 So, I mean, if you go to the original table
19 that you showed me, some of the outputs depend on what
20 you think the carcinogenicity is of these compounds that
21 were studied. And that has changed significantly.
22 Because obviously, we know more today than in 2003.

23 **Q.** Sure.

24 **A.** And I can go through them if you want.

25 **Q.** It's all right. We'll keep moving. But I do

1 appreciate it. We heard some of that.

2 Let's cut to the chase. Monsanto says, wait a
3 minute, wait a minute; the Agricultural Health Study is
4 negative.

5 What's your response to that, Doctor?

6 **A.** So just to level, the Agricultural Health
7 Study was a very important effort. I mean, you don't
8 want to undermine the effort of the Agricultural Health
9 Study. It was an expensive study that was funded by the
10 National Cancer Institute. There were a lot of
11 participants in it.

12 But just because it was an important study and
13 was well-intended to answer a critical question does not
14 mean that it is not filled with flaws. Not
15 intentionally. It's not that the investigators went in
16 there and said, let's design a bad study.

17 No. They actually had the best intentions;
18 they wanted to answer that question. But for a variety
19 of reasons, it has so many flaws that the interpretation
20 of the results of that study are impossible to take with
21 good scientific rigor.

22 And that's okay. People disagree all the time
23 on science. Some people will say it's a good study,
24 others will say it's a bad study. But ultimately, facts
25 are facts. There are certain aspects of that study that

1 will make the interpretation almost impossible to
2 believe.

3 And I can go through that, if that's what you
4 want.

5 Q. Well, real quick, I do want to go through it.
6 Non-differential exposure misclassification.
7 Tell us what it is and if it applies here.

8 MR. ISMAIL: Your Honor, cumulative. It's
9 cumulative and repetition again, Your Honor.

10 THE COURT: Please have him summarize it as
11 briefly as possible.

12 MR. MILLER: Sure.

13 THE WITNESS: May I answer really quick?

14 MR. MILLER: Yes.

15 THE COURT: Hold on.

16 MR. MILLER: I thought Your Honor said yes.
17 I'm sorry.

18 THE COURT: Hold on a second.
19 Why don't you rephrase the question.

20 MR. MILLER: Yes, Your Honor.

21 BY MR. MILLER:

22 Q. Summarize for us what they did and what the
23 problem was in the Agricultural Health Study.

24 A. I'll summarize it very briefly. I don't like
25 to use exposure misclassification, all these things,

1 because everybody will forget how we label them.

2 They had thousands of applicants that came in
3 to apply for pesticide licensure between '93 to '97.
4 And they answered a questionnaire about their past life
5 before.

6 So let's say it's me, and I'm coming in in
7 1993. And in that questionnaire, they're asking me what
8 pesticides I was exposed to over the past 20 years,
9 previous 20 years before I filled out the application,
10 what I used for the previous years, et cetera.

11 And then I just go. I just go home and do my
12 thing. And then they follow the data on me through the
13 cancer registry to see if I develop non-Hodgkin's
14 lymphoma or other cancers.

15 The problem is that the use of glyphosate
16 increased significantly in the mid-'90s. So my exposure
17 in 1993 and before will never reflect what happens after
18 1995, because things have changed.

19 And frankly, because the AHS recognized that,
20 that things do change, they said, we need to send a
21 questionnaire and query people and ask them about the
22 exposure, because things have changed.

23 So between 1999 and 2004, they sent a
24 questionnaire to the folks who answered originally,
25 inquiring about their exposure. But almost 40 percent

1 didn't answer; 37 to 38 percent never returned any of
2 that.

3 So you've got 38 percent of people that
4 originally answered, that they never answered. Not only
5 that, the people who answered, they answered about their
6 exposure on the one year immediately before filling that
7 questionnaire.

8 So if I get my questionnaire in 2003, I'm
9 answering about my exposure in 2002. They didn't ask me
10 whether you were exposed for the entire decade before.

11 So how can you actually get proper
12 information? You have almost 40 percent of missing
13 data. And even the people I captured, they're answering
14 about exposure just for the year before.

15 It's like today in the courtroom, I asked
16 people, how many of you are driving hybrid cars? And
17 then you go, and I need to know whether that changes
18 later on. Well, today, maybe not a lot of people are
19 driving hybrid cars. But in ten years, that might
20 change.

21 So if I don't account for that, and half of
22 you don't return my questions, how can I make sense of
23 the information?

24 So the AHS was a good study in the sense they
25 were trying to answer an important question.

1 Unfortunately, the way it was designed, it was very
2 difficult to do. That's why you can't interpret the
3 results.

4 Q. Quick hypothetical: Farmer fills out the
5 pesticide application in 1993. He says, I'm not using
6 glyphosate because I'm not using glyphosate. Next year,
7 he starts using glyphosate in '94, '95, '96, '97. He
8 doesn't use it in '98, fills out a second questionnaire
9 in '99.

10 When he gets non-Hodgkin's lymphoma, does he
11 go down as a user of glyphosate or nonuser?

12 A. Nonuser, despite the fact he used it for those
13 four years. Because the second questionnaire was asking
14 for exposure the year immediately before you filled out
15 the questionnaire.

16 That's if you showed up. Because 38 percent
17 of people did not return the questionnaires.

18 Q. The author of the AHS study includes Dr. Blair
19 and Dr. De Roos, right?

20 A. Yes.

21 Q. Did Dr. Blair go on to lead IARC?

22 A. My understanding, he did. He was the chair of
23 IARC, I think.

24 Q. Even though he wrote the AHS study, he said in
25 IARC that Roundup is a probable carcinogen?

1 **A.** Yes.

2 **Q.** And Dr. De Roos wrote a published letter.

3 **MR. MILLER:** I believe we've published this
4 before, 2131, the next document. Yeah, we've published
5 it. If we can republish that.

6 **BY MR. MILLER:**

7 **Q.** Have you reviewed this?

8 **A.** Yes.

9 **Q.** One of them, down about three lines,
10 Anneclaire De Roos, right?

11 **A.** Yes.

12 **Q.** Let's go to page 3.

13 **THE COURT:** Before you do that, can you
14 approach for a moment.

15 **MR. MILLER:** I'm sorry, yes.

16 (Sidebar discussion not reported.)

17 **MR. MILLER:** Just one quote, and we will leave
18 this document.

19 **BY MR. MILLER:**

20 **Q.** Page 3, Dr. De Roos and others say:

21 "The most appropriate and scientifically-based
22 evaluation of the cancers reported in humans
23 and laboratory animals, as well as the
24 supportive mechanistic data, is that
25 glyphosate is a probable human carcinogen."

1 Is that what Dr. De Roos said after authoring
2 AHS?

3 **A.** After authoring the 2005 AHS, correct.

4 **Q.** Let's keep moving. I just want to ask about
5 dose response.

6 Is it in the Eriksson study?

7 **A.** Yes. The Eriksson study shows that if you are
8 exposed more than ten days per lifetime, you also double
9 the chance of getting non-Hodgkin's lymphoma.

10 **Q.** Is that a dose response?

11 **A.** Yes. The more exposure you have, the higher
12 the odds.

13 **Q.** And does that apply to the Pilliods?

14 **A.** It does.

15 **Q.** Okay. Well, since AHS, did Dr. Zhang here at
16 Berkeley this year do a large analysis that, in fact,
17 included AHS and other sources of data?

18 **A.** Yes, this was published recently. Looking at
19 the AHS data from 2018 and a new meta-analysis,
20 incorporating all the previous data as well as the
21 mature AHS data.

22 **Q.** And you're aware that Dr. Zhang had
23 previously, with her coauthors, been on the scientific
24 advisory panel of the EPA?

25 **A.** It was in the disclosure of the paper.

1 Q. One quote, and we'll move on.

2 If we go to page 3.

3 A. I don't know what exhibit this is.

4 Q. I'm sorry, Exhibit 2333.

5 A. Okay.

6 Q. Previously published.

7 MR. MILLER: Your Honor, it's the next in the
8 binder.

9 BY MR. MILLER:

10 Q. Just one quote. I ask if you agree with the
11 scientists that wrote in 2019:

12 "Overall, in accordance with evidence from
13 experimental animal and mechanistic studies,
14 our current meta-analysis of human
15 epidemiologic studies suggests a compelling
16 link between exposures to glyphosate-based
17 herbicides and increased risk for
18 non-Hodgkin's lymphoma."

19 Is that what they concluded, factoring all the
20 data, including AHS?

21 A. Yes.

22 Q. Do you agree with that?

23 A. I do.

24 Q. All right. Keep moving.

25 Now, let's get into Al and Alberta.

1 **MR. MILLER:** With the Court's permission...

2 Let's do Al first.

3 With the Court's permission, can the doctor
4 stand up.

5 **THE COURT:** Sure.

6 **BY MR. MILLER:**

7 **Q.** I want you to walk through your differential
8 ideology on Al Pilliod for us.

9 Why do you think Roundup was a substantial
10 factor in causing his non-Hodgkin's lymphoma?

11 **A.** I'm not sure if you can hear me.

12 **THE COURT:** Just make sure the court reporter
13 can hear you. That's the most important person. And
14 the lawyers across the room.

15 **THE WITNESS:** Okay. I think everybody that
16 treats -- again, the majority of patients with
17 non-Hodgkin's lymphoma have no identifiable cause. So
18 the majority of patients with non-Hodgkin's lymphoma who
19 we see in clinic, you don't have an identifiable cause
20 for.

21 You see the patient. And the first question
22 you get asked is, why did this happen to me? And you
23 say, I don't know, but let's focus on your treatment and
24 go ahead and proceed with treatment. This is the
25 prognosis; this is what we do.

1 Despite that, we still do history and
2 physical. We still talk to patients about their
3 history, about tobacco use and other smoking, about
4 alcohol, about whatever it is that we think might
5 contribute to the disease that we are investigating.
6 And that's the process called differential ideology.

7 So when I met Mr. Pilliod -- you create this
8 basket, and you put everything in it. You put
9 everything you think remotely may have contributed to
10 the development of diffuse large B-cell lymphoma and
11 non-Hodgkin's lymphoma, and you start the process of
12 elimination. Does this withstand the test of rigor?
13 Does it make sense or not? And you start to either keep
14 them on the board or remove them from the board.

15 Age, we can put there just to be inclusive.
16 The reality is that older patients are more likely to
17 develop any type of cancer, not just non-Hodgkin's
18 lymphoma. It's just the way it is.

19 In fact, that's what sparked my interest in
20 geriatric oncology and in treating patients of the
21 elderly. Because it just happens more commonly in older
22 patients.

23 And by the definition of older, just in
24 general, when we talk lymphoma or cancer, it's 60 to 65.
25 So apologies to anybody who is 60 or 65 in this

1 courtroom.

2 But the sense is, the older we get, the more
3 likely we develop non-Hodgkin's lymphoma. So I put it
4 there. But age by itself doesn't cause cancer. It's
5 not a causative factor. It's a risk, because as we age,
6 we're more exposed to things in the environment or other
7 things we may not be aware of.

8 Sex, I put it there because it's more common
9 in men than women, but there's no reason to think that
10 there's an actual cause that is generated by the
11 Y chromosome, per se, that is present in men versus
12 women.

13 Race, also I put it there because you will
14 learn that -- you will learn that Caucasians, white
15 patients, are more likely -- they have a higher risk of
16 developing non-Hodgkin's lymphoma. Not clear why. It's
17 not really clear what the issue would be, racial, for
18 this condition. But certainly there is some data that
19 it's just more common in whites.

20 **BY MR. MILLER:**

21 **Q.** Let me stop you, if I can.

22 **A.** Usually, I take those three out.

23 **Q.** So let's be clear.

24 Does age cause non-Hodgkin's lymphoma?

25 **A.** No. I said it doesn't cause it.

1 **Q.** Right.

2 **A.** Age does not cause the disease; it's just a
3 risk factor for every single disease under the sun,
4 including heart disease, cancer, lung disease.

5 Older people get diseases.

6 **Q.** Is a 69-year-old man at more risk for
7 non-Hodgkin's lymphoma than a 39-year-old man?

8 **A.** Yes.

9 **Q.** Is a 69-year-old man who's been exposed to
10 Roundup at increased risk for non-Hodgkin's lymphoma
11 over a 69-year-old man that hasn't been exposed?

12 **A.** Yes.

13 **Q.** You ruled out age, sex, and race.

14 Let's talk about family history of hematologic
15 malignancies.

16 **A.** Family history that has been determined to be
17 associated with increased risk of non-Hodgkin's lymphoma
18 is family history of other lymphomas or other
19 hematologic malignancies.

20 So again, when all the websites, and American
21 Cancer Society or wherever you go to, a family history
22 of other hematologic malignancies.

23 So when a patient with lymphoma comes into
24 clinic, I'll often ask if anybody in your family has
25 lymphoma or leukemia. That's typical, and a common

1 question we usually ask.

2 Q. Let me stop you there.

3 They say a family history of a solid tumor
4 increases your risk of non-Hodgkin's lymphoma.

5 What's the truth to all that?

6 A. It's not true. I do recall that I've been
7 shown one of the tables in one of the papers -- I
8 believe it was the McDuffie paper, the case-control
9 study -- that the cases had more risk of other family
10 members of other cancers?

11 But that doesn't actually answer the question
12 at all. And I can get into that, if you want.

13 Q. I'm sure we'll get that opportunity.

14 Did you inquire whether Al's family had a
15 history of hematologic malignancies; that is, a
16 blood-borne cancer?

17 A. Yes. And he doesn't have that.

18 Pesticide use, again, I think that's why we're
19 here. And I inquired. I asked about exposure to
20 pesticides, and I think we -- I presume this has been
21 covered, I don't know.

22 But Mr. Pilliod had a lot of exposure over the
23 years, since 1981 or '82 until 2017, to Roundup by
24 spraying four separate residences, again, various times,
25 various hours.

1 So that we have to put here.

2 **Q.** We've heard about obesity.

3 What's your view on obesity as a cause for
4 non-Hodgkin's lymphoma?

5 **A.** You may hear different views on obesity. I
6 think it's really important. Obesity, there's a lot of
7 interest in obesity. Because the way the U.S.
8 population has changed, in terms of obesity, from the
9 '60s and '70s to now has been dramatic, if you will.

10 But obesity, when you look at the evidence on
11 obesity and non-Hodgkin's lymphoma, it's pretty weak.
12 There are some studies that show linkage to -- between
13 obesity and non-Hodgkin's lymphoma, and there are other
14 studies that don't show the linkage to non-Hodgkin's
15 lymphoma.

16 So as a clinician, when I'm faced with
17 something like this, and I have to think about obesity,
18 I will think about logic.

19 Just logically, is it really obesity today?
20 So do we measure all of our weights today, and that's
21 really the weight that is going to determine our risk
22 for NHL? Is it our weight in two months from now? In a
23 year from now?

24 We all know that weight fluctuates. It
25 changes. Sometimes 10 pounds above, 10 pounds below

1 might be the difference between obese, overweight, or
2 normal body mass index.

3 Everybody in this courtroom has had weight
4 changes. So for me, it's very difficult to have it as a
5 stable variable that, okay, we're going to measure your
6 weight today in 2019. You can't gain more or lose more,
7 and that weight is going to determine your risk. It's
8 really not clear.

9 There was also another paper that came out in
10 The Lancet a few weeks ago that looked at obesity trends
11 in the U.S. and how it impacts the development of
12 cancer.

13 And the premise of that paper is that there
14 were 12 cancers that are solidly associated with
15 obesity, and none of these 12 were actually
16 non-Hodgkin's lymphoma.

17 So obesity, there is evidence, yes, evidence
18 not. I did not want to rule it out completely, so I
19 mean, I will still put an X on it. But deep down
20 inside, I'm not convinced that obesity is a major factor
21 in developing cancer in general, most cancers, or
22 non-Hodgkin's lymphoma. Because it's -- it changes.

23 So there are some papers that show if your
24 weight in the 40s -- you were a high-risk if you're
25 overweight or obese in the 40s; if you're higher weight

1 or obese in the 60s, you're not at a higher risk. So
2 that becomes a little bit of a soft call. But to be
3 inclusive, I'll still put an X on it, but it's a very
4 minor contribution.

5 I will say this. Physicians will always use
6 obesity to counsel patients to lose weight. It's an
7 excuse to say, if you eat healthy, you lose weight, you
8 benefit; if anything, it's going to help.

9 Q. How about viral infections?

10 A. There are some viruses that are associated
11 with non-Hodgkin's lymphoma.

12 Q. What are they?

13 A. We can go through. HIV, for example, is --
14 again, we -- everybody is familiar with that.

15 Hepatitis C. Active Hepatitis C, when there's
16 an active virus, it is a risk of developing
17 non-Hodgkin's lymphoma.

18 HTLV-1, that's a human T-lymphotropic virus.
19 It's a risk of developing a rare type of lymphoma,
20 actually.

21 EBV, Epstein-Barr virus is more for patients
22 with HIV, you see that more common.

23 So these are the viral infections that we
24 think about when you have a patient with non-Hodgkin's
25 lymphoma. And when you inquire with Mr. Pilliod and

1 talk to him and look at the history, he does not have
2 any of these viral -- known viral association with
3 non-Hodgkin's lymphoma.

4 He has two other viruses, but none of them, in
5 my opinion, contribute to non-Hodgkin's lymphoma.

6 Q. Let's go through the ones that actually cause
7 it first.

8 He doesn't have HIV, right?

9 A. No.

10 Q. He doesn't have AIDS, right?

11 A. No.

12 Q. He doesn't have hepatitis C, right?

13 A. No.

14 Q. And you mentioned one other, the H. pylori or
15 something?

16 A. No. H. pylori goes under bacterial.

17 Q. Okay. But you mentioned one other viral --

18 A. HTLV-1. But again, these are rare. He
19 doesn't have any of them.

20 Q. Monsanto is going to say, he had genital
21 warts; that had to cause it.

22 What do you say to that?

23 A. HPV or genital warts are sexually-transmitted
24 diseases. That's what they are. They are -- it's an
25 STD that occurs when people engage in unprotected sex

1 with somebody who might have the HPV virus.

2 There are some HPV strains that are associated
3 with particular cancers, such as head and neck cancers
4 and anal cancers and so forth. But HPV by itself does
5 not cause non-Hodgkin's lymphoma.

6 Now, there are some studies that I was shown
7 during my deposition in Chicago in January that
8 attempted to link HPV to non-Hodgkin's lymphoma. These
9 studies are beyond weak. I mean, it's just simply --
10 they don't adjust to any possibility of these patients
11 having HIV as well as HPV.

12 Again, HPV is a sexually-transmitted disease,
13 and is not known to cause non-Hodgkin's lymphoma.

14 Q. Did any of the treating physicians say viral
15 infections cause non-Hodgkin's lymphoma?

16 A. Not to my knowledge.

17 Q. How about bacterial infections?

18 A. The other virus, by the way, the HSV.

19 Q. Which is what, again?

20 A. Herpes simplex virus. Which, again,

21 Mr. Pilliod did have.

22 And again, to my knowledge, herpes simplex
23 virus is not associated with non-Hodgkin's lymphoma.

24 Q. Okay.

25 A. So in the viral infections we know, that the

1 evidence is compelling that it does cause non-Hodgkin's
2 lymphoma, he doesn't have any of them.

3 Q. Okay. Let's move on to --

4 A. Bacterial infections, the one that always
5 jumped to mind is H. pylori. It's a type of gastric
6 lymphoma, some stomach lymphoma. Again, he doesn't have
7 H. pylori, so he has no bacterial infections that
8 attributed to the development of his non-Hodgkin's
9 lymphoma.

10 Q. They claim he has some immunodeficiency.
11 What's that about?

12 A. He doesn't have immunodeficiency. When you
13 talk about immunodeficiency, just to level-set,
14 immunodeficiency, you're saying that the T-cell function
15 or the T-cell counts are abnormal.

16 T-cells control a lot of the immune function.
17 And that happens with the HIV virus. So the way HIV, by
18 the way, causes cancer -- a non-Hodgkin's lymphoma -- is
19 by suppressing the number of T-cell counts, the CD4
20 helper T-cell counts that usually fight cancers. And
21 that's how HIV can cause cancer or non-Hodgkin's
22 lymphoma.

23 So he doesn't have immunodeficiency, in the
24 sense there's no evidence that his CD4 counts are
25 abnormal. I looked at thousands of pages. I did not

1 see any evidence of that. There was one time, I think
2 in the year 2000, where he had a CBC. And somehow they
3 checked the CD4 count, which was super normal. There
4 was no evidence of that.

5 And then the T-cell function. Is there any
6 evidence that the T-cell function was not normal,
7 despite the fact that the T-cell number was normal?
8 Nothing has been done to show that, and there's no
9 reason to suspect that he has T-cell dysfunction.
10 There's no evidence of that, to my knowledge, from
11 looking at the records and talking to him.

12 So the immunodeficiency does not stand here.

13 **Q.** What's the difference between
14 immunosuppression and immunodeficiency?

15 What are they claiming there?

16 **A.** It's probably kind of the same. When I put
17 immunosuppression, I was trying to allude to certain
18 medications that suppress the immune system.

19 Sometimes patients who undergo an organ
20 transplant, the doctor will put you on medications to
21 prevent your organ rejection. So you don't reject the
22 body organ that just got transplanted. He was not on
23 any immunosuppressive medications that I'm aware of.

24 Autoimmune disease is the one after. When you
25 look at autoimmune diseases and non-Hodgkin's lymphoma,

1 there's good literature on that. A lot of the
2 literature on autoimmune diseases and non-Hodgkin's
3 lymphoma are what we call collagen vascular diseases,
4 which are the rheumatoid arthritis, lupus. These are
5 diseases of the joints and muscles and so forth.

6 There's a good body of literature to support
7 that patients who have these types of autoimmune
8 diseases are at increased risk of developing
9 non-Hodgkin's lymphoma, including the lymphoma that
10 Mr. Pilliod has.

11 Mr. Pilliod has a disease -- it's interesting,
12 I'll go through it -- ulcerative colitis. So that's a
13 disease where you have -- basically, your immune cells
14 are attacking your colon. And patients develop
15 diarrhea, bloody stools, and they are in the category of
16 inflammatory bowel disease.

17 When you look at the records, in 2006, he had
18 a colonoscopy that showed, by biopsy, ulcerative
19 colitis. And he was placed on a medication called
20 Asacol, which I believe was given orally. It can be
21 given per rectum, as well as orally.

22 He had subsequent colonoscopies after that,
23 that did not show the evidence of ulcerative colitis.
24 He had a couple of flares, but nothing that is typical
25 of what you usually see in ulcerative colitis.

1 If you've known somebody who has ulcerative
2 colitis, it's not uncommon that, once a year, they'll
3 have a problem with bloody stool, abdominal pain,
4 fevers. They end up in the hospital. A lot of patients
5 with ulcerative colitis end up having their colon
6 removed.

7 But he really didn't have any of that. So I'm
8 not going to eliminate ulcerative colitis, but it's a
9 very soft one. Interestingly, when you review the
10 literature on ulcerative colitis, and I was very
11 interested in that, you will see that it's not always
12 the ulcerative colitis that is associated with the
13 higher risk of non-Hodgkin's lymphoma; it's the
14 medications that you give somebody to treat the
15 ulcerative colitis.

16 Right now, we have very powerful drugs that
17 suppress the immune system. The idea is that you're
18 trying to suppress the immune system that is attacking
19 your own colon, right? Then maybe that will help reduce
20 the flare and improve things. But he was never on any
21 of that.

22 There's a lot of literature that the risk of
23 ulcerative colitis-induced non-Hodgkin's lymphoma is in
24 patients who received these drugs that suppressed the
25 immune system, not in somebody that doesn't receive any

1 of these drugs. You will see literature on both sides,
2 but when you do a comprehensive research, that's what
3 you see. It's the drugs we treat ulcerative colitis
4 with.

5 Also, it's important that he's been off Asacol
6 since 2012, I believe. So it's been seven years. And
7 he received a lot of chemotherapy in 2011. Again, I go
8 back to just using logic.

9 **Q.** For the non-Hodgkin's lymphoma?

10 **A.** Right.

11 Just using logic, when you have somebody
12 receiving a lot of chemotherapy to treat the lymphoma,
13 and your immune system is bad, you would think you would
14 get a flare. I mean, you would get something. But
15 nothing. There's really no flare, no evidence of the
16 ulcerative colitis flaring up.

17 Despite all of this, I'm not convinced his
18 ulcerative colitis contributed to the non-Hodgkin's
19 lymphoma. I'm going to put an X here. I want to be
20 more inclusive and not exclusive. But frankly, it's
21 very soft to add it.

22 **Q.** You gave us a list of autoimmune diseases that
23 he didn't have: Sjögren's syndrome, lupus, he didn't
24 have any of those. He did have a bout of ulcerative
25 colitis, but did not have the drugs that increase the

1 risk.

2 What's that -- I'm trying to understand.

3 A. He had a biopsy in 2006 that showed ulcerative
4 colitis, but to my knowledge, there's been no subsequent
5 biopsies that illustrate ulcerative colitis.

6 I got the impression that maybe he had some
7 flares here and there, but they were not the typical
8 severe flares of ulcerative colitis that you normally
9 expect. Especially after you get chemotherapy for the
10 lymphoma that should kill your immune system.

11 The only drug, to my knowledge, that he
12 received is called Asacol. It's not an
13 immunosuppressive drug. It's not one of the drugs you
14 see on commercials on TV that is a powerful
15 immunosuppressive drug. He did not get any of those.

16 And the literature on ulcerative colitis
17 suggests that patients who are at increased risk of
18 developing NHL or DLBCL are those that have the disease,
19 plus receiving these immunosuppressive therapies.

20 But because there's some literature to the
21 opposite, as well, I decided to put an X on it to be
22 conclusive. But I am very convinced that this is very,
23 very soft.

24 Q. Chronic inflammation.

25 A. Again, that probably -- could be with the way

1 the viruses work. Sometimes they continue to stimulate
2 the particular cancer cell, to proliferate.

3 He didn't have any of that, to my knowledge.
4 And again, to my knowledge, there was no solvents used
5 or benzine used, or any additional stuff that he had.

6 Q. Okay.

7 A. When you look at this, pretty much what you
8 see is the pesticide use, which is Roundup. Because
9 that's the only one, to my knowledge from talking to
10 him, that he actually used.

11 I'll put obesity and ulcerative colitis here,
12 that's fine.

13 But the reality is, at the end of the day, the
14 evidence in this case is overwhelmingly suggestive that
15 Roundup is what caused his non-Hodgkin's lymphoma.

16 Now, if you were able to scratch this, this,
17 and this, then you would say idiopathic. Then you would
18 say, okay, I did my job, I can't find it. Then it's
19 idiopathic.

20 So you rule out idiopathy by the fact that you
21 have actual risk factors. You can't rule out something
22 that doesn't exist. If you couldn't find anything, you
23 would say, okay, I believe this is idiopathic; I do not
24 know.

25 If somebody has a heart attack, you ask them,

1 do you smoke? Do you have diabetes? Do you have high
2 blood pressure? If you can't find anything, you say, I
3 don't know why you had a heart attack. But you can't
4 tell the smoker, I think your heart attack is idiopathic
5 despite two packs of cigarettes a day.

6 If you have actual causes, it's not
7 idiopathic, by definition.

8 Q. How do we get from risk factors, you've
9 identified three: Pesticide use, obesity --

10 **THE COURT:** We need to take a break. I think
11 we need to take our afternoon break, and we're going to
12 start again at five after the hour, okay. Thank you.

13 (Recess taken at 2:51 p.m.)

14 (Proceedings resumed at 3:11 p.m.)

15 (The following proceedings were heard in the
16 presence of the jury:)

17 **THE COURT:** Mr. Miller, you may continue.

18 **MR. MILLER:** Thank you, Your Honor.

19 **THE COURT:** All right, folks. Hope you had a
20 good break. Let's finish up, and we'll have
21 cross-examination.

22 **BY MR. MILLER:**

23 Q. Actually, stand up, if you would, Doctor.
24 What I wanted to do was finish that.

25 You've eliminated chronic inflammation, you've

1 eliminated solvent use. You've moved three items --
2 pesticide use, obesity, and autoimmune disease because
3 of the ulcerative colitis -- over to the risk factors.

4 Now, are all three of those moved to
5 substantial factor? One of them? Two of them?

6 Tell us what your thinking is there.

7 **A.** I think I alluded to this early on. That's
8 where you have to use the history, the evidence, the
9 clinical judgment you have. And you have to try to look
10 at each individual factor by itself.

11 I said early on that obesity, in my opinion,
12 is a very soft type of causation for non-Hodgkin's
13 lymphoma. There are papers out there to suggest that.
14 There are papers out there that don't suggest that.

15 And I think the onus is on us to figure out
16 whether obesity truly caused non-Hodgkin's lymphoma.
17 And I don't think it does, for the reasons I mentioned
18 earlier.

19 For autoimmune disease, there are studies that
20 show increased risk. For patients with ulcerative
21 colitis, I think the studies are there, no question
22 about it. But patients who have ulcerative colitis who
23 are on these immunosuppressive therapies are really the
24 ones at highest risk.

25 And if you look at the history of Mr. Pilliod

1 with ulcerative colitis, while I believe he did have it,
2 because the biopsy confirmed in 2006 that he did, it's a
3 little soft. Because again, it's not the way this
4 disease behaves. It's not the way it happens,
5 especially in someone who received chemotherapy
6 afterwards.

7 So in my best clinical judgment, Roundup is
8 the one that moves here. I keep those as soft causative
9 factors, but they're not substantial for him getting
10 NHL.

11 Q. Did, any of his treating physicians decide
12 that the autoimmune disease ulcerative colitis caused
13 his non-Hodgkin's lymphoma?

14 A. Not to my knowledge.

15 Q. You can sit down, I suppose.

16 But the defendants make a fuss over him having
17 skin cancers.

18 Did you factor that into your analysis?

19 A. No. I mean, again, skin cancers -- the
20 squamous cell cancer, as well as the basal cell
21 cancer -- these are very common cancers, especially to
22 people who are exposed to the sun a lot.

23 So in my opinion, they do not increase the
24 risk of developing non-Hodgkin's lymphoma. I was shown
25 several studies during my deposition of potential

1 association between basal and squamous cell and
2 non-Hodgkin's lymphoma, but these studies did not look
3 at other factors for these patients, and there were a
4 lot of weaknesses in these studies.

5 So it's my opinion that prior history of
6 squamous and basal cell cancer does not lead to the
7 development of NHL.

8 Q. Let's take a look at Exhibit 6456, the
9 "Spousal Concordance for Cancer Incidence."

10 MR. MILLER: It's in your book, Your Honor.
11 Permission to publish?

12 MR. ISMAIL: No objection, Your Honor.

13 THE COURT: Number?

14 MR. MILLER: 6456, Your Honor.

15 THE COURT: Great, thank you.

16 BY MR. MILLER:

17 Q. Did this help inform your opinion that, the
18 husband has the problem, the non-Hodgkin's lymphoma, the
19 wife is at increased risk.

20 Is this study on that subject?

21 A. You know, it solidified the opinion. By
22 itself -- like I told you before, it's common sense that
23 when you have two people who are married, who develop
24 the same disease, it's the proper clinical judgment for
25 any physician to ask that question. You know, what

1 could be something that may have caused the same disease
2 in both of you? They may not be able to find it, and
3 they may be able to find it.

4 So that opinion -- again, it's very important
5 to realize that this is common, normal clinical
6 practice.

7 Going back to the literature, you will find a
8 paper like this one, which did show that patients with
9 non-Hodgkin's lymphoma do have increased risk of
10 concordance between husbands and wives or partners.

11 **Q.** Let's sort of -- let's go to -- this is a
12 peer-reviewed paper in the American Cancer Society
13 journal?

14 **A.** Yes.

15 **Q.** And it was published in 1999, right?

16 **A.** Yes.

17 **Q.** And it's by two scientists here in Oakland,
18 isn't it?

19 **A.** Yes. From Kaiser Permanente.

20 **Q.** Okay. Explain to us what the background
21 means.

22 What's the significance there?

23 **A.** Again, it goes back to the common sense.
24 Just, you know, couples share the same home environment,
25 the same environmental factors. So the authors were

1 trying to look at how common cancers occur in couples,
2 and are there any specific cancers that occur more
3 frequently in couples or not?

4 As a clinician, I think it's a -- I applaud an
5 investigation like this. I think it's great, it's nice
6 and so forth. But at the end of the day, it's common
7 sense to me.

8 Q. So what they did, so we all understand, they
9 took 25,000 cancer-free married couples in Northern
10 California, right?

11 A. Yes.

12 Q. Followed them for up to 31 years for the
13 development of cancer, right?

14 A. Yes.

15 Q. And when they followed these people for
16 31 years here in Northern California, the results:

17 "There were no excess concordance for all
18 cancers, but there was a statistically
19 significant husband-wife association found
20 only for cancers of the tongue, the stomach,
21 or non-Hodgkin's lymphoma."

22 Right?

23 A. Right. And this is best depicted in Table 1.

24 Q. All right.

25 A. You'll see that.

1 **Q.** We'll get there.

2 It says:

3 "Cancer is known to have many environmental
4 causes."

5 Do you agree?

6 **A.** Of course.

7 **Q.** And what they talk about is:

8 "Because married couples share at least their
9 home environment, usually for many years, the
10 study of spousal aggregation of cancer might
11 provide clues to unsuspected epidemiologic
12 factors," right?

13 **A.** Right. I mean, that's why you ask the
14 question, to try to find if there's any common
15 denominator.

16 **Q.** And they started following these people as of
17 1976.

18 Do you see that, sir?

19 **A.** Yes. I think it started -- they looked at the
20 earlier one -- they looked at folks who had a checkup
21 between 1964 and 1972, and then they kept adding folks
22 to it.

23 **Q.** And then for 31 years, they followed them. At
24 one point, they actually added more people from the
25 Sacramento and Stockton areas, right?

1 **A.** That's correct.

2 **Q.** And after all this analysis, they did Table 1,
3 which we can find on page 3, right?

4 **A.** Yes.

5 **Q.** Okay. What they did with Table 1 is
6 association of cancer occurrence within married couples,
7 right?

8 **A.** Yes.

9 **Q.** And they said that with all cancers, they
10 couldn't find any conclusions, right?

11 **A.** If they looked at all cancers combined, yeah.

12 **Q.** But when they looked at non-Hodgkin's
13 lymphoma, what did they find?

14 **A.** They found a significantly increased risk of
15 2.78, the risk ratio.

16 **Q.** Statistically significant?

17 **A.** Yes.

18 **Q.** Almost tripling of the risk?

19 **A.** Almost, yes.

20 **Q.** I know this is sort of common sense, but did
21 this help form your opinion of why it's significant that
22 both Al and Alberta have non-Hodgkin's lymphoma?

23 **A.** It solidifies my opinion.

24 **Q.** I'm going to keep moving.

25 You've done the same sort of analysis,

1 differential ideology, for Alberta as well as Al, right?

2 A. Yes, I did.

3 Q. Let's see if we can walk through it and
4 explain how you got where you are.

5 Let's just run through it. We all know that
6 your opinion is that Roundup was a substantial
7 contributing factor in Alberta's.

8 But why? How did you analyze that?

9 A. Again, you go through the same process when
10 you're dealing with a patient that comes to you with a
11 disease. You just put all the factors in one basket and
12 be more inclusive.

13 I think it's very important to be more
14 inclusive as opposed to exclusive, and to have a reason
15 to exclude one or the other.

16 You already heard my opinion about age, sex,
17 and race. And I'll emphasize age. In my opinion, and
18 the opinion of many of my colleagues, age does not cause
19 the cancer itself. Again, it just -- cancer happens in
20 the elderly.

21 Family history, we just talked about. Family
22 history of hematologic malignancies, talking to
23 Mrs. Pilliod, it's my understanding that her father had
24 prostate cancer with metastasis.

25 And if my memory serves me right, her sister

1 had ovarian cancer or uterine cancer. I don't remember
2 exactly if it's ovarian or uterine, but she did not have
3 a family history of hematologic malignancies such as
4 leukemia or lymphoma. That's why I would scratch this.

5 I think the pesticide use -- again, we went
6 through it. It's the same thing you would put there.
7 And importantly, again, when you have someone that comes
8 to the office and says, well, my wife or my husband had
9 the same disease for years, I go, you know, generally
10 the clinician will say, well, that's pretty unusual, let
11 me ask more questions.

12 Obesity, I think you heard my opinion. I'm
13 going to be more exclusive. I will put an X through it.
14 You will see evidence about obesity to support obesity.
15 You will see evidence that does not support obesity.
16 And that's where clinicians need to talk about, what
17 weight are we talking about?

18 Weight fluctuates, changes. It's hard to tell
19 people that you need to keep that within 2 percent for
20 the next 20 years to see if you develop cancer or not.
21 It's a good question to ask, it's just very difficult to
22 get an answer that stands the scientific rigor.

23 Viral infections. To my knowledge, she did
24 not have any viral infections. Again, we went through
25 them. Primary CNS lymphoma or PCNSL, usually it's

1 associated with EBV.

2 So, generally speaking, if you look at primary
3 central nervous lymphoma, the majority of these patients
4 who have primary central nervous system lymphoma are
5 driven by the Epstein-Barr virus.

6 Q. Also known as mononucleosis?

7 A. Right. So that's the virus which causes
8 mononucleosis. That's why it's ubiquitous. Pretty much
9 everybody in the U.S., we say 90 percent, had
10 mononucleosis at some point, which is transmitted
11 through Epstein-Barr virus or EBV. And the primary
12 central nervous system lymphoma that she has was
13 EBV-negative.

14 So again, you have a disease that is more
15 associated with Epstein-Barr virus that is EBV-negative,
16 which frankly begs the question further, let's ask about
17 other causative factors.

18 So to my knowledge, there's really no viral
19 infections that have contributed to the development of
20 non-Hodgkin's lymphoma in Mrs. Pilliod.

21 Similarly, there are no bacterial infections
22 that I'm aware of that contributed to the development of
23 non-Hodgkin's lymphoma.

24 And same with immunodeficiency. I don't want
25 to be redundant. It's about the T-cell counts, the

1 T-cell function, and there's really no evidence that you
2 will see that she had any immune dysfunction that
3 contributed to the development of non-Hodgkin's
4 lymphoma.

5 The same with immunosuppression. Again, when
6 we talk about immunosuppression, just to level-set,
7 we're talking about drugs that suppress the immune
8 system. Because some patients who have bone marrow
9 transplant or organ transplant, we give them medication
10 to prevent rejections, and she wasn't on any of these
11 medications.

12 I'll pause a little about autoimmune disease,
13 because when I was actually deposed at -- in
14 Mrs. Pilliod's case in January, I was shown records that
15 she has something called Hashimoto's disease. I wasn't
16 aware of it. I looked at thousands of pages and somehow
17 did not see it.

18 So Hashimoto's disease is what we call
19 autoimmune thyroiditis. So the thyroid gland can be
20 attacked by antibodies, and patients develop thyroid
21 disease. And most often, they end up on thyroid
22 replacement therapy, so they get thyroid medicine.

23 And after my deposition, actually, I was a
24 little bit upset that I didn't see the Hashimoto's
25 somewhere. So I went back and looked again, and there

1 were several notes from physicians to support that she
2 had Hashimoto's thyroiditis.

3 There was an ultrasound that also showed that
4 the features of the ultrasound is consistent with
5 Hashimoto's.

6 I couldn't find anything in the blood test
7 that there was the antibodies that you usually need to
8 see to diagnosis Hashimoto's, but a lot of people say
9 that sometimes you can make the diagnosis based on
10 clinical grounds and ultrasound and so forth.

11 So I think, for the sake of argument, she
12 might have had Hashimoto's thyroiditis, and several
13 notes in the chart to support that.

14 When you look at the literature of Hashimoto's
15 thyroiditis and the association with non-Hodgkin's
16 lymphoma, there is some literature to support such
17 association. But interestingly, that literature does
18 not differentiate between thyroid lymphoma, so the
19 lymphoma that develops primarily in the thyroid gland,
20 and lymphoma outside of the thyroid gland. So they kind
21 of lump everything together.

22 So I went back to the literature to
23 investigate further. And there's not a lot of evidence
24 that Hashimoto's thyroiditis increases the risk of
25 systemic lymphoma or primary CNS lymphoma outside the

1 thyroid gland. There is some evidence that increases
2 the risk of thyroid lymphoma, which is not the disease
3 she has.

4 Again, I could put this and put this. It's
5 very soft. She does have, for the sake of argument,
6 Hashimoto's thyroiditis, based on the notes. But the
7 literature on the association between Hashimoto's and
8 systemic lymphoma is very soft. Given the fact there's
9 some literature out there, although they did not
10 differentiate thyroid lymphoma from systemic lymphoma,
11 we will be inclusive and put the X there. Just to be
12 inclusive and not dismiss anything.

13 And as I talked earlier, there's no evidence
14 of chronic inflammation or solvent use in Mrs. Pilliod.

15 So we're left with pesticide use, obesity, and
16 autoimmune disease, which, in this case, is Hashimoto's
17 thyroiditis. And amongst these three, it's very clear
18 to me that Roundup and pesticide use is the substantial
19 factor in causing her non-Hodgkin's lymphoma.

20 **Q.** You didn't put it on the board, but the
21 defendants mentioned that she had prior history of
22 bladder cancer?

23 **A.** Yes. Superficial bladder cancer. That goes
24 back to family and personal history of other cancers.

25 So again, Mrs. Pilliod had a history of

1 superficial bladder cancer. These are not invasive
2 cancers, these are cancers where the urologist will go
3 into the bladder and remove them. It's an outpatient
4 procedure.

5 And sometimes they actually inject
6 intravesical BCG, which is a type of immunotherapy that
7 you put inside the bladder to prevent the possibility of
8 the bladder cancer coming back.

9 When you look at data that specifically looks
10 at bladder cancer, prior history of bladder cancer has
11 not been shown to be linked to future history of
12 non-Hodgkin's lymphoma.

13 When you look at intravesical BCG, the longest
14 study I found was a study that looked at 18-year
15 follow-up and showed no link to non-Hodgkin's lymphoma.

16 So, yes, she did have prior history of
17 superficial bladder cancer. I believe it's going to be
18 with her all her life. She's going to continue to have
19 urology checkups; sometimes they might find disease,
20 sometimes they might not find disease. But the bladder
21 cancer, or the treatment of bladder cancer, did not
22 contribute at all to the development of her
23 non-Hodgkin's lymphoma.

24 **Q.** All right. You can have a seat.

25 We have a stipulation that we've reached with

1 Monsanto, that Alberta's past medical expenses --

2 **MR. ISMAIL:** Your Honor, is this the
3 appropriate time to be --

4 **MR. MILLER:** I was going to --

5 **MR. ISMAIL:** Can we just chat at sidebar for a
6 minute?

7 **MR. MILLER:** Sure.

8 (Sidebar discussion not reported.)

9 **BY MR. MILLER:**

10 **Q.** We know about the treatment, and we know about
11 the -- these kinds of things.

12 I want to talk about Al first. We have a
13 short video that sort of walks us through some of his
14 damages.

15 You've seen it before?

16 **A.** Yes.

17 **MR. MILLER:** And would you pull that down?

18 **MR. WISNER:** I'm good for something.

19 **MR. MILLER:** All right.

20 **BY MR. MILLER:**

21 **Q.** Let's do Al first. Let's walk through what
22 happened.

23 **A.** I know how to operate this. Okay.

24 So I think, again, he had presented with a lot
25 of back pain and hip pains.

1 And he had originally presented because there
2 was a lot of iron in the body, and underwent phlebotomy.
3 There was a lot of iron in his blood, and he was
4 referred to Dr. Raj. And then, because the pain started
5 to happen in his back and hip bones, he underwent an MRI
6 of the lumbar spine, which showed an abnormality here
7 between the L3 and L5 area.

8 **Q.** What did that turn out to be?

9 **A.** Well, the biopsy that was done, obviously, was
10 from the hip bone, as you know.

11 But when he had a PET scan, the PET scan lit
12 up in all these areas, as well as the lymph nodes. So
13 this was related to his underlying lymphoma. But that
14 was not the site that was biopsied.

15 So he went underwent a CT scan of the abdomen
16 and pelvis. And again, radiologists like to speak a
17 lot, so you will see very long reports. I'm going to
18 try to zero in on the areas that are --

19 **Q.** Sure.

20 **A.** Okay. So they talk about, these are just
21 renal cysts. They talk about lymph nodes. Again, these
22 are the lymph nodes in the pelvis and the abdomen.

23 And these lymph nodes, sometimes you don't
24 know what they are until you do additional testing, and
25 they light up under a test called the PET scan. You

1 know, they're related to the underlying problem, which
2 is lymphoma. They provide a couple of measurements.

3 The radiologists like to provide measurements
4 here and there, because when we follow patients, we can
5 tell if the lymph nodes shrunk or stayed enlarged.

6 And this is pretty important. This is where a
7 lot of the pain was happening. The bone lytic
8 abnormality in the anterior aspect of the bone, so the
9 hip bone on the right side, this was the abnormality
10 that was causing a lot of the pain that he was having
11 right here. And they have a measurement of 1.8 by 4.3,
12 et cetera.

13 Again, there are additional abnormalities in
14 the bones that were detected. Because on the CAT scan,
15 that explains a lot of the pain he was having.

16 And you'll see here lytic lesions in L5, T9,
17 T10, T12, L3, and L4. There were abnormalities all over
18 the bone, pretty much.

19 And again, not to belabor the same points, it
20 talks about all the bone lesions you see across the
21 skeleton.

22 **Q.** Into the thoracic, as well as the lumbar
23 spine?

24 **A.** That's correct. That's the impression to
25 define the conclusion, these lytic and plastic lesions.

1 Basically, you're seeing bone lesions all over the
2 place. And usually, the radiologists can't tell what
3 they are. They could be for many reasons, and that's
4 why biopsies take place. And the pathologist looks
5 under the microscope to tell us what they are seeing.

6 So that's the surgical pathology report. This
7 is the first one that was not diagnostic.

8 Lymphoma is a disease of the lymph glands,
9 right? That's where the disease originates, from the
10 bone marrow and goes to the lymph glands. Sometimes
11 when you biopsy the bone, you don't get enough tissue to
12 tell you if there's lymphoma or something else.

13 So the first biopsy he had showed necrotic
14 disease and not diagnostic --

15 **Q.** What does necrotic disease mean?

16 **A.** Basically, they just saw tissue that they
17 couldn't figure out what it was. So usually what you
18 end up doing is, you repeat the biopsy, either from the
19 same place or another place, to make the diagnosis.

20 I don't know if we put the biopsy on or not.
21 But basically, that's what you end up doing, repeat the
22 biopsy. This was the biopsy that was not diagnostic.

23 I believe he had the repeat biopsy on June
24 13th. In the interim, he had additional MRIs of the
25 thoracic spine and other spine. I believe we may have

1 the pathology report of when he was diagnosed.

2 He had an abnormal bone marrow. When you do
3 the MRI, you look at the bone marrow and you can tell
4 there's a lot of disease in there. But essentially, you
5 need the biopsy to be able to tell what the disease is.

6 So he had the biopsy, which somehow did not
7 make it to the slide, which showed diffuse large B-cell
8 lymphoma. It was done on June 13th.

9 Once we knew that it was lymphoma, the patient
10 undergoes a PET scan. A PET scan essentially lights
11 up -- it's a test where patients are given glucose
12 through the vein, and the glucose is linked to a
13 material that lights up. Think of it as a lamp or a
14 bulb.

15 It circulates in the body, and it lights up
16 wherever there is cancer. And I think it's no surprise
17 that it's lighting up pretty much all over. You see
18 here, all over the bones, the spine. This, you can
19 ignore; it's the bladder where the tracer gets secreted,
20 so the bladder is always hot.

21 This is where the iliac bone is. You see how
22 hot it is, so that tells you there's a lot of disease in
23 there.

24 So this is essentially to stage patients, to
25 know where the disease is. Because after treatment, you

1 repeat the PET scan, and the hope is that the PET scan
2 shows all these areas are gone.

3 And thankfully, that's what actually happened.
4 Mr. Pilliod did respond to chemotherapy, and the lesions
5 did disappear after the R-CHOP chemotherapy he got.

6 Q. What stage was he?

7 A. Stage IV.

8 Q. What's the worst stage?

9 A. Stage IV. I think we already went through
10 this.

11 This is the PET scan report. And essentially,
12 it says diffuse hypermetabolic lymphadenopathy and
13 diffuse hypermetabolic throughout the entire skeleton.
14 We just saw that. We already went through that.

15 This is the pelvis, where you will see the
16 area here, that is how it lights up right here. That's
17 just similar pictures, just different pictures of the
18 same problem.

19 Q. Okay. You can have a seat now. We want to
20 talk about Al for a couple more minutes, and then we'll
21 move to Alberta.

22 The good news is that Al had eight rounds of
23 R-CHOP?

24 A. Six rounds.

25 Q. Thank you.

1 And he hasn't had his cancer come back. His
2 non-Hodgkin's lymphoma has not come back?

3 **A.** It has not come back.

4 **Q.** What are the long-term effects, if any, of
5 going through six rounds of R-CHOP and having the
6 Stage IV non-Hodgkin's lymphoma?

7 **A.** So from a large-cell lymphoma perspective,
8 it's extremely unlikely that the large-cell lymphoma
9 will come back. Patients who have gone that long, it's
10 extremely unlikely that their disease will recur.

11 However, patients are always followed
12 long-term, because we look at the possibility of having
13 complications of the therapy that they received. And
14 some of these complications include acute leukemia or
15 some bone marrow damage from prior chemotherapy that
16 they have received.

17 There's a neuropathy that can occur, which is
18 tingling numbness in the feet and toes, that can affect
19 balance for some patients.

20 One of the drugs that you give for this
21 particular regimen has some cardiac side effects, so you
22 also have to monitor and make sure the cardiac function
23 is good.

24 So that's why actually every patient, before
25 they get this treatment, they undergo an echo to make

1 sure the heart is good before they receive this
2 particular chemotherapy.

3 And we monitor for the possibility of other
4 types of lymphomas. Because, as I told you, family or
5 personal history of lymphomas is an increased risk for
6 other lymphomas.

7 And because there are 60 types of lymphomas,
8 we always monitor these patients. So really, the good
9 news, obviously, is that the actual lymphoma he was
10 diagnosed with has not recurred. But continued
11 monitoring, it's part of our guidelines that patients
12 have to be followed lifelong to make sure you look at
13 possible complications in the future, or any other
14 problems.

15 **Q.** We have a short video of Alberta and her
16 course of care and treatment. Let's look at that, and
17 we'll move on.

18 **A.** She presented originally with some
19 neurological symptoms, some vertigo and gait imbalance,
20 and we'll go through these a little fast.

21 She had a couple of CAT scans that were not
22 diagnostic much, and subsequently had an MRI that led to
23 the diagnosis.

24 So the original MRI on March 12, 2015 just
25 listed a couple things, but was not very detailed into

1 what the probabilities may be.

2 So not until April 2015, until the MRI
3 demonstrated the presence of an abnormality in the
4 brain. And we will show that in a little bit.

5 **Q.** Did she have to undergo a brain biopsy?

6 **A.** Yes, of course. That's the only way. You can
7 never diagnose lymphoma without a biopsy, wherever it is
8 in the body. Everything else we do is to tell you where
9 it is, but the biopsy is needed to diagnose cancer,
10 including lymphoma.

11 So this is, again, the March 12, and I'm just
12 going to go through it a little bit fast. Because
13 eventually, she had to undergo repeat testing in April.
14 Hopefully, I'll get to April very soon.

15 This is the MRI of the brain on March 12.
16 This is the April 16.

17 So on March 12, it was not very diagnostic.
18 And she had additional problems, went back, and they
19 said, let's do another MRI and take a closer look at the
20 possibility of what you might have.

21 That's what happened on April 16, 2015. And
22 again, this is the order. And they compared that with
23 the one from March 12, and I'll fast-forward to the
24 conclusion. Because I have many friends in radiology,
25 that it takes a lot to get them to have shorter reports.

1 Again, you see here, increasing size in the
2 lesion that they found originally in March, but they
3 were not really sure.

4 They compared it, and this is the impression
5 that they have. They see something in the brain. They
6 don't know what it is, but it infiltrates the brain, and
7 that's from the radiologist saying, maybe this is
8 lymphoma. The GBM, or brain cancer, usually you see an
9 isolated mass; lymphoma is a little more infiltrative.

10 So again, after that, she underwent surgery.
11 And this is the lesion that we are talking about in the
12 brain, closer to the cerebellum, so it does affect the
13 balance of patients. And that's one of the problems she
14 had when she was first diagnosed.

15 So she underwent a biopsy, confirmed the
16 primary CNS lymphoma. Underwent therapy, and she
17 completed therapy, actually, in September 2015. Went on
18 additional maintenance treatment until February 2016,
19 and then was watched.

20 And then in July 2016, she had a recurrence of
21 the disease, which was detected on MRI. And I think you
22 are getting the same impression that I do, that
23 radiologists do speak a lot. But that's okay. Detail
24 is fine.

25 Bottom line is, they found that there were a

1 couple of areas that were consistent with recurring
2 disease. Here they are, two abnormal enhancing lesions
3 in the right area, et cetera. And these were new
4 compared to before.

5 So given her history, that's why she didn't
6 have a repeat biopsy. It was very clear that this was
7 the same process. And this is okay in lymphoma. This
8 is the type of exception where you can be comfortable
9 that this is the same exact process that she had.

10 Q. I don't want to be too graphic, but do you
11 actually have to drill into the skull?

12 A. Yes. She didn't have that this time, but
13 before.

14 Q. And that's why they didn't want to do it
15 again?

16 A. Right. You see it right here, the one that's
17 lighting up.

18 And then she underwent the treatment, as you
19 know, and she is now on maintenance with Revlimid.

20 Q. So she's on treatment now with Revlimid?

21 A. Right. So after she finished her
22 chemotherapy, Dr. Rubenstein put her on an oral drug
23 called Revlimid. She takes 5 milligrams a day for
24 21 days, and then she takes a seven-day break. She's
25 been on that, I believe, since April 2017. So 2 years.

1 Q. Dr. Rubenstein told us, and do you concur,
2 that she'll need to be on that for the rest of her life?

3 A. Yes. I think the data on this -- again, she's
4 responded to it, she's doing okay with it. And as you
5 learn when you have a disease as aggressive as primary
6 CNS lymphoma, or brain cancer, if you see something
7 working, you don't want to mess with it. As well as the
8 person is tolerating it, you want to keep going.

9 Q. What is your prognosis if she quit the
10 Revlimid now?

11 A. I don't know. I think we're all grateful that
12 she has done well. Most of us that have done a lot of
13 primary CNS lymphoma would not have predicted such a
14 favorable situation four years out from the original
15 diagnosis. It's a very difficult disease to treat.

16 Having said that, it is very likely that if
17 you take her off a treatment that has been working, such
18 as Revlimid, she could relapse, and relapse very fast.
19 So that's why nobody will mess with Revlimid, as long as
20 she's tolerating it okay.

21 Q. How much does the Revlimid cost per month?

22 MR. ISMAIL: Objection. Foundation.

23 THE COURT: Lay a foundation.

24 BY MR. MILLER:

25 Q. Are you familiar with the cost of Revlimid?

1 **A.** I'm familiar with the price. I don't know
2 what the cost to a particular patient is because of
3 insurance and co-pays and so forth. All I can tell you
4 is, the price, I'm familiar with that.

5 **Q.** What's the price for a month supply, 21 days?

6 **MR. ISMAIL:** Again, foundation, Your Honor, as
7 to the source of the price, please.

8 **BY MR. MILLER:**

9 **Q.** Have you investigated the current price of
10 Revlimid for a 21-day supply?

11 **A.** Yes, I have.

12 **THE COURT:** The source of which is?

13 **BY MR. MILLER:**

14 **Q.** What is the source of your information?

15 **A.** So there are various websites that you can
16 actually just plug in the zip code, as well as the drug
17 and the dose and the duration, and it tells you the
18 range of the prices of that particular drug in your
19 local area.

20 There's one that's drug.com, but there's
21 actually a more sophisticated one you can look at.
22 These are the prices, though it's hard to know the cost
23 for a particular patient.

24 **Q.** What is the cost per month?

25 **A.** The cost for 5-milligrams, 21 days, is between

1 14- and \$16,000, depending on where you're buying the
2 drug from.

3 Q. And what is her life expectancy?

4 A. If she continues to do well -- again, she's
5 four years out from the diagnosis -- it's possible she
6 might have a normal lifespan for someone who is 72.

7 But I think it's impossible -- we try no to
8 play God as much as possible. I believe she beat the
9 odds with a disease that the majority of patients do not
10 do well; and they, unfortunately, die in less than two
11 years from diagnosis.

12 Q. One quote I forgot to show you in that -- I'm
13 almost done, thanks for your patience.

14 In that spousal concordance study, they had
15 almost a tripling of the risk?

16 A. Yes.

17 Q. Let's go back and look at that, 6456.

18 A. Sure.

19 Q. It talks about that one of the four couples in
20 the study with non-Hodgkin's lymphoma lived in Mexico
21 for many years, and both husband and wife were said to
22 have been exposed to pesticides there, a suspected cause
23 of lymphoma. That was in 1999.

24 That's when the evidence started to emerge?

25 A. It's hard for me to remember exactly the year

1 we saw it emerge, but it's possible that it's around
2 that time.

3 Q. Well, we've heard about the Pilliods aging,
4 and we've heard about things that Monsanto has alleged.

5 If a patient is more susceptible, would
6 Roundup have a greater effect?

7 MR. ISMAIL: Objection, Your Honor. Lack of
8 foundation. Undisclosed.

9 THE COURT: Hold on a second. You need to
10 rephrase and lay a foundation.

11 MR. MILLER: Sure, sure.

12 BY MR. MILLER:

13 Q. Does -- do people get more susceptible to a
14 cancer as they age?

15 A. Well, cancer is a disease of the elderly.
16 Unfortunately, as we age, all of us will be prone to
17 developing all kind of diseases, including cancer.

18 Q. Would that make someone more susceptible to
19 the toxic effects of Roundup?

20 MR. ISMAIL: Objection. Speculation.
21 Undisclosed.

22 THE COURT: If he knows.

23 THE WITNESS: Older patients who are exposed
24 to pesticides or Roundup are at higher risk than older
25 patients who are not exposed.

1 It's like saying an older patient who smokes
2 is at higher risk to have heart disease than an older
3 patient who doesn't smoke.

4 So yes. Because older patients are at higher
5 risk of developing cancer and other diseases, minimizing
6 or limiting the risk factors is essential.

7 **MR. MILLER:** Thank you so much for your time.

8 **THE COURT:** Approach real quick. I just want
9 to talk about scheduling.

10 (Sidebar discussion not reported.)

11 **THE COURT:** I'm conferring with the lawyers
12 about timing. So we're going to break for the day. And
13 we're going to start tomorrow morning at 9:00 with
14 cross-examination by Monsanto.

15 So have a good evening. Don't talk about
16 anything that you've learned in the case so far, and
17 then we'll resume tomorrow morning at 9:00. Forget
18 you're jurors, have a good evening, and I will see you
19 tomorrow.

20 (The following proceedings were heard out of
21 the presence of the jury:)

22 **THE COURT:** We'll see you tomorrow morning at
23 9:00.

24 **MR. WISNER:** I have presents.

25 **THE COURT:** What would those be? I don't want

1 any presents, but thank you.

2 **MR. WISNER:** They're not very long. It's the
3 exhibits, if you want to look at them. These are our
4 pre-bench briefs specifically related to jury
5 instructions. Obviously not for tomorrow, but for our
6 conference.

7 **THE COURT:** Anything else?

8 **MR. EVANS:** Where are we at on the judicial
9 notice issues?

10 **THE COURT:** Okay. I'll tell you what I'm
11 going to do this evening. I'm going to go through, and
12 I will mark those -- I will give you a ruling on those
13 portions of the documents that are admissible for
14 tomorrow.

15 **MR. EVANS:** You can make it real easy and just
16 let the whole thing in, Your Honor.

17 **THE COURT:** In any event, I'll try to get that
18 to you tomorrow.

19 **MR. ISMAIL:** One thing, Your Honor, and if we
20 can excuse Dr. Nabhan.

21 So, Your Honor, we've provided the Court this
22 afternoon a further briefing on the issue of the
23 Revlimid, which I believe just got a lot more
24 complicated by the witness' testimony.

25 Price, not cost. He's not saying this is

1 Mrs. Pilliod's expected cost for her drug going forward.
2 It's not an adequate foundation for Mr. Mills to then
3 use as his lodestar to accelerate the price of
4 medication for future medical expenses.

5 And the briefing that had been provided was
6 not even in light of what just happened ten minutes ago;
7 it was in light of what happened on Thursday, which, as
8 Mrs. Pilliod testified, apparently, there is some sort
9 of coverage for her medication, the copay for which
10 apparently is being picked up under the Patient
11 Assistance Program.

12 But the premise of -- the idea that we don't
13 have a cost for her, I believe, has been belied by the
14 testimony that we've had with the case in the last two
15 witnesses; that, apparently, there is some sort of
16 negotiated cost for the Revlimid which would be
17 consistent with *Corenbaum* and with *Howell*.

18 We discussed this issue a little bit in a
19 vacuum, before the plaintiffs testified and before
20 Dr. Nabhan, who is proffered as the predicate witness
21 for Mr. Mills. I don't think the foundation has been
22 laid to allow this future medical expense under
23 California law, in light of Mr. Mills' coming tomorrow,
24 about the appropriate --

25 **THE COURT:** This is the problem I have:

1 Unlike either of the cases that we talked about, there
2 is -- it's established that it's necessary for the rest
3 of her life. It's also established that there are
4 variables that affect whether she pays or doesn't pay.
5 And there is no established cost, because she's never
6 had to pay.

7 So you're going to ask me to not allow
8 evidence that she will ever have to pay -- to even
9 address what the cost of Revlimid might be.

10 So I have a choice. I can say, well, you
11 can't even talk about this because we don't know enough
12 about the cost, but we know she has to have it. And we
13 know that the variables that might cause her to pay, we
14 can't determine. And so I'm not sure, you know, where
15 the truth lies, in terms of what the jury should hear.

16 Because to simply say there's no foundation,
17 but she's going to have to take it; and there's every
18 reason to believe that somewhere down the line, one of
19 these variables is going to change to cause her to pay.

20 So how we talk about it to the jury might be
21 something to discuss, but I don't think that the choice
22 is there's no foundation. There's no foundation because
23 there can't be.

24 So the question is: What are we really
25 talking to the jury about as it pertains to

1 Ms. Pilliod's need for Revlimid?

2 **MR. ISMAIL:** Well, the plaintiffs, of course,
3 have a burden on this issue, as they do all issues of
4 damages.

5 So the question isn't what do we do with an
6 evidentiary vacuum --

7 **THE COURT:** Well, when they can't establish
8 it, then what?

9 **MR. ISMAIL:** That's what we --

10 **THE COURT:** There was billing for -- it was,
11 at some point, a bill for that service that they were --
12 that was the basis for that ruling. Here, there's never
13 been a bill for Revlimid, and there can't be a bill for
14 Revlimid now because, you're right, she hasn't ever had
15 to pay.

16 So to the extent that this jury should hear
17 about all that, I agree. To say they haven't met their
18 burden, it's a burden they can't meet.

19 **MR. ISMAIL:** Mrs. Pilliod testified that the
20 cost to her has been picked up by a combination of
21 insurance and patient assistance, so under collateral
22 source --

23 **THE COURT:** We're already in the collateral
24 source. I'm not sure where we go from there.

25 **MR. ISMAIL:** But *Howell* and *Corenbaum* doesn't

1 speak to her -- what she pays out of pocket; it speaks
2 to what she and a third-party payer pays on her behalf.

3 So in light of her testimony on Thursday, we
4 now -- it's now been articulated that there is such a
5 number.

6 So the idea that was suggested last week, that
7 the plaintiffs couldn't proffer a cost number because
8 there has been no bill for the service, she testified
9 that part of her cost was picked up by insurance and
10 part has been picked up by the manufacturer.

11 It's that first part that would comport with
12 California law and the medical expenses. So they do
13 have the opportunity to put in that cost number, not her
14 cost of zero, but cost paid on her behalf, which we
15 believe to be their obligation.

16 Moreover, even if you assume that first part
17 wasn't there, they could have developed expert testimony
18 to come up with this number. And they could have
19 developed testimony as to what's the Medicare
20 reimbursement for Revlimid. They could have developed
21 what's the private insurer coverage for Revlimid, which
22 would have allowed an evidentiary basis for Mr. Mills to
23 testify going forward.

24 That's not been offered. It wasn't offered
25 through Dr. Nabhan. He candidly said, I have no idea

1 what the cost is. I know what the price on the internet
2 is, which he very specifically said is not the cost of
3 medicine to an individual person, let alone
4 Mrs. Pilliod.

5 So I do recognize the Court's reluctance to
6 say that this is a medication that the physicians
7 believe is necessary, and in cutting off that future
8 medical expense. However, that's still their burden,
9 from an evidentiary perspective, to proffer future
10 medical cost testimony evidence. We didn't think we had
11 it last week, but the last two witnesses have moved
12 backward from that threshold.

13 **MR. WISNER:** Your Honor, this is argument.
14 The jury has to decide what her likely future medical
15 expenses will be.

16 It is true that as of today, the Revlimid has
17 cost her nothing. The jury has to decide, is there a
18 likelihood she will lose that charity, whether it be
19 from a collateral source like insurance or whether it be
20 from the charitable contributions like the manufacturer?

21 That's something we can argue to the jury.
22 And the facts are there for the jury to come to that
23 conclusion.

24 Mr. Mills is going to tell the jury, worst
25 case scenario, based on this hearsay that I've looked

1 at, this is my estimate if she had to pay out-of-pocket,
2 every day, every month, the full price of Revlimid.
3 That's the worst case scenario.

4 **THE COURT:** But Dr. Nabhan did say that's a
5 price, not a cost. He said that it's on the internet,
6 it's actually much lower, there's a better website. So
7 let's say you took the 14- to \$16,000 as the price. He
8 said, that's not what it costs; that's what the price
9 is.

10 **MR. WISNER:** He said, I don't know what the
11 cost is because of insurance or whatever. That is the
12 price you pay if you walk in tomorrow without insurance
13 and have no charitable contribution. That is how much
14 you spend for the drug. That is what he's saying.

15 He's saying, realistically, people have
16 insurance, these things. And that's what he was saying
17 about Mrs. Pilliod, because he spoke with her. The fact
18 that she hasn't paid anything, that hasn't changed.

19 The issue is, the jury has to decide for the
20 future -- assuming they hold Monsanto liable, they say,
21 yeah, we think you're responsible for her cancer and her
22 future economic damages; what is the likelihood she will
23 have to be pay money out-of-pocket, and what amount of
24 money should be set aside for that contingency? Both
25 sides can argue the likelihood of that happening or not.

1 I think that's an argument point. For the
2 purposes of Mr. Mills, his testimony about what the
3 worst case scenario is, is otherwise admissible. What
4 they're arguing is argument, and that's something they
5 can argue to the jury when we get to damages on closing.

6 **MR. ISMAIL:** I would say the following,
7 Your Honor, with respect to the idea that there's an
8 exception to *Corenbaum* and *Howell* for the idea that
9 insurance may evaporate in the future: It could be the
10 case for any individual, including the plaintiffs in
11 *Corenbaum*, that their insurance in the future would --
12 that they would lose it for whatever reason.

13 And if that were an exception to the rule, it
14 would swallow the rule in literally every case. Because
15 you could always say to the jury, who knows, we all know
16 the vagaries of the insurance market. This person may
17 lose their insurance going forward, and they would
18 therefore be subject to the full cost of the medication.

19 **THE COURT:** I understood it was partially paid
20 for by charity and partially paid for by the drug
21 company; I didn't get the idea that insurance was the
22 issue. That it was a combination of the charity and the
23 drug company.

24 **MR. BRADY:** It's McKesson's Patient Assistance
25 Program. And there's no evidence that it will continue

1 at all. And without that, she wouldn't even be able to
2 get the medication. And we know she would likely die
3 without it.

4 **THE COURT:** We know that. What I'm saying is,
5 if Mrs. Pilliod -- I can't recall what I was told about
6 the copay. She may have said something about copay.

7 I don't know whether or not what she was
8 referring to is that it was partially covered by her
9 insurance, which would put you in *Corenbaum* and *Howell*,
10 and then partially paid for by the Patient Assistance
11 Program, which is really the unknown.

12 I don't know if the unknown is the patient
13 assistance part of it or the whole of it. My impression
14 was that insurance never got involved because there were
15 other mechanisms for payment. And that kind of
16 complicates this. Because if it goes away, then does
17 the insurance then get involved, and to what extent?

18 **MR. WISNER:** But I think it's really important
19 that we're talking about Medicare, and that's a very
20 different type of insurance provider. I actually
21 specialize in this type of litigation outside of this
22 type of litigation.

23 It's called the Medicare Secondary Payer Act.
24 And if a judgment is entered against Monsanto saying
25 they are responsible for these medical expenses, they

1 will not pay it. They won't.

2 And Mrs. Pilliod is going to have to have a
3 judgment against which can pay it. That's how the
4 insurance system works on a federal level.

5 So from a legal perspective, we have every
6 obligation to argue to the jury that, if you find them
7 liable, by all means, she has to have a safety net to
8 make sure this drug is paid for for the rest of her
9 life. If we are to prevail on the merits.

10 **MR. BRADY:** She'll have to do a Medicare
11 set-aside account to pay for it in the future,
12 Your Honor, if she gets a liability finding in this
13 case.

14 This jury, by giving her a liability finding
15 in this case, will cut off her future Medicare benefits.
16 And we don't know what McKesson --

17 **THE COURT:** That may very well be true. But
18 none of it is in the record. If that were all in the
19 record, you would be talking about something different.
20 None of that is in the record against which you can
21 argue that she is going to have to have a set-aside
22 account if McKesson doesn't cover it; and right now, she
23 doesn't have to pay. And a basis on which the jury
24 might consider all of this in determining whether or
25 not --

1 **MR. WISNER:** Your Honor, it's actually a legal
2 point. It's not a factual issue. This is all
3 collateral source stuff, which is something we wouldn't
4 want to be arguing with the jury about, Medicare
5 set-sides. It's a legal point.

6 **THE COURT:** But you still have the other
7 factual portion regarding the patient assistance, all of
8 which are collateral sources. But I'm not sure, at this
9 point, that's not beside the point because of the nature
10 of this particular question, which is --

11 **MR. BRADY:** But you're saying *Howell* and
12 *Corenbaum*, if it's just insurance, that part of it is
13 covered by those. It's not. We can bring it in the
14 morning and show you the law on Medicare and how they
15 are required to set up Medicare set-aside accounts for
16 future treatment in cases where Medicare is claiming for
17 benefits that are arising out of a liability finding.

18 You can take judicial notice --

19 **THE COURT:** I do know about that. Because in
20 California, Medi-Cal does that all the time. They have
21 liens, judgments, and that's historically been going on
22 for decades --

23 **MR. BRADY:** But this is different. The law
24 says, if the patient gets the liability finding here,
25 they'll be required to pay for those future benefits for

1 whatever the jury found --

2 **MR. WISNER:** And it's actually compounded
3 because the assistance program is income-based. And if
4 they obtain a substantial judgment here, they will no
5 longer be qualified for that assistance. It's a
6 self-serving circle, and they're saying we can't argue
7 it to the jury to put this amount aside.

8 This is all argument for the jury.

9 **MR. BRADY:** And I'm also worried about them
10 asking questions to Dr. Mills, the economist to speak
11 about this. Because I don't want them to do indirectly
12 what we can't do based on these issues that we're
13 discussing now, and the collateral source rule, which is
14 still alive and well in California.

15 **MR. ISMAIL:** A couple of responses,
16 Your Honor. First, with respect to the whole question
17 about Medicare Secondary Payer, that's still the
18 question: What is Medicare paying? And that has not
19 been introduced into this record. That is the number
20 from which any future medical care -- medical expense
21 calculation would have to be based.

22 And so they're saying there is a number. It
23 would have to be set aside. That's their burden, under
24 the California law, to introduce that number. That's
25 one.

1 Two, the idea that it's argument to the jury
2 to -- for them to -- we're going to have this argument
3 as to, what is the likelihood she will have to cover
4 this medical expense on her own as opposed to these
5 alternative means, invites the evidence in argument that
6 she won't have to cover this on her own because she has
7 alternate avenues of paying for it, insurance, Medicare,
8 AARP, Patient Assistance Program.

9 Which we know -- and this is the policy that's
10 articulated in *Howell* and *Corenbaum*. There's the
11 collision between arguing -- for the defendant to argue
12 that insurance sets the cost, and arguing the
13 speculative question to the jury. And the collateral
14 source rule, because you're throwing this into the case.

15 It's been thrown into the case now by the last
16 two witnesses. They asked Dr. Rubenstein about the cost
17 of Revlimid on the deposition video last month. She
18 said it's \$3,000 a month, depending on your insurance.
19 That's their designation, and they just had Dr. Nabhan
20 mention insurance. That's three witnesses in a row
21 where Plaintiffs solicited insurance testimony.

22 So the question about whether it's argument to
23 the jury, as Mr. Wisner says, presupposes there is
24 evidence in the record from which we can argue from.
25 Which is that she has insurance, she's paid zero, she's

1 in a federal program for which she's not going to lose
2 eligibility --

3 **MR. BRADY:** Your Honor, for all these reasons
4 we discussed, we can re-call Mrs. Pilliod. But just
5 based on the Medicare Secondary Payer laws, and under
6 the legal requirements under the October 2011 Medicare
7 amendments --

8 **THE COURT:** To be honest with you, there's not
9 a shred of evidence, I don't think, in the record that
10 she's on Medicare, that I'm aware of.

11 **MR. BRADY:** She's over 65, Your Honor, she
12 automatically qualifies. We can take judicial notice of
13 things like that. She is Medicare-eligible and
14 Medicare-qualified by --

15 **THE COURT:** A lot of people are 65 years old
16 and not on Medicare.

17 **MR. WISNER:** Your Honor, can I propose
18 something --

19 **THE COURT:** This is way more complicated than
20 just that she's Medicare-eligible. I mean, I think that
21 Mr. Ismail has a point, but I'm also not convinced --

22 **MR. BRADY:** He's over-reading *Corenbaum*.

23 **THE COURT:** I've read *Corenbaum*. I have my
24 own feelings about *Corenbaum*.

25 I'm trying to work out a problem here. I'm

1 just trying to get some help on working the problem out.

2 **MR. WISNER:** Your Honor, it's not 4:30 yet.
3 Can we have her take the stand and answer some questions
4 about what she pays? Ask her some questions about what
5 she pays, how the Medicare assistance program works,
6 et cetera, and get it straight from the horse's mouth --

7 **MR. BRADY:** It would help you make the right
8 decision in this case.

9 **THE COURT:** I think my question is really: Is
10 there a number that Medicare has paid? Is there some
11 portion of this that Medicare -- is there a record that
12 Medicare has actually paid some amount of this cost for
13 the -- do you know that -- is there a number? I don't
14 know if Mrs. Pilliod knows.

15 Is there a number?

16 **MR. BRADY:** Here is the problem. It gets more
17 complicated than that.

18 **THE COURT:** Is there a number or not?

19 **MR. BRADY:** There was, but they -- McKesson
20 raised the price of this drug dramatically in the last
21 six months.

22 **THE COURT:** That's a different problem than,
23 is there a number, and is there a number Medicare paid
24 on her behalf?

25 **MR. BRADY:** She won't know that.

1 **THE COURT:** I suspect she probably doesn't.
2 That isn't to say it's not knowable. The
3 question is not so much, does she know, but is it
4 knowable?

5 **MR. BRADY:** Because of the fact that she would
6 lose these benefits, Your Honor, I don't think this is
7 her burden of proof. I think it's over-reading
8 *Corenbaum*. And I think she can ask the jury to
9 consider, as we've done, the retail price for this drug.
10 Because we have to, again, plan for the rainy day.

11 She doesn't get to come back and talk to this
12 jury in a year or five years or ten years about how
13 she's doing and who pays for what. We have to plan for
14 that now. I think Mr. Ismail and his team can argue
15 this in a way that wouldn't invade the collateral source
16 rule and wouldn't be dependent upon us knowing the exact
17 dollar amount of what was paid.

18 **THE COURT:** In some ways, I think that ship
19 has sailed. I'm really, at this point, thinking -- I
20 don't want to do a 402 hearing; I want to think about
21 it. I may want to do that, but I don't want to do it
22 today.

23 **MR. WISNER:** Sure. My understanding,
24 Your Honor, and this is my proffer to the Court: If, in
25 fact -- putting aside Medicare for a second.

1 If just the charity assistance didn't exist,
2 she would have to pay \$2,100 a month, okay? And that's
3 assuming the price doesn't go up, which it has been
4 pretty consistently for the last five or six years.

5 If we want to, we can re-call Mrs. Pilliod
6 before we hand our case over, and establish that fact
7 for the record.

8 What Medicare covers, she wouldn't know. And
9 it's actually a very confidential piece of information,
10 typically.

11 **THE COURT:** I know that. It's hard to know.
12 I understand that it's hard to know.

13 **MR. WISNER:** In fact, when you purchase
14 something through Medicare, the price changes than if
15 you purchased it through Blue Shield or on your own.

16 **THE COURT:** That's the question I was asking
17 last week about differential pricing. And when I sent
18 you that email, those are the things I was thinking
19 about at that point. What is the evidentiary base that
20 might exist, that we might consider? And then I
21 switched gears, because I realized -- I had a different
22 view of it at that point.

23 However, I think I probably do want you to
24 re-call, before you hand over the case, Mrs. Pilliod, to
25 give whatever information she has. I'm not saying you

1 have to, but I think that's wise. It will be up to you,
2 and I will give you that opportunity.

3 I don't know if Mrs. Pilliod is coming back
4 tomorrow or what her plans are.

5 **MR. WISNER:** She will be here tomorrow.

6 **THE COURT:** Maybe we can figure that out. In
7 the meantime, I will give more thought to the problem.

8 **MR. WISNER:** The last thing on this issue is
9 with regards to the Medicare cost. Would you like us to
10 do a short one-paragraph brief about the Medicare
11 Secondary Payer Act?

12 Because the way it works is: If, in fact, a
13 judgment has been entered stating that the cause of that
14 medical expense was a fact, then they no longer pay it
15 and Monsanto has to pay it. And it will be taxed
16 against the judgment that, if we're successful, she will
17 have to pay.

18 So that complicates the problem. I personally
19 am on the line for it, too. Because, as an attorney,
20 I'm obligated to make sure Medicare isn't paying when
21 there's another source for that payment.

22 **THE COURT:** I understand the concept of the
23 reimbursement, and they can go back for all they've paid
24 in the past. I know more the Medi-Cal area --

25 **MR. BRADY:** But that would make it irrelevant.

1 **THE COURT:** They go back for all kinds of
2 things. I understand the nature of the problem. I
3 don't know specifically about the Medicare Secondary
4 Payer Act.

5 **MR. BRADY:** What they've paid in the past will
6 become irrelevant if we get a judgment here. Do you
7 understand that? If that happens --

8 **THE COURT:** I got that.

9 **MR. WISNER:** She got it.

10 **THE COURT:** I already --

11 **MR. WISNER:** All right, Your Honor. No more
12 briefing.

13 **THE COURT:** That's all in my mind as I'm
14 contemplating that.

15 **MR. BRADY:** Okay.

16 **MR. WISNER:** Thank you, Your Honor.

17 **THE COURT:** See you in the morning.

18 **MR. EVANS:** Have a good night, Your Honor.

19 (Proceedings adjourned at 4:20 p.m.)
20
21
22
23
24
25

1 State of California)
2 County of Alameda)

3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

We, Kelly L. Shainline and Lori Stokes, Court Reporters at the Superior Court of California, County of Alameda, do hereby certify:

That we were present at the time of the above proceedings;

That we took down in machine shorthand notes all proceedings had and testimony given;


That we thereafter transcribed said shorthand notes with the aid of a computer;

That the above and foregoing is a full, true, and correct transcription of said shorthand notes, and a full, true and correct transcript of all proceedings had and testimony taken;

That we are not a party to the action or related to a party or counsel;

That we have no financial or other interest in the outcome of the action.

Dated: April 22, 2019



Kelly L. Shainline
CSR No. 13476, CRR



Lori Stokes
CSR No. 12732, RPR